

UNITED STATES INTERNATIONAL TRADE COMMISSION

In the Matter of:) Investigation No.
CERTAIN LIGHT-EMITTING DIODE) 337-TA-1213
PRODUCTS, FIXTURES, AND)
COMPONENTS THEREOF)

OPEN SESSIONS

Pages: 285 through 522 (with excerpts)
Place: Washington, D.C.
Date: May 4, 2021

HERITAGE REPORTING CORPORATION

Official Reporters

1220 L Street, N.W., Suite 206

Washington, D.C. 20005

(202) 628-4888

contracts@hrccourtreporters.com

1 UNITED STATES INTERNATIONAL TRADE COMMISSION
2 Washington, D.C.
3 BEFORE THE HONORABLE CLARK S. CHENEY
4 Administrative Law Judge

5 - - - - -

6 In the Matter of:) Investigation No.
7 CERTAIN LIGHT-EMITTING DIODE) 337-TA-1213
8 PRODUCTS, FIXTURES, AND)
9 COMPONENTS THEREOF)

10 - - - - -

11
12 United States
13 International Trade Commission
14 500 E Street, Southwest
15 Washington, D.C.

16
17 Tuesday, May 4, 2021

18
19 EVIDENTIARY HEARING, VOLUME II - REMOTE PROCEEDINGS

20
21
22 The hearing commenced remotely, pursuant to the notice
23 of the Judge, at 9:02 a.m. EDT

24
25 Reported By: Marjorie Peters, RMR, CRR, FAPR

1 APPEARANCES:

2 For Complainant IDEAL INDUSTRIES LIGHTING L.C.C.

3 d/b/a CREE LIGHTING:

4 S. ALEX LASHER, ESQ.

5 MADELINE M. SMEDLEY, ESQ.

6 PETER BENSON, ESQ.

7 Quinn Emanuel Urquhart & Sullivan LLP

8 1300 I Street, N.W., Suite 900

9 Washington, D.C. 20005

10

11 RAYMOND N. NIMROD, ESQ.

12 RICHARD W. ERWINE, ESQ.

13 MATTHEW D. ROBSON, ESQ.

14 Quinn Emanuel Urquhart & Sullivan LLP

15 51 Madison Avenue

16 New York, New York 10010

17

18 NATHAN HAMSTRA, ESQ.

19 Quinn Emanuel Urquhart & Sullivan LLP

20 191 N. Wacker Drive, Suite 2700

21 Chicago, IL 60606

22

23

24

25

1 APPEARANCES (Continued):

2 KEVIN JANG, ESQ.

3 Quinn Emanuel Urquhart & Sullivan LLP

4 865 S. Figueroa Street, 10th Floor

5 Los Angeles, CA 90017

6

7 For Respondents RAB LIGHTING INC.:

8 DAVID A. HICKERSON, ESQ.

9 GEORGE C. BECK, ESQ.

10 BRADLEY ROUSH, ESQ.

11 MOLLY HAYSSEN, ESQ.

12 Foley & Lardner LLP

13 Washington Harbour

14 3000 K Street, N.W., Suite 600

15 Washington, D.C. 20007

16 202-672-5300

17

18 JONATHAN E. MOSKIN, ESQ.

19 MITCHELL POIRIER, ESQ.

20 Foley & Lardner LLP

21 90 Park Avenue, 39th Floor

22 New York, New York 10016

23 212-682-7474

24

25

1 APPEARANCES (Continued):

2 KIRI LEE SHARON, ESQ.

3 Foley & Lardner LLP

4 3000 K Street, N.W., Suite 600

5 Washington, D.C. 20007

6 202-672-5300

7

8 Attorney-Advisor:

9 BENJAMIN RUDOFISKY, ESQ.

10 Office of Administrative Law Judges

11 U.S. International Trade Commission

12 500 E Street, S.W.

13 Washington, D.C. 20436

14

15 For Third Party LEDiL:

16 STEVEN E. JEDLINSKI, ESQ.

17 Holland & Knight LLP

18 150 North Riverside Plaza

19 Chicago, Illinois 60606

20 steven.jedlinski@hklaw.com

21 312.715.5818

22

23

24

25 ** Index appears at end of transcript **

P R O C E E D I N G S

(9:02 a.m.)

1
2
3 JUDGE CHENEY: Let's go on the record now.

4 We're on the record now in Investigation Number
5 337-TA-1213.

6 This is Certain Light-Emitting Diode Products,
7 Fixtures, and Components Thereof. This is the second day
8 of the evidentiary hearing.

9 Before we continue with the direct examination
10 of Dr. Lebbby, who is Cree's technical exert -- let me back
11 up.

12 Yesterday we finished the direct examination of
13 Dr. Lebbby, Cree's technical exert on the '570 Patent, but
14 before we continue with cross-examination of Dr. Lebbby,
15 let's see if there are any housekeeping matters from the
16 parties.

17 Mr. Moskin, is there something you wanted to say
18 about the order of presentation for fact witnesses that
19 might appear in both a direct or rebuttal case?

20 MR. MOSKIN: Yes, Your Honor. Thank you.

21 I would simply note on the record that the
22 parties have stipulated as to fact witnesses, we needn't
23 separately call the other side's witnesses as part of our
24 respective cases-in-chief.

25 So we will do the full examination and

1 cross-examination of the fact witnesses, including
2 scheduled today, Mr. Negley and Mr. Edmond, without later
3 calling them again.

4 JUDGE CHENEY: That sounds good.

5 Are there other housekeeping matters that the
6 parties wish to raise before we resume testimony today?

7 MR. ERWINE: Yes, Your Honor.

8 First, the parties have an agreed list of
9 exhibits for Mr. Wilcox and Mr. Bakewell, and I would ask
10 to move to admit those exhibits, and we can provide the
11 list to the court reporter.

12 JUDGE CHENEY: And there is no objection; is
13 that right?

14 Hearing no objection, the list of exhibits will
15 be admitted.

16 MR. MOSKIN: No.

17 JUDGE CHENEY: Do coordinate with the court
18 reporter to make sure it's entered in the record.

19 (Exhibits, as submitted by counsel and reflected
20 in the attached index, were received into evidence.)

21 JUDGE CHENEY: Anything else, Mr. Erwine?

22 MR. ERWINE: Thank you.

23 Yes, Your Honor. One last thing. Counsel for
24 LEDiL is on the line, and is here to address your questions
25 concerning the CBI of the slides at issue.

1 JUDGE CHENEY: Okay. Let's go on the LEDiL
2 confidential record.

3 (Whereupon, the trial proceeded in confidential
4 session.)

5

6

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 JUDGE CHENEY: We're back on the public record
3 now after having a discussion with counsel for LEDiL about
4 the nature of some confidential business information that
5 was presented during the direct examination of Cree's
6 technical expert on the '570 Patent, Dr. Lebby.

7 Mr. Jedlinski persuasively argued that the
8 images in slide 20 of Dr. Lebby's demonstrative exhibit,
9 which were derived from CX-0646C, CX-0647C and CX-0648C,
10 are confidential business information, and they will be
11 protect as such.

12 Yesterday, I asked the parties to prepare a
13 public version of CDX-0002C; and when the parties prepare
14 that public version, they should redact the images that we
15 have just discussed.

16 Does anyone have any questions on what we should
17 do with exhibits from the direct examination of Dr. Lebby?

18 MR. HAMSTRA: Nothing from Cree Lighting, Your
19 Honor.

20 MR. ROUSH: Nothing from RAB, Your Honor.

21 JUDGE CHENEY: Thank you all.

22 Are there any other housekeeping matters we
23 should discuss before resume the cross-examination of
24 Dr. Lebby?

25 MR. ERWINE: Nothing else from us, Your Honor.

1 Thank you.

2 JUDGE CHENEY: And from RAB?

3 MR. ROUSH: Nothing from RAB, Your Honor.

4 JUDGE CHENEY: Okay. Let's have Dr. Lebby
5 return to the stand.

6 Good morning, Dr. Lebby. Thanks for being with
7 us again today. I'll remind you that you remain under oath
8 during your cross-examination.

9 Is there cross-examination for Dr. Lebby?

10 MR. ROUSH: Yes, Your Honor.

11 JUDGE CHENEY: Please proceed when you are
12 ready, Mr. Roush.

13 CROSS-EXAMINATION

14 BY MR. ROUSH:

15 Q. Good morning, Dr. Lebby, good to see you again.
16 I just have a few follow-up questions.

17 Can you turn to CDX-002, page 15.

18 Now, the LED in the accused RAB products is not
19 part of the LEDiL lens; correct?

20 A. That's my understand, that's correct.

21 Q. The lens and the LED are separate components; is
22 that right?

23 A. Yes. If you look at this image, the LED is
24 affixed to the base plate, and it looks like the lens panel
25 is a separate unit.

1 Q. Please turn to CDX-002, page 24.

2 In each of the T2, T3 and T4 lenses from here,
3 the emitter axis is shown in a blue dashed line; correct?

4 A. That's correct.

5 Q. The preferential side is shown on the right side
6 of the emitter axis; correct?

7 A. Yes, as indicated by the green contour line,
8 that's correct.

9 Q. The non-preferential side is shown on the left
10 side of the emitter axis; correct?

11 A. That is correct.

12 Q. In your opinion, the boundary between the
13 preferential side and the non-preferential side is defined
14 by the emitter axis; correct?

15 A. Well, that's one of the criteria that defines
16 the front sector to the back sector as taught by the '570
17 Patent.

18 Q. Is there any other criteria shown in these
19 images on CDX-002.0024?

20 A. The only criteria that's shown is the emitter
21 axis, which is the vertical blue dotted line. The other
22 criteria is not on this annotated figure.

23 Q. Okay. The refracting inner surface is shown in
24 the magenta and green lines; is that correct?

25 A. Yes, it is. You can see the contour profile.

1 Q. And the back sector of the refracting inner
2 surface begins at the corner in the middle of the lenses
3 for each of the T2, T3, T4; is that correct?

4 A. Well, as we have indicated through the criteria,
5 the back sector begins from the non-preferential side of
6 the emitter axis, but remember, we also have to think about
7 the other parts of the criteria in the '570 Patent, which
8 is the "centered-on" phrase, which is the bilateral
9 symmetry, and it also has to be radially opposite the front
10 sector. So those other criteria have to come into play,
11 too.

12 Q. Well, as shown in the slide here, though, the
13 only criteria for the beginning of the inner refracting
14 surface is the corner that's in the middle of the -- middle
15 of the lens; correct?

16 A. What I have shown annotated on this slide is one
17 of the parts of the criteria.

18 You are, indeed, correct. It's to the left on
19 the non-preferential side of the emitter axis.

20 Q. As shown in the slide here, the front sector of
21 the refracting inner surface begins at the right corner of
22 the lens; correct?

23 A. So as we've annotated on this slide, this is
24 also one of the criterias as caught by the '570 Patent, but
25 you are, indeed, correct. The front sector on this slide

1 begins on the preferential side, on the right-hand side of
2 the emitter axis, but there are other criteria, too.

3 Q. As shown in the figures on slide 24, in your
4 opinion, the boundary condition between the front sector
5 and the back sector is defined by the emitter axis;
6 correct?

7 A. Well, that is one of the criterias as indicated
8 and taught by the '570 Patent, but as I've already
9 indicated earlier, there's two other major criteria that
10 needs to be achieved, and that's the bilateral symmetry for
11 the centered-on as well as the radially opposite criteria.

12 Q. But the only criteria shown in these figures
13 here is the emitter axis; correct?

14 A. Yes, I would agree with you. On this particular
15 slide, only one part of the criteria is shown, and that's
16 the emitter axis.

17 Q. In your opinion, as shown in the figures on
18 slide 24, the only boundary condition between the front
19 sector and the back sector is not defined by a
20 discontinuity in the inner -- in the refracting inner
21 surface of the lens; is that correct?

22 A. I'm not sure I understand your question, but the
23 back sector is on the left-hand side, the non-preferential
24 side of the emitter axis. It does have a discontinuity,
25 which is the -- sort of the backwards 7 magenta contour

1 shape. That's just one of the three major criterias that I
2 have indicated that has been taught in the '570 Patent.

3 Q. But there's not a discontinuity where the front
4 sector and back sectors meet at the emitter axis, is there?

5 A. Well, it depends how you define discontinuity.
6 I mean, certainly, as I look through the different lens
7 designs in T2, T3 and T4, the position of the emitter axis
8 on T4 looks like it could be aligned to a discontinuity
9 between the front sector and the back sector as one of the
10 criterias, but we also have to take into account the
11 position of the emitter chip, the LED chip which defines
12 where the emitter axis is on T4, and the fact that it's --
13 is it centered-on, does it have bilateral symmetry, and is
14 the back sector, you know, radially opposite the front
15 sector as indicated by the claims, especially Claim 1.

16 Q. But in the way you've defined it here, the
17 emitter axis is defined by the LED; correct?

18 A. So the '570 Patent teaches that the position of
19 the emitter axis, I think, as indicated in Figure 4, line
20 2, is a vertical dotted line is perpendicular to the
21 emitter plane is actually at the center of the LED chip.

22 Q. Yeah. Yes.

23 Where that emitter axis, as shown in the figures
24 on slide 24, crosses the refracting inner surface, that, in
25 your opinion, is going to be the boundary between the front

1 sector and the back sector; correct?

2 A. Well, that's certainly one of the criteria of,
3 you know, the front sector and the back sector, as I have
4 already indicated. The emitter axis is one of the boundary
5 conditions. The other one is the bilateral symmetry, and
6 the third one is the has to be radially opposite as
7 indicated through Claim 1.

8 Q. A discontinuity in the inner refracting surface
9 is not one of the boundary conditions, in your opinion; is
10 that correct?

11 A. The discontinuity that is shown in the back
12 sector is not one of the criterias. It's just a surface
13 configuration that is different than the front sector, as
14 you can see in this Claim Element 1E.

15 So the discontinuity is quite different in
16 shape, the magenta contour compared to the green contour.

17 Q. Now, if the emitter axis were moved along the
18 same plane as shown on slide 24, what you're calling the
19 front and back sectors would also change; correct?

20 A. I think, yes, you asked me that question in
21 deposition, you'd have to move the LED because the emitter
22 axis is actually defined by the center of the LED chip.

23 So if you actually move the LED chip, then the
24 emitter axis would actually, indeed, move, as I indicated
25 in my depo.

1 Q. Well, you said the emitter axis would move;
2 however, not all LED packages have the same emitter axis;
3 correct?

4 A. Well, it just depends on each LED package. I
5 mean, what I'm looking at here is what's been taught by the
6 '570 Patent, and the '570 Patent defines the emitter axis
7 to be the center of the LED chip.

8 So in this particular case, this is where the
9 emitter axis is. I mean, I can't really speak for other
10 LED packages because I haven't really looked at them.

11 Q. So you don't have an opinion as to whether, for
12 other LED packages, if there would be a different emitter
13 axis; is that correct?

14 A. Depends on how other LED chips and packages are
15 defined. What we're looking at here is the '570 is very
16 clear about how it defines where the emitter axis is. The
17 emitter axis is in the center of the LED chip.

18 So that teaching I've applied to understand as
19 part of the criteria where the front and back sector is,
20 where the front -- sorry, the preferential versus the
21 non-preferential side is, and how to develop the criteria
22 to really understand what the '570 is teaching.

23 Q. Now, assuming -- like, if the LED package could
24 be moved along the same plane as shown here in slide 24,
25 the emitter axis would also move; is that correct?

1 A. Yes, I think I have already indicated that. I
2 mean, it's a similar answer as I gave in my depo.

3 If you move the LED chip -- because the '570
4 Patent defines the center of the LED chip to be where the
5 emitter axis is, and so if you moved, hypothetical, the
6 chip to the left or the chip to the right, yes, then that
7 would change the boundary condition for the preferential
8 and the non-preferential side because that's how the '570
9 Patent teaches.

10 Q. Can you pull up RDX-0012-002?

11 So in this case, in this annotated version of
12 CDX-002 slide 24, what we were just looking at, if the
13 emitter axis were moved to the left, the -- what is called
14 the front sector and back sectors would correspondingly
15 move to the left; is that correct?

16 A. Hypothetically, yes. I mean, if you have the
17 room to move the LED chip. I mean, when I look at those
18 cross-sections, there's not a lot of flexibility to move
19 the chip one way or the other.

20 But hypothetically, if you did move the LED
21 chip, then you would look at where the center of the LED
22 chip would be, then you would know where the emitter axis
23 would be. And if you moved it to the left, then, yes, the
24 preferential side would be a little more than it was, and
25 the non-preferential side would be a little less than it

1 was.

2 So I would agree with you.

3 Q. So can you turn to the next page, slide
4 RDX-0012-003.

5 As another example, if the emitter axis were
6 shifted to the right, the preferential side and
7 non-preferential -- the front sectors and back sectors
8 would correspondingly move to the right; correct?

9 A. So you have to look at the criteria for the
10 front and back sectors, as I've indicated. It's not just
11 the criteria of the under condition of the emitter axis.

12 So the front and back sectors would still need
13 to be centered on. So that means as per, I think, Figure
14 4, the horizontal bilateral symmetry line, which is labeled
15 number 4, I believe, as well as the front and back sectors,
16 must be radially opposite each other.

17 So as long as you've got those criterias lined
18 up and achieved, then you can start talking about the
19 position of the front and back sectors.

20 But as you can see from this figure, you've
21 moved the LED chip, presumably, to the right, because the
22 emitter axis has been moved to the right, and that's
23 defined as the center of the chip.

24 So yeah, as long as the chip has been moved,
25 then that emitter axis can move, too.

1 Q. For example, if you turn to slide RDX-0012-004,
2 if the emitter axis were moved even -- or were moved way
3 further to left on the same plane and to, I believe what
4 you are referring to as the back cavity, there would no
5 longer be a front sector and back sector of the refracting
6 inner surface; is that correct?

7 A. Well, you've got more complex things going on
8 here. I mean, I don't know if you have moved the LED chip
9 to this back cavity, whether you would have a preferential
10 direction of light or not.

11 That's certainly changing the whole concept of
12 the original design when the LED was in the -- if you like,
13 the right cavity.

14 So optically, that would be very different. But
15 as I've already indicated, if you moved the LED chip to the
16 back cavity, then the '570 patent defines at least the
17 emitter axis is in the center of the LED chip.

18 But this is a hypothetical, and I'm not even
19 sure that the lens would operate in the way you wanted it
20 to operate, if you did this.

21 Q. So hypothetically, if the LED chip were moved on
22 the same plane as shown in slide 24 of your presentation,
23 the -- such that the LED chip is in the back cavity --
24 strike that.

25 Hypothetically, if the LED chip were moved along

1 the same plane of -- as shown in slide 24 of your
2 presentation, farther to the left, such that the LED chip
3 is in the back cavity, in the -- there would no longer be a
4 front sector of the inner refracting surface; is that
5 correct?

6 A. We have to look at the criteria again. The
7 criteria is, is the emitter axis still centered on? Does
8 it still have bilateral symmetry? Is the front sector
9 versus the back sector radially opposite as indicated by
10 the claims?

11 So you look at all of these criteria, in
12 addition to the boundary condition of where the emitter
13 axis is to make that determination.

14 I certainly haven't thought about, you know,
15 placing the LED chip in the back cavity, but certainly, as
16 I look at the claim limitations, I have to look at the
17 criteria that's taught in the '570 Patent.

18 Q. So as shown in this annotated version on
19 RDX-0012-004, you're not able to identify what would be the
20 front sector of the inner refracting surface; is that
21 correct?

22 A. Well, the inner refracting surface as defined by
23 the '570 Patent is in the right-hand cavity, and so the
24 front sector of the inner refracting surface doesn't apply
25 when you put the LED chip in the back cavity.

1 So that would mean that the criteria would not
2 be met.

3 Q. Similarly, you would not be able to identify a
4 back sector for the inner refracting surface shown here?

5 A. So I'd have to think about this a little long.
6 Because if the LED chip was in the back cavity, then the
7 inner refracting surface could well be the refractive
8 surface that dissects the vertical blue dotted line, and
9 so -- because light would go from the LED chip into that
10 acrylic material, which is an optical refraction -- optical
11 refracting material, so I'd have to really think about the
12 position of that chip and the operation of the lens as
13 taught by the '570 Patent. But I certainly haven't thought
14 about that coming into this cross-examination today.

15 But as I've already indicated, you're going to
16 have to look at the different types of criterias as taught
17 by the '570 Patent to make a proper determination, and look
18 at the claim elements in Claim 1, and you'd need to do
19 those.

20 Q. Can you turn to JX-005. That's the '570 Patent.

21 In column 6, lines 8 to 9 identifies the front
22 sector as 20, correct, and the back sector as 30; is that
23 correct?

24 A. I believe that's correct.

25 Q. Could you turn to RDX-0012-005. This is an

1 annotated version of Figure 6 of the '570 Patent.

2 Shown in green is the front sector 20, and the
3 back -- and the back sector 30 is shown in pink.

4 The front and back sectors meet; correct?

5 A. Yeah, as indicated by the boundary condition.
6 The boundary condition is the emitter axis, and that is
7 where the front and back sectors meet.

8 Q. But here, I mean, the emitter axis is not shown
9 as being the boundary condition in Figure 6; correct?

10 A. Well, the emitter axis is defined as one of the
11 criteria or the relative positions of the front and back
12 sector as we've already indicated.

13 Certainly, on this perspective, top-down view,
14 you know, the pink is not right at where the green is at
15 the 2, but we have to think about the criteria.

16 The criteria for 2, which is coming out of the
17 page and into the page, the emitter axis is perpendicular
18 to the emitter plane.

19 We have to think about the bilateral symmetry
20 line number 4 as well as whether the back sector is
21 radially opposite the front sector.

22 Q. In Figure 6 of the '570 Patent, there is
23 discontinuity between the front sector and the back sector;
24 correct?

25 A. It certainly looks like there's a discontinuity,

1 but when you try and figure out which is front and which is
2 back, you just apply the three major conditions of the
3 criteria of which the boundary of the emitter axis is one
4 of them.

5 Q. Can you turn to RDX-0012.006.

6 The figure on the left is from your
7 demonstratives, and the figure on the right is from your
8 expert report; correct?

9 A. That is correct.

10 Q. Is it still your opinion that the front sector
11 shown in green on the right is accurately shown?

12 A. So the way the criteria works on the image on
13 the right side is that the front sector is on the
14 preferential side. The boundary condition is the emitter
15 axis.

16 It has to be on the bilateral symmetry line,
17 which is the red horizontal dotted line, and it has to be
18 radially opposite the back sector.

19 So from that criteria, what we see on the right
20 is actually correct, but it could be more than that, too.

21 Q. So it's still your opinion that the annotations
22 in the version of Figure 5 on the right hand of the slide
23 is correct?

24 A. The annotations are not wrong. I think the
25 image on the left-hand side is more accurate.

1 Q. I want to switch to your secondary
2 considerations analysis.

3 I believe that you briefly identified three
4 license agreements as being relevant to your secondary
5 considerations analysis; is that correct?

6 A. I believe that's correct.

7 Q. Did you determine how many patents were subject
8 to each agreement?

9 A. I believe you asked me each of those license
10 agreements in my deposition. The number of patents, I
11 don't recall the number, but each license agreement had a
12 different number of patents.

13 Q. Did you attempt to analyze the significance of
14 the '570 Patent to any of these license agreements?

15 A. So only other than looking at the license
16 agreements themselves, on the faceplate standpoint, I
17 didn't delve into any of the business opportunities or
18 businesses achieved through the license agreements. So no,
19 I did not do that.

20 Q. The documents that you rely on for long-felt
21 need, these are Cree, Inc.'s own press release; is that
22 correct?

23 A. To the best of my knowledge, I believe that's
24 correct.

25 Q. And Cree, Inc. was the former owner of the '570

1 Patent; is that correct?

2 A. I'll take your word for that. I don't know the
3 details there.

4 Q. Cree Lighting is not the only company to sell
5 roadway lighting products; correct?

6 A. So as a general question, roadway lighting
7 products is a commercial business that not only Cree
8 participates in, but other companies, too.

9 Q. Did you do any analysis as to the optical
10 components used by other roadway lighting products?

11 A. No, I did not.

12 Q. Did you analyze Cree Lighting's market share for
13 roadway lighting products?

14 A. No, I did not.

15 MR. ROUSH: No further questions.

16 JUDGE CHENEY: Okay. Dr. Leppy, I have a couple
17 of questions for you.

18 Let's start -- if we could, before we put the
19 slide up, Counsel, I'd like to discuss slides 12 and 13
20 from Dr. Leppy's demonstrative presentation.

21 I think slide 12 can be on the public record; is
22 that right?

23 MR. HAMSTRA: Your Honor, this is David Hamstra.

24 Yes, that's right.

25 JUDGE CHENEY: Okay. Let's put that up, please.

1 Dr. Leiby, do you remember your testimony that
2 is summarized in this demonstrative slide?

3 THE WITNESS: Yes, I do.

4 JUDGE CHENEY: I believe that you testified in
5 connection with this slide that these light distribution
6 diagrams show a preferential side to the lighting device.

7 Did I understand your testimony correctly?

8 THE WITNESS: Yes, I believe you did.

9 JUDGE CHENEY: Can you walk through for me how
10 these diagrams show a preferential side?

11 THE WITNESS: So a preferential side is when the
12 light is directed to one side. What we're seeing here if
13 you look at the top left-hand yellow contour map, and the
14 contours represent the intensity of light, so the darker
15 yellow with the inner contours is the highest intensity of
16 light, and that drops off the further out you go.

17 If you just assume for a minute that the emitter
18 is where the black dot is, one way of looking at --
19 thinking about that is it's where the streetlight would be,
20 you can see the light is all going basically across the
21 road, but not behind the road. And you can see that
22 annotation in the image below in T2, where the road is sort
23 of schematically drawn in gray underneath.

24 So as you look at these distributions of T2, T3
25 and T4, you can see it's not a normal omnidirectional

1 distribution of light. The light is actually sent in one
2 direction.

3 JUDGE CHENEY: So these diagrams here on slide
4 12 of CDX-0002C are from a bird's-eye view looking down at
5 the ground; is that right?

6 THE WITNESS: Yes, that's one way to view these,
7 is if you look at the bird's-eye view down, you can see the
8 distribution of light coming out of the streetlight, which
9 is in a majority direction; it's not omnidirectional.

10 JUDGE CHENEY: Okay. So if we were to look at
11 the T2 example, I think that you've testified that the
12 preferential side shown in this diagram is the side of the
13 light toward the street; am I understanding that right?

14 THE WITNESS: That is correct.

15 JUDGE CHENEY: So in that sense, we're looking
16 at the preference in a plane horizontal to the ground; is
17 that right?

18 THE WITNESS: Certainly, it can be viewed from
19 that perspective, yes.

20 JUDGE CHENEY: I mean, this diagram doesn't show
21 us more than that because it's a two-dimensional plane from
22 a bird's-eye view; is that right?

23 THE WITNESS: Yeah, and also, I mean, the light
24 is not -- because the streetlight is -- you know, is 6
25 meters up, I mean, the light is coming down, too. So it's

1 got to be directed to a preferential side.

2 But the distribution of light is specific. I
3 mean, it's along the roadway, but not behind the roadway.
4 So you have to think about it in a three-dimensional
5 perspective.

6 JUDGE CHENEY: There may be three-dimensional
7 effects, but these diagrams don't show that; right?

8 THE WITNESS: That's correct. They only show
9 contour maps of intensity.

10 JUDGE CHENEY: Okay. In the bottom row of the
11 figures, I see not just a single black dot, I see a black
12 dot and a black ellipse, maybe, connected by a line.

13 What is that?

14 THE WITNESS: A black ellipse.

15 So I believe that is the highest intensity
16 contour. I think is it's labeled number 2.

17 JUDGE CHENEY: Actually, let me, we're not
18 looking at the same thing. So instead of the black dot in
19 T2, there is something different in the row below.

20 Do you see how there's this other black thing
21 that's connected --

22 THE WITNESS: Yes. I actually don't know the
23 answer to the question, but if I was going to guess, I
24 believe the lower dot is where the emitter is positioned,
25 because that's where the highest intensity contour of light

1 is.

2 JUDGE CHENEY: Okay. Thank you.

3 I think we now need to go on the LEDiL
4 confidential record.

5 (Whereupon, the trial proceeded in confidential
6 session.)

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 JUDGE CHENEY: We're back on the public record
3 after I finished asking Dr. Lebbby some questions about ray
4 traces in the accused products or in digital models of the
5 accused products.

6 Is there any redirect for Dr. Lebbby?

7 MR. HAMSTRA: No, Your Honor.

8 JUDGE CHENEY: Okay.

9 Dr. Lebbby, thank you for joining us in the
10 hearing. Your testimony helped me understand the case.
11 You are excused.

12 THE WITNESS: Thank you.

13 JUDGE CHENEY: Will Cree call its next
14 witness.

15 MR. ERWINE: Yes, Your Honor.

16 Cree calls Mr. Gerald Negley. Your Honor, I
17 believe we are just waiting for Mr. Negley to log on. He's
18 at a conference room, so he should be on any second.

19 JUDGE CHENEY: While we're waiting for
20 Mr. Negley, can you sketch for me who he is and what we
21 will be hearing.

22 MR. ERWINE: Yes.

23 Mr. Negley is one of the named inventors on the
24 '819 and '531 Patents.

25 I believe Mr. Negley has joined us.

1 THE WITNESS: Hello.

2 JUDGE CHENEY: Hello, Mr. Negley, thank you for
3 joining us. I'm going to ask you to raise your right hand,
4 and I will place you under oath.

5 GERALD NEGLEY,
6 a witness, having been first duly sworn, was examined and
7 testified as follows:

8 THE WITNESS: Yes, I do.

9 JUDGE CHENEY: Thank you.

10 Please proceed with your examination, counsel.

11 MR. ERWINE: Thank you, Your Honor.

12 DIRECT EXAMINATION

13 BY MR. ERWINE:

14 Q. Good morning, Mr. Negley. Could you please,
15 again, state your full name for the record?

16 A. Gerald Harris Negley.

17 Q. Mr. Negley, are you named as an inventor on any
18 patents?

19 A. Yes. Last time I checked, I am inventor or
20 co-inventor on 254 issued US patents.

21 Q. Generally, in what field of technology do your
22 patents relate to?

23 A. For the most part, they relate to LED
24 technology. It could be from chip design, packaging
25 aspects or lighting devices.

1 Q. To the extent you know, are you a named inventor
2 on any of the patents asserted in this investigation?

3 A. Yes, I believe I'm a named inventor on of the
4 two patents.

5 Q. Thanks, Mr. Negley.

6 Before we get to those patents, let me first ask
7 you about your background.

8 Could you please summarize for the Court your
9 educational background?

10 A. Yes.

11 I received a bachelor's of science degree in
12 physics from Widener University, 1981. And then I went to
13 graduate school, University of Delaware, and got a master's
14 degree in physics, solid-state physics, with an emphasis in
15 luminescence in 1984.

16 Q. Mr. Negley, are you currently employed?

17 A. No, I am not; I'm retired.

18 Q. Mr. Negley, are you familiar with a company by
19 the name of LED Lighting Fixtures or LLF?

20 A. Yes. We -- I cofounded LED Lighting Fixtures,
21 Inc., which was quickly shortened by the industry to LLF,
22 Inc. We cofounded that company in September of 2005.

23 Q. Thank you, Mr. Negley.

24 Before we get to your work at LLF, can you
25 explain to the Court what you did employment-wise prior to

1 LLF?

2 A. Prior to LLF, I worked for a company called
3 Cree. I joined Cree in February of 1993. I originally
4 managed their clean room, their wafer fabrication process.

5 At that time, Cree only made LED -- blue LED
6 light-emitting chips. They didn't package them. They just
7 made the chips. So I ran the clean room for them.

8 I quickly assumed responsibility for the test
9 and package area. We tested all of the LEDs prior to
10 shipping to the customer, and we would package a few to
11 verify their performance.

12 I inherited that part of the business because
13 they wanted to see what I was making in the FAB.

14 From that point, I gained more responsibility,
15 and Cree was growing quickly. By the end of the 1990s, I
16 was responsible for manufacturing and engineering for the
17 optoelectronic division, which was what generated most of
18 their revenue.

19 After that, I started up a packaging business
20 for Cree. It's something they said they would never do,
21 but we came out with a power chip, and none of our
22 customers could package it, so we decided to get into the
23 packaging business.

24 After that, I started up a back-lighting
25 business for Apple -- I mean, for Cree. We -- we started

1 down the path of back-lighting TV screens with LEDs.
2 Shortly -- that would have taken us up to about 2005, and I
3 left Cree in August of 2005.

4 Q. Mr. Negley, why did you leave Cree in August of
5 2005?

6 A. I -- myself and a fellow named Tony Van de Ven,
7 who also cofounded LLF, we thought that the technology was
8 ready for using LEDs in general illumination. Most people
9 didn't think so, and we actually had that discussion in the
10 summer of 2004. It took a year to work up the courage of
11 quitting a very good job, and risking it all.

12 But we started LLF in 2005.

13 Q. You mentioned you cofounded it with Mr. Van de
14 Ven and others.

15 Can you describe who else you cofounded LLF
16 with?

17 A. Yeah. Tony and I were really technology guys,
18 and -- I mean, he has run his own business before, but
19 we're really technology guys.

20 At the same time, in the summer of 2005, Neal
21 Hunter, who was a cofounder of Cree, and president and
22 chairman, he was leaving Cree to work full time on real
23 estate development that he was putting together, and when
24 he heard what we were doing, he offered his assistance.

25 Neal, although he is a mechanical engineer by

1 education, he is a fantastic businessman. Neal came on
2 board with a fellow named Tommy Coleman. Tom was also a
3 cofounder of Cree.

4 They came onboard as -- you know, the four of us
5 started the company.

6 Q. Thank you very much, Mr. Negley.

7 Can you remind the Court again when LLF was
8 founded?

9 A. Yeah. We were founded in September of 2005. I
10 believe we were incorporated September 15th of 2005.

11 Q. What was the original goal or mission of LLF?

12 A. Our plan was to -- you know, accelerate the
13 adoption of solid-state lighting. Most of the world didn't
14 believe it was ready yet. We did.

15 So, you know, our goal was to go after the
16 business.

17 Q. After you and others founded LLF in September of
18 2005, what was the first project that you worked on?

19 A. The very first project we worked on was
20 actually -- was LED lighting for billboard illumination.
21 Customers -- we had a particular customer who came to mind,
22 but he has a lot of billboards up and down I-95.

23 They pay a lot of money for the billboards.
24 They look great during the daylight. They look not so
25 great at night. Typically, illuminated with metal halide

1 lights, which has very low color rendering.

2 So what pops during the day doesn't pop at
3 night, and we thought we would solve that problem with
4 LEDs.

5 Q. Can you tell us a little bit more about the
6 billboard project, Mr. Negley?

7 A. Yeah. We put together a prototype, and it
8 was -- it was RGBW. It was red, green, blue and white LEDs
9 combined. The white LEDs would have been cool white LEDs.

10 Each color was independently controlled with a
11 potentiometer so we could do adjustments.

12 We tuned in the light source to provide very
13 high-color rendering, and good light quality. We had a
14 billboard set up in the middle of a farm field, Pittsboro,
15 North Carolina, Tommy's house.

16 And we compared that light source to a metal
17 halide, and which we had our customer watching. He was
18 thrilled. He thought it looked fantastic.

19 So Tony Van de Ven, Tommy Coleman and myself
20 were there, and we -- Tony is sort of a -- he's a color
21 scientist by -- that's what he has learned. So he did what
22 comes naturally to him, which is starting to adjust the
23 colors.

24 You know, he would take the red up and down, see
25 what the light source looked like. Took the green up and

1 down. Talking brighter and dimmer. And did the same with
2 the blue. Change the ratio of white to blue, white to red.
3 Almost anything you can imagine.

4 At some point, he tuned in this color. We
5 argued whether it was greenish yellow or yellowish green,
6 and he asked if I could make a component that had that
7 color.

8 I asked him a few questions. There's what's
9 called the CIE diagram. It's a color chart. I asked him
10 where it was on the chart. I said, yeah, I think we can.
11 What he saw and what I saw was if we had that -- could make
12 that particular color from one component, we could add red
13 light from another component, and we believed we would get
14 a very efficacious and high-color rendering light source.

15 So the -- these yellowish green or greenish
16 yellow, which we eventually called BSY, which stood for
17 blue-shifted yellow. It's a blue LED. It's a yellow
18 phosphor. So it's easier to say BSY.

19 So we made those components in what we called
20 the Hillsboro Technology Center. That was the other side
21 of my duplex where I set up a lab to start the company.

22 And I spent two days making components that were
23 not commercially available. We put together -- cobbled
24 together a very crude prototype just to sort of show our
25 proof of concept, and lo and behold, we could make a light

1 source that was warm white, which that would be the color
2 of an incandescent bulb, the technology we're trying to
3 replace, at least in terms of color, and it also had a very
4 high-color rendering, which was not typical of LEDs at the
5 time.

6 Q. Thanks, Mr. Negley.

7 What was the time frame, again, for when you
8 came up with this prototype?

9 A. It's been a while. It's 2005. It's probably
10 October or November. It was in the fall. I just remember
11 we were -- billboard -- you look at that at night. We were
12 out. It's chilly. So it was the fall of 2005.

13 Q. Thanks, Mr. Negley.

14 Once you came up with that, what did you and
15 your team work on next?

16 A. Well, we knew we had something in terms of how
17 good light quality and good efficacy -- or better efficacy
18 than anything out there.

19 We decided to go after a 6-inch downlight, and
20 people said why. It was pretty simple. There were a
21 billion holes in the ceiling already in the United States.
22 We figured if we could get a few percent of that business,
23 that we -- we would have a viable business to run with. So
24 that was the first product we went off.

25 Q. Thank you, Mr. Negley.

1 Are you familiar with the term "wall plug
2 efficiency"?

3 A. Yes. Wall plug efficiency is something that, in
4 a sense, we pushed from the very beginning, and it is -- it
5 is measuring the device -- the lighting device with how
6 much power is coming out of the wall.

7 So it incorporates every loss that is
8 imaginable, power supply, thermal loss, because LEDs get
9 dimmer.

10 So every time we would report a number, we would
11 look at how much power was consumed coming out of the wall
12 versus how many lumens were generated, and that's how we --
13 that's what we call a wall plug efficiency. And it's
14 measured in lumens per watt.

15 Q. Is there any specific wall plug efficiency that
16 LLF sought to attain?

17 A. Yeah. Our goal was 100 lumens per watt. It
18 just seemed like a good number. Yeah.

19 So that's where we set our target, and I would
20 say -- you know, and we ran for it.

21 Q. Thank you, Mr. Negley.

22 Can you describe for the Court the approach you
23 and your team took to design this lighting device to
24 achieve the wall plug efficiency that you mentioned?

25 A. Well, we ultimately came up with our -- a set of

1 design rules, and there's mechanical aspects of the
2 lighting device. There's the electrical aspects, right.
3 You got to convert 120 or whatever is coming out of that
4 wall plug to AC. You have to convert that to DC.

5 There's optical considerations to consider, and
6 there's thermal considerations to consider.

7 Those four items are intertwined, and we
8 likened it to the Whac-A-Mole problem, that boardwalk game
9 where a mole pops up, and you smack it in the head, and
10 another one pops up.

11 You know, you can go forth and, you know,
12 minimize losses of one of these design rules, optical or
13 whatever, and minimize losses and maximize performance, but
14 that may have impact on other parameters.

15 So they're intertwined, and you need to
16 basically solve them as a group.

17 Q. Thanks.

18 Let's take those one by one. Can you first tell
19 us about the optical considerations that you considered for
20 a lighting device?

21 A. Yes. Okay. I grew up making LED chips. I
22 considered myself a photon generator, and I hated throwing
23 them away.

24 So the optical losses were very important to us.
25 We also -- we also knew that we had to do some mixing,

1 right. We got two different colors that were -- we need to
2 blend together so it looks like a uniform light source in
3 application.

4 So from the optical standpoint, not only did we
5 produce a very optically efficient lens for the system, we
6 also -- where the LEDs were inside, we lined that with a
7 material from the backlighting industry called MCPET,
8 M-C-P-E-T, and we just called it MCPET. It's easier.

9 It's very interesting material. It's, I
10 believe, about 96 percent reflective across the entire
11 visible spectrum. Most materials, when they reflect --
12 they reflect blue poorly. This material does not.

13 It is also a diffuse reflector. So not only
14 does it reflect -- it's not a mirror, but it's a very
15 diffuse reflector, so it actually helps blend and mix the
16 light together.

17 That gave us very a high optical output for the
18 lighting device.

19 Q. Thanks, Mr. Negley.

20 Can you also remind the Court again of the
21 specific color scheme you used for this particular
22 development?

23 A. Yeah. We -- again, we were using what we calmed
24 BSY. That is our custom component, blue-shifted yellow. I
25 mean, the standard cool white LEDs in the industry used the

1 same LED, same chip and the same phosphor, but we used much
2 more phosphor because we didn't want to make a white color
3 just with that one component. By making it more yellowish,
4 it was near peak eye response.

5 So we got more lumens per blue photons going in,
6 we got more lumens coming out by making it yellowish in
7 color. And then by adding red light to it with a red LED,
8 that BSY color, the color point that we made the components
9 at, plus red would give us a very high-quality warm white
10 color. When I say warm white, that's typically between
11 2700 kelvin and 3,000 kelvin, the same color as an
12 incandescent light bulb.

13 Q. Thank you, Mr. Negley.

14 Can you also tell us a little more about the
15 electrical aspect of the design rules?

16 A. Yeah. The power supply, I mean, there's
17 off-the-shelf conversion things that you can buy, but you
18 realize that whatever is not converted efficiently from AC
19 to DC generates more heat, and that means something else
20 you have to, you know, worry about dissipating.

21 So we paid particular attention to the power
22 supply, realized that also we had two different colors. So
23 we had some independent control between the BSY string or
24 strings of LEDs versus the red string of LED so that we
25 could do a detailed balance and tune into the color point

1 of the lighting device.

2 Q. Finally, could you touch on the thermal and
3 mechanical aspects of design rules?

4 A. Yeah. They're very much coupled together.
5 LEDs, as they get warmer, they get dimmer. So you don't
6 want to let them get too hot, they're just going to dim
7 down on you, and it may also affect the lifetime of the LED
8 itself.

9 So mechanical aspects, you know, whatever the
10 fixture you're going to make, in this case, we were going
11 after a downlight, so it's got to be able to hold the LEDs
12 in place on the printed circuit board inside mechanically,
13 some housing aspects to it.

14 But you also need a heat sink, and if you think
15 about it, when you put a downlight up into the ceiling,
16 nowadays, they're typically insulated cans that you are
17 putting them into. So there's not a lot of airflow up
18 there. You know, it's loss of energy for the building.

19 So we had to take into account, how do we get
20 heat to the room somehow, and that's a combination of a
21 heat sink, and then some exposure to the room, which is,
22 you know, designed into the mechanical aspects of the
23 fixture.

24 Q. Thank you, Mr. Negley.

25 I'd like to turn to -- a little bit further in

1 the time period, the April 2006 time period, and ask if you
2 could take a look at JX-14.

3 Mr. Jay, if you could call that up.

4 Mr. Negley, do you recognize this document?

5 A. Yes, I do. It's a test report from a company
6 called CSA International, which CSA stood for Canadian
7 Standards Association. They're sort of like the UL of
8 Canada.

9 They were a company that had set up an office in
10 Alpharetta, Georgia, and for a fee, they would measure your
11 lighting device for you, and tell you what the performance
12 was.

13 Q. All right. Did you and LLF ask CSA to perform a
14 particular test for you in April of 2006?

15 A. Yes, they -- yes, we did.

16 We had a prototype of a downlight, and we wanted
17 to verify the performance. In this case, I believe we did
18 two different tests at different input voltages, and then
19 it gave us what the results were.

20 Q. Before we get to the test results, was there a
21 reason that you went to CSA versus another lab?

22 A. There weren't a lot of labs offering test time
23 at this point. CSA, UL, but UL basically didn't answer the
24 phone for us.

25 I had actually -- I had met the president of CSA

1 at a lighting conference before this, and they provided --
2 you know, they do lifetime testing for people's products,
3 and they also provide individual -- photometric testing on
4 individual units. So we chose CSA.

5 Q. Thank you, Mr. Negley.

6 If we could go to JX-14.9, which is page 4 of
7 the report. I'd like to get to the test results you
8 mentioned.

9 Can you tell us what's shown here?

10 A. Yes. There's two different test results. One
11 test was performed at 110 volts, and the other test was
12 performed at 115 volts. This particular power supply that
13 we put together was non-regulated, so as you change the
14 input voltage, the power consumption or, really, the amount
15 of current that the LEDs would consume would change.

16 So the one test at 110 volts, we achieved an
17 efficacy of 79.79 lumens per watt, according to the
18 document. And at 115 volts, we achieved an efficacy of
19 72.7 lumens per watt.

20 The current voltage characteristics of an LED is
21 a diode, so it's not like Ohm's law V equals IR . It's not
22 a straight line. So it's a non-linear performance when you
23 change the current, which we did by changing the input
24 voltage.

25 Q. Can you explain to the Court total lamp

1 operation time for the two tests?

2 A. Yes. They -- CSA had -- they have a protocol.
3 I'd have to look to see what the details of it are, but
4 what they measure is that thermal equilibrium, and they
5 determine thermal equilibrium by successive measurements.
6 And when the light output is stable, they have a rule -- I
7 can't remember if it's a half percent or -- they have some
8 rule that it can't be more than a certain percentage
9 difference from the previous reading, when you get to that
10 point, they consider you to be at thermal equilibrium.

11 In this case, we did the first test at 115
12 volts, and it took 112 minutes, according to this, for the
13 device to reach thermal equilibrium. You can see it was
14 consumed nearly 10 watts of power, 9.737.

15 So we did the first test at 115 volts, and then
16 we did the second test at 110 volts. And at 110 volts,
17 it's consuming less power than the previous test, right, it
18 was consuming 7.446 watts.

19 So essentially, the fixture is cooling down at
20 this point. It's not warming up. Because it's -- you
21 know, it has to dissipate less power. So it took 35
22 minutes for the second test to reach thermal equilibrium.

23 Q. I think you mentioned -- or you touched on this
24 already, but can you tell us again what type of power
25 supply was used by the prototype tested by CSA?

1 A. Yeah. It was a non-regulated power supply.
2 Something we put together to -- for this prototype.

3 Q. What was your and your team's takeaway after
4 seeing the results from the CSA test?

5 A. We were quite excited, without a doubt. Really
6 excited. And we figured at that point, we had all of the
7 pieces of the puzzle to go -- you know, step away from a
8 prototype, and starting to design and construct a
9 commercial unit that would be viable to sell as a product.

10 Q. You mentioned test protocols. Did you provide
11 any of the test protocols that you wanted CSA to use?

12 A. No. The only thing we were allowed to tell
13 them -- we were allowed to dictate the input voltage. You
14 know, so we did the 110 and the 115.

15 If you look at -- if you ask people what wall
16 plug is coming out of the wall, you know, some people will
17 tell you it's 110, some people say it's 117, some people
18 say it's 120. But -- so we wanted to be in that range, but
19 that was the only parameter we were able to tell them.

20 Q. Mr. Negley, were you present at the CSA testing?

21 A. Yes, I was. I was there with another LLF
22 employee, and honestly, I don't recall who that was. It
23 either would have been Tommy Coleman or Tony Van de Ven. I
24 just don't recall who was there.

25 I do recall that a fellow named Paul Pickard

1 came to witness the test. He wasn't able to hold the
2 prototype. He wasn't allowed to look inside the prototype.
3 We wouldn't even let him see this -- what's called the
4 spectral power distribution of the prototype; it's the
5 power versus wavelength.

6 He was able to see basically what the test data
7 collected was.

8 Q. Okay. Mr. Negley, if you can see on my screen,
9 I'd like to show what's been marked as CPX-144, as a
10 physical exhibit, and ask if you recognize this physical
11 exhibit?

12 A. Yes, I believe that's the prototype that was
13 tested that day.

14 Q. Mr. Negley, did you end up putting together a
15 commercial product based on the prototype that was tested
16 by CSA in April of 2006?

17 A. We used -- we put together a product that was
18 called the LR6. It very much resembled the prototype.
19 There were clearly design considerations that we had to
20 take into account for the commercial unit. So that
21 prototype helped us create the LR6 commercial product.

22 Q. Thanks, Mr. Negley.

23 I'd ask if you could take a look at another
24 document. This is JX-17. And we'll have Mr. Jay pull this
25 up a little bit.

1 Do you recognize this document?

2 A. Yes. This was a press release that we did in
3 April of 2006. And it was really the 115-volt test results
4 from CSA where we achieved 73 lumens per watt. And, you
5 know, it's -- I think nobody else had done that at that
6 point. So we wanted to tell the world about it.

7 Q. Thanks very much, Mr. Negley.

8 I'd ask if you could now turn to JX-2, and we'll
9 have Mr. Jay pull that up.

10 And I'd ask if you recognize this document?

11 A. Yes. That's an issued patent where myself, Tony
12 Van de Ven and Tony Coleman are co-inventors. That was the
13 patent that we had filed at -- you know, after this CSA
14 measurement to, you know, document the prototype we had put
15 together.

16 Q. Do you remember approximately when you filed the
17 application for this patent?

18 A. Our standard methodology was to file a
19 provisional. So I see there's a date there for provisional
20 filing of May 31, 2006.

21 Q. So that would have been a few months after the
22 April 2006 CSA test?

23 A. Yes, that's correct.

24 Q. Thanks, Mr. Negley.

25 I'd ask next if you could take a look at Figures

1 8 and 9 of the '819 Patent. Those are on pages JX-2.12 and
2 2.13.

3 Can you tell us what's depicted here?

4 A. Yes. Those are renderings of our commercial
5 product. They -- it shows an aluminum cast housing in
6 Figure 8, and then a little cut-away view of sort of the
7 inside conical area where the -- I'll call it the light
8 chamber, and that was a casting as opposed to a machine
9 housing like we had in our prototype.

10 Figure 9 shows a cut-away view showing
11 5-millimeter LEDs in this case inside the optical chamber.
12 And it's just sort of a cross-sectional view of the LEDs,
13 the printed circuit board, and then there's an area in the
14 back for the power supply.

15 Q. Thanks, Mr. Negley.

16 Could you also take a look at Figures 4 and 5 of
17 the '819 Patent. Those are an JX-2.10, and tell us what's
18 depicted here.

19 A. Yeah, Figure 4 is sort of out of scale a little
20 bit, but it shows a 5-millimeter LEDs through a hole on the
21 printed circuit board. And we paid particular attention to
22 try to keep those things heat-sunk as possible. And it
23 shows sort of what the chamber would look like, the optical
24 chamber.

25 Then 18 is the output lens. We ultimately had a

1 lens specifically designed for us. In the prototype, we
2 had cut off the face of a PAR 38 bulb -- a frosted PAR 38
3 bulb used in the prototype, but clearly, that wasn't going
4 to be the solution for a commercial product.

5 Figure 5, in this case, we're still using the
6 little 5-millimeter LEDs for our radial lamps -- radial
7 LEDs.

8 As I said, we had two different colors, BSY, and
9 we had the red, and we had particular layouts that we liked
10 to do to further promote color mixing, and make sure that
11 the light output looked uniform in color.

12 Q. Mr. Negley, did these figures relate to the
13 prototype that was tested at CSA in April of 2006?

14 A. Yes. The prototype had similar configurations,
15 and the difference was machined housing versus the cast
16 housing, and stuff like that.

17 Q. All right. Now, after you achieved the test
18 results at CSA in April of 2006, can you tell us the next
19 steps you and your team took towards achieving the goal of
20 100 lumens per watt?

21 A. Yes. You know, we were a small group of guys at
22 the time. We couldn't do everything we wanted. I had to
23 look to see -- try to see if we can get to 90 lumens per
24 watt, and we weren't there. It's just not there.

25 We decided to take our efforts at that time of

1 maybe pausing this whole efficacy thing. Some of my
2 friends were getting a little tired of, you know, okay,
3 Gerry, how -- what can you really do.

4 So we focused on making a commercial product.
5 Getting -- how do you get castings made. A lot of work to
6 do. And we only had so many hats. For the most part, when
7 we realized we weren't going to get to 90 easily, we
8 decided to buckle down and see if we could make a product
9 and sell it.

10 Q. Do you recall an individual by the name of Mark
11 Edmond?

12 A. Yes. Mark Edmond, I knew him from Cree. He was
13 an engineer there. He became unemployed during the summer
14 of 2000, and -- 2006, and we hired him. He's a ceramic
15 engineer by education. That's okay.

16 We hired him. And what did he do? Whatever we
17 told him to. I think the first project I set him off on
18 when he came in was how to design and do layouts of printed
19 circuit boards. Why? Didn't have expertise in-house. He
20 had never done it before. Didn't stop him. He was an
21 engineer.

22 At the same time, other than a project like
23 that, he also had to learn and understand what we did, and
24 how we did it, and why we did it. That's BSY plus red.
25 You know, all of the different aspects and interactions.

1 So we threw Mark into the fire, and he responded
2 quite well.

3 Q. Did Mark assist with your goal of achieving 100
4 lumens per watt and higher?

5 A. Yes. We -- I actually tasked him with that.
6 He -- that was one of the tasks that he was given was, as
7 you learn everything we do, and of course, we always want
8 to learn how to do it better, Mark had that task.

9 He helped put together a prototype that we later
10 had measured, and we surpassed the 100 lumens per watt
11 goal.

12 Q. Which prototype was that?

13 A. We ended up calling it the LRP38. It was a
14 concept for a PAR 38 LED-equivalent lamp, and we -- we had
15 him put that together, and we got some test time, and we
16 had it tested. People -- people were --

17 Q. Thanks.

18 A. People were surprised.

19 Q. Sorry to interrupt. Thanks, Mr. Negley.

20 Before we turn to that test, let's take a quick
21 look at a document, JPX-70.

22 Let us know if you recognize this document.

23 A. Yes. This is a spreadsheet put together by Tony
24 Van de Ven. He loves to model stuff. And basically you
25 can see up column A, rows 3, 4 -- 4, 5, and 6, it says,

1 BSG1, BSG2 red. We had always used two strings of BSG, or
2 that's what we ended up calling BSY. You know, green,
3 yellow and red.

4 So we were able to put in individual parameters
5 for the lamps. We were able to put in color point that
6 we're looking for, power supply efficiencies, optical
7 efficiencies. And so that's what was modeled here.

8 The model predicted with all of those
9 parameters, if we could hit them, we would have a wall plug
10 efficiency -- that's column J, line 36 -- of 114 lumens per
11 watt. That's what our model predicted.

12 Q. Was there a prototype that was developed based
13 on this model?

14 A. Yes. That would have been the LRP38.

15 Q. Thanks, Mr. Negley.

16 If we could turn next to -- I think the test
17 results that you were referring to, and this would be
18 JX-45.

19 Can you let us know if you recognize this
20 document?

21 A. Yes, I do.

22 That is a test report put together for the LRP38
23 that was tested at NIST. That's National Institute of
24 Standards and Technology. It is our government lab. They
25 set test methodologies and specifications. It used to be

1 called the National Bureau of Standards.

2 But we got some test time at NIST, and we wanted
3 it there. It was a very important measurement for us, and
4 I think for the LED community.

5 They asked us two questions. One was what was
6 the input voltage, and how much did it weight. They just
7 wanted to make sure they could handle both. The weight had
8 to come down to -- they wanted to make sure they could
9 adequately fixture it so it would not fall and damage their
10 test equipment, which is called an integrating sphere.

11 Q. Were you present at the NIST test, Mr. Negley?

12 A. No, I was not. I sent Mark up to do that, Mark
13 Edmond. Might as well let him finish what he started.

14 Q. Did you or anyone at LLF provide any testing
15 protocols to NIST?

16 A. Again, the only thing that we were able to --
17 the only things we were able to dictate was what was the
18 input voltage. That's 120 volts AC.

19 Q. Before we get to the results, can you tell us
20 why you want to have NIST perform this testing?

21 A. We believed it was going to be such a great
22 result that there would still be doubters out there if we
23 had it tested any other place.

24 So why don't you -- you know, we went to the
25 people who made the test rules and did the design rules for

1 the testing, and had probably the -- and still have the
2 best equipment in the world. So we went to NIST.

3 Q. Thanks, Mr. Negley.

4 If you could ask you to turn to table 1, which
5 is on page 2 of JX-45.

6 Can you tell us what's shown there?

7 A. Yes. Those were the test results for the LRP38.
8 Input voltage, 120 volts. We specified that. LED lamp
9 current at 120 volts.

10 This lamp consumed 0.1158 amps of current, which
11 equates to 5.802 watts. That's just volts times current.

12 So that's how much power was consumed from the
13 wall plug. Luminous output, 658.7 lumens. Divide the
14 lumens by the power from the wall, 113.5 lumens per watt.
15 We were thrilled.

16 Q. I think you mentioned that Mr. Edmond was
17 present.

18 Do you know what you learned from him about
19 NIST's reaction to the test results?

20 A. Yes. Mark was allowed to -- he was allowed to
21 go in and witness the setup in their test equipment, the
22 integrating sphere. But he was not allowed to be in the
23 lab when the measurements were done.

24 They didn't want any undue influence, you know,
25 from -- I'll call it from the crowd. So their policy is

1 nobody's in the lab other than the NIST employees.

2 I was on the cell phone with Mark at the time.
3 He was out in the lobby. He was sort of laughing because
4 every few minutes another NIST employee would go into the
5 lab, and his comment was, wow, it's getting pretty crowded
6 in there.

7 They -- I think they wanted more than a few sets
8 of eyes on it. But they were quite impressed.

9 Q. Thanks, Mr. Negley.

10 If I could ask you to turn next to JX-1, and
11 Mr. Jay can pull that up.

12 Do you recognize this document?

13 A. Yes. This was -- it was a patent that we had
14 filed, and it was issued. This was a patent that
15 represented in a sense that LRP38 prototype, and that's why
16 Mark's there. We had the original three inventors from the
17 previous patent, and then we added Mark's contribution,
18 which was his work to get us over 100 lumens per watt.

19 Q. Do you recall the time period when you filed the
20 application that led to this patent?

21 A. Okay. I see line 60. It says, provisional
22 application filed November 27, 2007.

23 Q. All right. That was a few weeks after the NIST
24 test?

25 A. Yes.

1 Q. Thanks, Mr. Negley.

2 If you could turn to Figure 1 of the '531
3 Patent. That's on JX-1.4, and could you tell us what's
4 depicted there?

5 A. Yeah, this is sort of a cross-sectional view,
6 again, of the LRP38 in this case. Couple of notable
7 differences. I mean, we still have the MCPET layer in
8 there. We still have 22 output lens. But instead of
9 having those 5-millimeter LEDs, you can see -- I think it's
10 pointing to 30 -- 30, 32. Those are what we call power
11 LEDs typically designed for one-watt input. That's the
12 design point of them.

13 Those are the same power LEDs that I did the
14 product line for Cree back in 2003.

15 But they were power LEDs custom made to our
16 color point, the BSY color, but that's just a
17 cross-sectional view. Then you can see the housing in the
18 back also has a power supply -- power supply. That's 16.

19 Q. Thanks, Mr. Negley.

20 Now, if I could ask you to turn to a later part
21 of the '531 Patent, in particular what's shown on JX-1.20,
22 and I'd ask you to focus on column 21, line 64, to column
23 22, line 7.

24 Can you tell us what's shown here from the '531
25 Patent?

1 A. Yes. That specifically calls out the test
2 results by NIST, and then lists the individual parameters,
3 input voltage, lamp current, power consumed in the lamp,
4 and the wall plug efficiency, and the color rendering
5 and --

6 Q. Okay. Thank you. Sorry.

7 A. And I was going to say and the color
8 temperature.

9 So this is the equivalent color of an
10 incandescent light bulb.

11 Q. Thanks, Mr. Negley.

12 Turning -- switching gears for just a moment, is
13 LLF an existing business?

14 A. No, it's not. LLF was acquired by former
15 employee -- employer, Cree, February 29, 2008.

16 Q. For how much was LLF acquired?

17 A. Purchase price, I believe, was about \$77
18 million. And then on top of that, there was a three-year
19 earnout of I think about \$26 million, total yield is, I
20 think, a little bit north of 103 altogether.

21 That three-year earnout was our incentive not to
22 bail. We -- if we wanted our extra money, we had to be
23 employed there.

24 Q. As a result of the acquisition, did Cree,
25 Incorporated, get access to the work LLF did to develop the

1 lighting devices you mentioned?

2 A. Cree got everything lock, stock and barrel. All
3 of our IP, every -- you know, everything we generated, any
4 hardware, everything. They bought us.

5 Q. I think you mentioned that you stayed at Cree.
6 How long were you at Cree after the acquisition?

7 A. I left Cree in 2014, so I stayed quite a while.

8 Q. Do you have any affiliation with the
9 Complainant, Cree Lighting, in this investigation today?

10 A. No, I do not.

11 Q. Can you tell the Court why you're here to
12 testify today about these patents?

13 A. I'm very proud of the patents that I helped
14 generate, and I'd like to defend them. I just feel it's
15 the right thing to do.

16 MR. ERWINE: Thank you very much, Mr. Negley.

17 No further questions.

18 JUDGE CHENEY: Okay. This is probably a natural
19 time for us to take our 15-minute morning break. So I will
20 see you all at, say, 10:57.

21 During the break, Mr. Negley, please don't
22 discuss your testimony with anyone.

23 THE WITNESS: Okay.

24 JUDGE CHENEY: When we return from the break,
25 there will be some questions for you from RAB Lighting, and

1 I might have a few questions for you also.

2 With that, we are off the record for 15 minutes.

3 (Whereupon, the morning recess was taken,
4 10:43 a.m. - 10:57 a.m.)

5 JUDGE CHENEY: We're back on the record now
6 after taking our morning recess.

7 Before the recess, we heard the completion of
8 the direct examination of Mr. Negley, one of the named
9 inventors on the '819 and '531 Patents.

10 Is there any cross-examination for Mr. Negley?

11 MR. ROUSH: Yes, Your Honor.

12 JUDGE CHENEY: Please proceed when you are
13 ready, counsel.

14 CROSS-EXAMINATION

15 BY MR. ROUSH:

16 Q. Brad Roush on behalf of RAB Lighting. Good to
17 see you again, Mr. Negley.

18 A. Hello.

19 Q. Earlier, you testified about the BSY approach,
20 and I wanted to ask you some follow-up questions. The '531
21 Patent references non-white non-saturated
22 phosphor-converted light-emitting diodes, these are the BSY
23 emitters or the BSY+R approach; correct?

24 A. Yes.

25 Q. The '531 Patent also references saturated LEDs,

1 and these would be the red LEDs of the BSY approach;
2 correct?

3 A. Yes.

4 Q. LLF did not manufacture its own blue LED dies;
5 correct?

6 A. That is correct.

7 Q. LLF did not manufacture its own red LED dies; is
8 that correct?

9 A. That is correct.

10 Q. LLF did not manufacture its own phosphors;
11 correct?

12 A. That is correct.

13 Q. These components were purchased from third
14 parties; correct?

15 A. The red LEDs were purchased from third parties.
16 The BSY color was a custom-made component for us, and we
17 had that made by Cree, who was our vendor.

18 Q. But the blue LED dies used in the BSY component
19 would have been manufactured by Cree, Inc.?

20 A. Maybe. In many of -- in the early prototypes,
21 we used Nichia chips, and we used Cree chips. It depended.

22 Q. So LLF would take a blue LED chip manufactured
23 by a third party and modify it to make the BSY emitter; is
24 that right?

25 A. When we were making 5-millimeter LED prototypes,

1 we would get the chips from a vendor, and I would make
2 those lamps in my lab.

3 Q. So now up until LLF was acquired by Cree, Inc.
4 in 2008, did all of LLF's lighting devices use the BSY
5 approach?

6 A. Let me -- the -- there was one prototype that
7 did not. It was the very first prototype. It was a cool
8 white lamp that we announced. After that, BSY plus red was
9 the approach that we took to make warm white, high
10 color-rendering, light sources.

11 Q. So to practice the BSY approach, you need at
12 least two LEDs; correct?

13 A. To practice the BSY plus red approach, you need
14 at least two LEDs.

15 Q. That's a blue LED and a red LED; correct?

16 A. No. That is a blue-shifted yellow LED plus a
17 red LED.

18 Q. Okay. So you --

19 A. I'm talking components, sir. I'm not talking
20 the chips inside.

21 Q. So on the chip level, you would need a blue LED
22 die and a red LED die; is that correct?

23 A. That is correct.

24 Q. Prior to your BSY+R approach, it was known that
25 white light could be produced using LEDs; correct?

1 A. Yes.

2 Q. One of those approaches was using red, green and
3 blue LEDs clustered together; correct?

4 A. Red, green and blue LEDs, RGB, is one approach
5 to make white light.

6 Q. Another approach was using a blue LED with a
7 luminescent material such as a phosphor; correct?

8 A. Other approach would be what I would call
9 phosphor -- complete phosphor converted. So that would be
10 a blue chip plus whatever phosphor combination you put
11 together trying to -- depending on what color temperature
12 lamp you are trying to make.

13 Q. Would that be a phosphor-converted LED approach?

14 A. Yes.

15 Q. Prior to the BSY approach, it was known that you
16 could make warm white using a phosphor-converted LED
17 approach; correct?

18 A. That is correct.

19 Q. In the BSY approach, you're using a red LED
20 instead of a red phosphor; correct?

21 A. That is correct.

22 Q. The red LEDs are more efficient than a
23 phosphor-converted red; is that correct?

24 A. Yes, that is correct. If you use a phosphor to
25 create a red light, you're using what -- the blue light is

1 approximately 3 electron volts. The red light is
2 approximately 2 electron volts.

3 So you're throwing away one-third of energy to
4 make a phosphor-converted red, that's why we didn't go that
5 way.

6 Q. What you just described there, is that called a
7 Stokes shift loss?

8 A. Yes, that's a Stokes shift loss.

9 Q. I want to pull up -- actually, LLF was founded
10 in September 2005 by Mr. Hunter, Mr. Coleman and Mr. Van de
11 Ven and yourself; correct?

12 A. That is correct.

13 Q. And LLF always had a Hong Kong office; is that
14 correct?

15 A. Yes. Tony Van de Ven lived in Hong Kong at the
16 time.

17 Q. I next want to discuss with you the timeline
18 associated with the development of LLF's prototypes.

19 I believe you testified that you came up with
20 the BSY approach in -- is it October or November 2005,
21 around that time period?

22 A. Yeah. It was in the fall. I just remember it
23 was chilly outside. Billboards set up, and we were playing
24 around with our RGBW light source.

25 Q. At that time, you didn't know how to -- how to

1 build a 60 lumen per watt lighting device; correct?

2 A. Until we had our a-ha moment that night in the
3 field and figured out BSY plus red, we did not achieve --
4 we were not at 60 lumens per watt before that time.

5 Q. So immediately after your a-ha moment, you knew
6 you were at 60 lumens per watt; is that correct?

7 A. I think it's better to say after our a-ha
8 moment, we made some prototype devices BSY, and we learned
9 about BSY plus red. And once we learned enough, then we
10 put together a prototype.

11 Q. When you say you put together a prototype, what
12 prototype are you referring to?

13 A. We had a couple of different press releases and
14 it's a little foggy right now.

15 So I -- I don't recall. I do recall very well
16 the moment when we went over 70 lumens per watt, which was
17 at that test at CSA.

18 Q. You built several prototypes; correct?

19 A. Yes.

20 Q. These would be tested at CSA; is that correct?

21 A. If we did a press release, then it was tested by
22 a third party. If we didn't do a press release, then we
23 trusted our in-house measurements, which were nearly
24 identical to the test equipment at CSA.

25 Q. So was the first of those prototypes tested at

1 CSA in January of 2006?

2 A. Could be, I don't recall.

3 Q. Can you turn to RX-0737?

4 Do you recognize this document?

5 A. I'm looking at it right now.

6 Yes, it looks like a test report from CSA, and

7 it looks like it's dated January 25, 2006.

8 Q. Can you turn to page 16 of the report?

9 A. Yep, I see it.

10 Q. This prototype had a wall plug efficiency of

11 47.36 lumens per watt; is that correct?

12 A. That's what the document says, yes.

13 Q. Can you turn to page 22 of the report?

14 Is this a second LLF prototype? If you turn to

15 page 2 of the --

16 A. Yeah, yeah. Okay. I'm looking at it. I don't

17 recall the details of this.

18 Q. But shortly after the January 2006 CSA test, if

19 you pull up JX-0016, LLF issued a press release. In this

20 press release, LLF is announcing a wall plug efficiency of

21 47 lumens per watt; is that correct?

22 A. That's what I read, yes.

23 Q. Was that a record for LLF at the time?

24 A. I believe it was. And I believe it was also a

25 record for warm white LED lighting device, period.

1 Q. Could you turn back to RX-737, page 16? Can you
2 look at the correlated color temperature there?

3 A. Yes, I see that. It's 6,000 kelvin.

4 Q. That would not be a warm white lighting device;
5 is that correct?

6 A. I'd have to see -- I'd have to look at details
7 of this report.

8 At one point, we -- the very first press release
9 we ever did was a cool white product, okay, then we also
10 had that compared to a CFL lamp that we had tested at CSA.

11 I'd have to look at the details of this report.
12 Jumping around is very confusing for me.

13 Q. Can we turn back to the press release, JX-0016.

14 This is announcing the record of 47 lumens per
15 watt. So at the time LLF had not figured out how to build
16 a lighting device with a wall plug efficiency higher than
17 47 lumens per watt; correct?

18 A. Yes. Can I see more of this document, please?

19 Okay. So this document, although it -- I don't
20 see where -- this press release, I don't see where it calls
21 out the color temperature. I do remember this now where it
22 says, "We are targeting the release of a result from our
23 warm white fixture within 60 days." Okay.

24 This was a cool white prototype that we put
25 together with the best cool white LED components that we

1 could. Okay. This is a cool white result. This is not a
2 warm white result. This is not BSY plus red. This is a
3 completely phosphor-converted LED prototype that we put
4 together.

5 That's why the color temperature, as you pointed
6 out before, was nearly 6,000 kelvin, which is the color of
7 a cool white lamp.

8 Q. But at the time, as of January 2006, this was
9 LLF's best wall plug efficiency result to date; is that
10 correct?

11 A. Yes.

12 Q. So can you turn to -- if you pull up RX-0738.
13 This is another CSA test report, and this one, I believe,
14 is dated February 16, 2006, if you turn to page 2.

15 Do you recognize this document?

16 A. It looks like a test report for LLF prepared by
17 CSA.

18 Q. This would be -- these tests -- these tests that
19 you conducted, would they be considered technology
20 demonstrations?

21 A. This is a prototype. This would be a technology
22 demonstration, yes.

23 Q. Can you turn to page 4 of the report. This
24 report shows a wall plug efficiency of 53.5 lumens per
25 watt; correct?

1 A. Yes, it does. It also shows a correlated color
2 temperature of 29,000 -- I mean, yeah, 2,904 kelvin, which
3 is the color of a warm white LED -- a warm white light
4 fixture, very similar to that of an incandescent bulb.

5 Q. So did this prototype use the BSY+R approach?

6 A. Yes, it did.

7 Q. Can you turn to RX-50.

8 This is another LLF press release dated February
9 16, 2006.

10 Do you recognize this document?

11 A. Yes, I do. It's a press release that LLF
12 released on the previous test results that you just
13 displayed.

14 Q. In this press release, LLF is announcing a new
15 record for wall plug efficiency; is that correct?

16 A. I -- I believe nobody had achieved a warm white
17 LED, high-color rendering lighting device that had an
18 efficacy this high.

19 So this is a record.

20 Q. At the time LLF had not figured out how to build
21 a lighting device with a higher wall plug efficiency;
22 correct?

23 A. We were still learning all of the details to
24 maximize the performance.

25 Q. So at the time, 54 lumens per watt for wall plug

1 efficiency was the best that LLF knew how to do?

2 A. 54 lumens per watt on this date was the best
3 that we had done, and it was the best that anybody in the
4 world had done for warm white, high-efficacy, high-CRI
5 light source.

6 Q. I want to turn back briefly to the January 2006
7 test. You mentioned that was a cool white prototype.

8 Was the wall plug efficiency of 47 lumens per
9 watt a record for a cool white lighting device?

10 A. I had never seen another product in the cool
11 white regime that had an efficacy that high.

12 Q. So, Mr. Negley, can you pull up JX-0014. This
13 is a copy of the declaration that you testified earlier on.
14 It was submitted in the prosecution of the '819 Patent.

15 Can you turn to page Cree_Lighting-RAB 3398.
16 This is the CSA report for April 20, 2006 that you were
17 testifying earlier about; is that correct?

18 A. It appears to, correct.

19 Q. This is another technology demonstration?

20 A. Anything with a prototype we termed a technology
21 demonstration.

22 Q. Can you turn to page 9 of the report. Actually,
23 that page right there, yes.

24 JUDGE CHENEY: Sorry. For the record, Counsel,
25 what page are we looking at?

1 MR. ROUSH: Cree_Lighting-RAB 0003401.

2 JUDGE CHENEY: Which is page 14 of -- I'm sorry,
3 is that page 9 of the report?

4 MR. ROUSH: It's actually page 4 of the report,
5 Cree_Lighting-RAB 003401.

6 JUDGE CHENEY: Thank you.

7 Q. Earlier you were testifying about these test
8 results. You also mentioned that an unregulated power
9 supply was used; is that correct?

10 A. That's correct.

11 Q. You mentioned that wall plug voltage can vary;
12 is that correct?

13 A. What do you mean, "wall plug voltage can vary"?

14 Q. I think you said people have different ideas of
15 wall plug voltage.

16 A. Well, if you ask -- I think even if you ask an
17 engineer, you know, what is the wall plug or if you look at
18 an appliance document, sometimes they call it 110.
19 Sometimes they call it 115. Sometimes they call it 117.
20 Sometimes they call it 120 volts.

21 I mean, I -- I can tell you my wall voltage at
22 the Hillsboro Technology Center was 124 volts. That's what
23 came to the house.

24 Q. Here the -- there are two tests; one was
25 conducted at 110 volts, and one was conducted at 115 volts;

1 correct?

2 A. That is correct.

3 Q. The 110-volt test had a 79.79 lumens per watt;
4 is that correct?

5 A. That's what the report says, yes.

6 Q. Then the 115-volt test had 72.7 lumens per watt?

7 A. Yes.

8 Q. And if the input voltage were further increased,
9 would you expect the wall plug voltage to also decrease --
10 strike that. I misspoke.

11 If the input voltage to the power supply were
12 further increased, for example, to 120 volts, would you
13 expect the wall plug efficiency of the prototype to
14 decrease?

15 A. For this prototype, it would because it's a
16 non-regulated supply.

17 Let me just tell you something about LEDs. If
18 you have -- say, you have a power LED that's made to
19 operate at 350 milliamps of drive current.

20 Okay. If you double that drive current to 700,
21 you only get 60 percent more light out. So the LEDs become
22 less efficient.

23 If you have the same LED at 350 milliamps and
24 turn it down by half, okay, so 175 milliamp drive current,
25 then your efficacy will go up a little bit because it's

1 more efficient.

2 I mean, again, this is a prototype, you know,
3 and we had a non-regulated supply. Our final commercial
4 product had a regulated supply. So input line voltage
5 variations would not change output -- the amount of light
6 output.

7 Q. So next -- so you issued as part of this a press
8 release, right, in April of 2006; is that correct?

9 A. That is correct.

10 Q. That's JX-0017. In this press release, I
11 believe you said that your LLF had set a wall plug
12 efficiency record of 73 lumens per watt; is that correct?

13 A. That is correct. That's what we announced that
14 day.

15 Q. So was that, in fact, a record for LLF at the
16 time?

17 A. It was -- okay. On the day that we did that
18 test, we did two different tests at the same -- at
19 different input voltages. Okay.

20 This was the efficacy that we wished to release
21 at that day, and it was a world record. You know, an
22 unprecedented gain in light output.

23 Over 70 lumens per watt was a very significant
24 number.

25 The Department of Energy had a 2010 goal or

1 2011, one of the two, and their goal for warm white LED in
2 that -- in 2010, 2011, whatever year that their goal was to
3 be made, or they thought it could be achieved, was 70
4 lumens per watt for a warm white LED component. Okay.

5 That means no lens loss. No optical loss. No
6 power conversion loss. It was a DC measurement. That was
7 what the Department of Energy thought would be capable in
8 2011.

9 We did this as a system, with -- as a wall plug
10 efficiency, with all of those losses, in 2006.

11 This was a significant result.

12 Q. So -- but in this press release, you chose to
13 release the lower of the two test results from the April
14 20, 2006, CSA test; is that correct?

15 A. That is correct.

16 Q. Can you pull up RX-0051.

17 This is another LLF press release dated May 30,
18 2006. Do you recognize this document?

19 A. Yes, I do.

20 Q. This press release announced a world record at
21 80 lumens per watt; correct?

22 A. That is correct.

23 Q. And this press release is also referring to the
24 April 20, 2006, CSA test?

25 A. Yes, it is.

1 Q. So the April 24, 2006, and May 30, 2006, press
2 releases are referring to the same test; correct?

3 A. Same -- same test date, right. It is the
4 same -- the same prototype unit, but yes, both tests were
5 performed on -- in April.

6 Q. Okay. So for this press release, LLF had not
7 built a prototype with a better wall plug efficiency than
8 what was tested at CSA in April of 2006; correct?

9 A. That is correct.

10 Q. So when -- on May 31, 2006, when the provisional
11 application for the '819 Patent was filed, LLF's wall plug
12 efficiency record was 79.79 lumens per watt; is that
13 correct?

14 A. That was -- yes, that is correct.

15 Q. In fact, in May 2006, LLF tried to build a
16 better prototype but fell short; correct?

17 A. I believe -- it's not that we fully constructed
18 a prototype. I looked at the base components. I looked at
19 everything we had.

20 We had -- Tony Van de Ven had put together an
21 Excel model. We called it "Predictor." For what we had at
22 that time, we were not going to be able to do what we --
23 what somebody had asked me to do, which was try to
24 demonstrate 90 lumens per watt.

25 It just wasn't possible. We couldn't do it at

1 that time.

2 Q. So can you turn to RX-743? This is an e-mail
3 between yourself, Cynthia Merrell, Mike Rogers and
4 Mr. Hunter and Mr. Van de Ven dated May 22, 2006.

5 Do you recognize this document?

6 A. Yes, I do. It's an e-mail correspondence where
7 I basically said we weren't going to be able to do 90
8 lumens per watt at this time.

9 Q. In fact, you said you fell short, way short; is
10 that correct?

11 A. I believe that's -- I read the same as you, yes.

12 Q. I believe you were only able to get to 77 lumens
13 per watt; is that correct?

14 A. I don't know. I don't know where you see that.
15 I --

16 Q. It's in the third paragraph down below or in
17 the -- "our best measured number to date is 79.79 lumens
18 per watt," and it goes on to say, "this attempt is at 640
19 lumens, 77LPW."

20 A. Okay. I see that now.

21 Yeah, that's what it says.

22 Q. Then later on in the e-mail chain, you state
23 that, "With each successive gain we release, it's getting
24 dramatically tougher."

25 Do you see that?

1 A. Oh, yeah. Yeah. Yes.

2 Q. So at the time, it was getting dramatically
3 tougher for LLF to improve on the wall plug efficiency for
4 its prototypes; is that correct?

5 A. I'm sorry. Can you repeat that?

6 Q. Sure.

7 So at the time, May 2006, it was getting
8 dramatically tougher for LLF to improve on the wall plug
9 efficiency for its prototypes; is that correct?

10 A. We were nibbling away at gains. We took out all
11 the big stuff, and it gets incrementally more difficult to
12 move higher.

13 Q. As a result of not being able to improve upon
14 the April 20, 2006, prototype that was tested at CSA, for
15 your May 31, 2006, press release, you elected to go with
16 the 110-volt value of 79.7 lumens per watt at press
17 release; is that correct?

18 A. The 80 lumen per watt press release, slightly
19 rounded up from the 79.79, is a release of the April test
20 results, yes.

21 Q. In achieving the April 20, 2006 CSA test
22 results, you were using a lower current density; correct?

23 A. Yes. That's -- well, by the non-regulated --
24 non-regulated power supply, you source the voltage, and
25 then see how much current it will consume.

1 So, yes, the 110-volt test was at a lower
2 current density, that is correct.

3 Q. And lowering the current density will increase
4 the wall plug efficiency when an unregulated power supply
5 is used; is that correct?

6 A. It may. If you turn it down too low, then it
7 may not.

8 But typically, if you are near the operating
9 point and you take down the current a little bit, you -- or
10 current density a little bit, yes, your efficacy will go
11 up.

12 Q. Can you go to the bottom of this e-mail?
13 It's -- were you using, at the time, XT33 and XT31 chips;
14 is that correct?

15 A. That's what the e-mail says. Those were Cree
16 XT33 versus XT31s.

17 Q. Would those be Cree blue LED dies?

18 A. Those were Cree blue LED dies of some
19 configuration.

20 Q. Now, Mr. Negley, it was not until the NIST test
21 in November 2007 that LLF was able to build a lighting
22 device with a wall plug efficiency greater than 79.79
23 lumens per watt; correct?

24 A. That is correct. The truth is we stopped
25 working on efficacy improvements, and we went to

1 commercializing a product. We only had so many people in
2 the company. We could only do so much.

3 Q. Can you pull up RX-658?

4 Is this the press release for the November 2007
5 NIST test?

6 A. Yes, I believe it is.

7 Q. For this test, you are announcing that LLF had
8 shattered a world record; is that correct?

9 A. I believe that's what the -- that's what the
10 headline says.

11 Q. Is this the same test that's referenced in the
12 '531 Patent?

13 A. '531, is that the -- that's the patent that
14 includes Mark Edmond as an inventor; is that correct?

15 Q. Sure. Let me pull up JX-001.

16 Can you turn to column 21?

17 A. Yes. That's the same data that's in this
18 patent.

19 Q. And --

20 A. Yes. Bottom of column 21 to the top of column
21 22.

22 Q. Okay. So these are all referring to the same
23 NIST test that was conducted in November of 2007?

24 A. Yeah. I think it actually says that, right.
25 The devices in Figure 1 and 2 was tested by NIST and

1 resulted in the following performance. Those were the NIST
2 numbers. Yes.

3 Q. So you just mentioned that you turned your
4 attention to a commercial product. Is that LLF LR6
5 product?

6 A. Yes. We went from the prototype development,
7 and then we went to commercialize the LR6 product, which
8 was our first product, our 6-inch downlight.

9 Q. And can you turn back to JX-0014 and can you
10 turn to page number Cree_Lighting-RAB_00003395?

11 Your declaration attaches two reports from
12 CALiPER; correct?

13 A. That's what it says, yes.

14 Q. CALiPER was a program started by the Department
15 of Energy to verify the performance of solid-state lighting
16 devices; is that correct?

17 A. Yes. CALiPER is an acronym. I don't remember
18 what it stands for now.

19 But the Department of Energy, there's part of
20 what I would call their truth in lighting advocacy where
21 they would buy products not -- they weren't supplied by the
22 manufacturer. They would buy them outright in a store, and
23 they would test the performance to see how honest or
24 dishonest the manufacturers were with the numbers that they
25 reported.

1 Q. Can you turn to page Cree_Lighting-0003413?

2 The CALiPER testing took place in September
3 2007; correct?

4 A. That is -- I see on this report, it calls out
5 two dates, September 7th and September 10th.

6 Q. Can you turn to Cree_Lighting-RAB_003414, the
7 following page?

8 The LR6 tested by CALiPER was purchased in
9 August 2007; is that correct?

10 A. That's -- that's what it says.

11 Q. According to the product literature, it was
12 dated -- the product was dated July 2007; is that correct?

13 A. That is correct.

14 Q. I want to turn back to the April 20, 2006, CSA
15 test.

16 A. Okay.

17 Q. Can you pull up JX-66?

18 At this time, you were in this e-mail. As you
19 testified, you were explaining that Mr. Pickard was also at
20 the April 20, 2006, test; correct?

21 A. Yes, he was.

22 Q. Mr. Pickard is an employee of Acuity; is that
23 correct?

24 A. He was an employee of Acuity. I believe Paul,
25 on that day, was there representing himself.

1 Q. Acuity is another light fixture manufacturer;
2 correct?

3 A. That is correct. Acuity Brands Lighting.

4 Q. Do you recall whether Mr. Pickard was under a
5 confidentiality agreement with LLF at the time?

6 A. I believe before this test was done -- during my
7 deposition, I -- I wasn't sure.

8 I talked to Paul after my deposition, and he was
9 under an NDA for that test.

10 Q. Since -- did you review the -- a copy of the
11 NDA?

12 A. I have not.

13 Q. Do you have one in your records?

14 A. I -- if -- all records of LLF should be records
15 of Cree.

16 Q. Can you pull up RX-07 -- 0077?

17 This is an e-mail between Mr. Van de Ven and
18 Mr. Hunter and yourself, correct, dated April 12, 2006?

19 A. I see that.

20 Q. Do you recognize this document?

21 A. I don't recall it. I can read it, and I'm sure
22 it may spark some memories, you know. It was a little
23 while ago.

24 Q. So this is about a little over a week before the
25 April 20, 2006, CSA test. If you turn to the following

1 page, page 2 of RX-077, Acuity or ABL had questions about
2 LLF technologies.

3 Do you recall seeing this document?

4 A. It looks familiar. I can't say -- if you hadn't
5 shown it to me, I probably wouldn't have remembered it, but
6 it looks familiar.

7 Q. Did LLF provide a prototype -- or strike that.

8 Was LLF in negotiations with Acuity at the time?

9 A. We were looking for strategic investment
10 partners.

11 Q. Was Acuity one of those potential strategic
12 investment partners?

13 A. I would -- I would say any company that we
14 talked to, we were always looking for money. So our
15 interest in -- you know, at Acuity would be, you know,
16 we're not going to give away what we make. We had no
17 products at this point. But we did have technology that we
18 were developing.

19 So we were always looking for investment
20 partners.

21 Q. So this would be a commercial interest; is that
22 correct?

23 A. What do you mean commercial interest?

24 Q. You were interested in establishing a
25 relationship with Acuity that would benefit you

1 financially?

2 A. In a small startup that, you know, when -- you
3 don't raise money when you need it. You raise money before
4 you need it and when you can.

5 So if somebody's knocking on our door, we're
6 going to be knocking on theirs for something else.
7 Something in return. Absolutely.

8 Q. Can you take a look at -- on that same page,
9 page 2 of the document, at the -- I think it's the first
10 paragraph below the bullet points?

11 It says -- apparently, Acuity had a question for
12 you. They asked, "Is the power quality such as inrush
13 current, harmonics and power factor equal to or better than
14 standard instant electronic fluorescent ballast and
15 suitable for installation in commercial buildings?"

16 Do you see that?

17 A. Yes, I see that.

18 Q. In LLF's response, it says, it's -- currently
19 you have a very basic power supply design; is that correct?

20 A. That is what is written, yes.

21 Q. So at the time, LLF power supply design was very
22 basic; is that correct?

23 A. I would say that we -- we would make the most
24 efficient, but very, you know, simplified design for our
25 prototypes. Okay.

1 During this time as we stopped going after
2 efficacy records, we knew there were things we had to do.
3 Power factor correction, that's PFC. Very important, okay,
4 if you wanted to get into commercial applications.

5 Q. So at the time, though, your power supply was
6 simple; is that correct?

7 A. At the time, our power supply was sufficient for
8 us to develop prototypes and characterize the technology
9 that we were creating.

10 Q. Can you pull up one more document associated
11 with this, RX-0180.

12 In this you're talking about a recent Acuity
13 contract, and in it -- do you recall whether or not a
14 contract between LLF and Acuity was exchanged?

15 A. There was -- I believe there was a document put
16 forth by Acuity. They wanted us to design and construct
17 some products for them.

18 And we turned down the deal. It just didn't
19 make sense.

20 Q. Did Acuity make a financial offer?

21 A. No. I can't remember how the deal was
22 structured. It wasn't like they were going to buy a piece
23 of LLF or an investment. They -- there was -- you know,
24 you make this widget for us, and this is how much we'll pay
25 you, and this -- design and make the widget.

1 All I remember is that they were quite upset
2 when -- when we basically would not sign the agreement that
3 they wanted us to sign.

4 Q. Did LLF ever make an offer to Acuity?

5 A. I don't know. I tried to stay more on the
6 technology side, and let Neal Hunter, Mike Rogers, and
7 Cindy Merrell, our CEO, president, and CFO do first pass on
8 business deals.

9 So I -- I don't recall. Just -- I really don't
10 recall.

11 Q. Can you pull up JX-002 again. This is the '819
12 Patent. Earlier we were talking about the BSY+R approach.

13 The BSY+R approach requires a BSY emitter, and a
14 red LED; correct?

15 A. That is -- yes, that's correct.

16 Q. And the BSY emitter uses a blue LED die;
17 correct?

18 A. The blue LED die is in -- in all
19 phosphor-converted LEDs, there's a blue LED chip in there,
20 yes.

21 Q. Can you turn to Claim 1 of the '819 Patent.
22 Claim 1 recites a lighting device comprising at least one
23 light-emitting diode.

24 So you could not perform the BSY+R approach with
25 just one LED; correct?

1 A. I think it comes down to what are you calling
2 one light-emitting diode? Okay. It's a package. In that
3 package there could be a BSY -- a blue chip and a red chip.
4 Okay. There could be. So it has at least one
5 light-emitting diode.

6 Q. Okay. But you would -- but in your design,
7 the -- there would be a BSY emitter package, and a red LED
8 package; correct?

9 A. In our design, we had -- we had a separate BSY
10 package and a separate red LED package.

11 Q. So that would be at least two LED packages;
12 correct?

13 A. That answer to your question is -- sounds like
14 it's yes. Okay. If you are trying to get me to interpret
15 this claim, okay -- this is a legal document. Okay. I'm
16 not a lawyer. Okay. You're looking at a specific legal
17 document.

18 I'm not a lawyer, so I can't comment on this
19 claim per se. But the way we constructed, got to BSY plus
20 red was one BSY package plus one red package.

21 Q. Can you turn to in the '819 Patent, the column
22 16. This is beginning at line 39. This reference is a
23 Cree XT LED, a C460XT290.

24 Do you see that?

25 A. I see where it says that, yes.

1 Q. It also mentions that the optical power would be
2 greater than 24 milliwatts.

3 Do you see that?

4 A. Yes, I see that.

5 Q. Do you know whether the -- whether or not the
6 April 20, 2006, CSA prototype used this LED die or not?

7 A. I -- I don't recall the specific LED dies that
8 were called out in there.

9 So I don't recall that detail.

10 Q. Can you pull up RX-0750.

11 Do you recognize this document?

12 Actually, can you pull up another document. Can
13 you pull up JX-0159?

14 Do you recognize this document, JX-0159?

15 A. It looks like -- I mean, I don't recognize it
16 specifically, but it looks like it is a product sheet from
17 Cree about their XThin LEDs, XT290.

18 Q. So is this the data sheet for C -- the C460XT290
19 referenced in the '819 Patent?

20 A. I don't know.

21 Q. Can you take a look at about mid-way down, it
22 says XT24 24-milliwatt minimum.

23 Do you see that?

24 A. Yes, I see that.

25 Q. Would this be referring to, as the patent calls

1 it, an optical power greater than 24 milliwatts?

2 A. It very well may be referring to that.

3 Q. So could the reference in the '819 Patent to
4 a -- the C460XT290 be referring to the XT24 shown here?

5 A. It could be. I'd have to do my homework on it,
6 because I really don't recall the details.

7 Q. You recall testifying earlier today that you're
8 named as an inventor on several -- I believe you said LED
9 chip-level patents; is that correct?

10 A. Yes.

11 Q. So you're not able today to identify whether or
12 not that is the data sheet for the only blue LED die
13 identified in your '819 Patent; is that correct?

14 A. I mean, it -- the names and numbers seem to go
15 together. I just -- I'd have to go back, and just sort of
16 piece it all together. You know, you're talking 16 years
17 ago or so.

18 Q. Can you pull up RX-750 again?

19 Do you recognize this document?

20 A. It looks familiar.

21 Q. So this is an LLF document?

22 A. That's -- that's -- I see it says company
23 confidential, copyright 2006, LED Lighting Fixtures, Inc.
24 There's a date 5/5/2006. So it must be an LLF document.

25 Q. Do you see the prototype -- the project name

1 D3-1?

2 A. Yes.

3 Q. If you see, it goes along columns, and the --
4 one of the columns is L/W 73, and another one it's like
5 CSA, yes. And it also mentions the purpose, press release.

6 Is this D3-1 project the prototype that was
7 tested on April 20, 2006?

8 A. It could be.

9 Q. In it mentions description 3 strings, 117X,
10 XT31. Do you see that?

11 A. Yes, I see that.

12 Q. Is the XT31 referring to a blue LED die?

13 A. I believe it is.

14 Q. Is that blue LED die -- that's not the same blue
15 LED die referenced in the '819 Patent, is it?

16 A. I don't know. I don't -- I don't recall the
17 detail. I don't know.

18 Q. So you can't tell me whether or not the XT31 is
19 the same as the C460XT290; is that correct?

20 A. I -- yes, I don't know.

21 Q. Now, I believe Mr. Erwine showed you a physical
22 exhibit during your direct examination --

23 A. Yes.

24 Q. -- the CPX0114.

25 Do you know what chips were used in that

1 prototype?

2 A. I don't recall.

3 Q. So you don't know what blue LED dies were used
4 in that prototype?

5 A. I don't recall.

6 Q. Could you determine what blue LED dies were in
7 that prototype?

8 A. I would think that you could de-encapsulate one
9 of the components, and look at it with a microscope or some
10 other technique to figure out, does it look the same, what
11 size is it, et cetera, et cetera.

12 Q. Can you pull up RX-740. This is an e-mail dated
13 March 29, 2006, from you to Mr. Hunter, and Mr. Van de Ven;
14 correct?

15 A. That's -- I see the date of March 29th, and it
16 is from me, and it's to Tony and Neal, yes.

17 Q. This is about three weeks before the April 20,
18 2006, CSA test; correct?

19 A. Yeah, that's correct.

20 Q. This is discussing the Cree XT24; correct?

21 A. I see Cree XT24, yes.

22 Q. So do you know whether or not this Cree XT24 is
23 the same as the C460XT290 referenced in the '819 Patent?

24 A. I don't know if it is the same or not.

25 Q. So this appears to be discussing lumen per watt

1 values; correct?

2 A. Yes. So they look to be cool white lumen per
3 watt values. I say cool white because I see 5,176 kelvin,
4 and I see 5,528 kelvin. Those are cool white color, not a
5 warm white color.

6 Q. So would these be efficacy numbers for the LED
7 packages?

8 A. I would presume so.

9 Q. If you also see, there's 3.15 next to VF. Would
10 that 3.15 forward voltage?

11 A. Yes, that would be 3.15, I believe it says, VF,
12 yes.

13 Q. And the wall plug efficiency or efficacy of the
14 LED -- an LED lighting fixture will be less than the
15 efficacy of its LED packages; correct?

16 A. Yes.

17 Q. Can you pull up -- also -- actually, one more
18 question.

19 At the end of this e-mail, it references -- it
20 says, "I think we can get close to the 100 lumens per watt
21 component number with the forward voltage of 2.9 for 2800
22 kelvin if Cree delivers what they call the 31 milliwatt
23 chips.

24 Do you see that?

25 A. Yes, I see that.

1 Q. Is that referring to the XT31s?

2 A. I don't know it that's referring to the XT31s
3 or -- I mean, if I remember the spec sheet that you showed
4 me, it said that Cree XT24 is a 24-milliwatt min.

5 So I don't know this -- the high end of the XT24
6 bin or whether this is a different bin. I don't know.

7 Q. Can you turn -- can you turn back to JX-070.
8 Can you turn -- you mentioned bins.

9 Turning back to the Cree data sheet. This is
10 JX-0159, I believe. There's no bin for XT31; correct?

11 A. Yeah. That's really what I was just referring
12 to. When you showed me the spec sheet -- you know, you
13 asked me if it was an XT31. I don't know if Cree had an
14 XT31, but I do see an XT24. It says 24-milliwatt min.

15 Because 31 is higher than 24, I don't know if
16 it's just the top end of that bin or not.

17 I'm not -- I don't know. But it very well could
18 be an XT24 because it's a 24-milliwatt min, and 31 is
19 higher than 24, so that could fit into that bin.

20 I don't know. You're actually having me
21 speculate at this point, and that's not good. That's not
22 good.

23 Q. You mentioned bins, and the bins mentioned here
24 are XT12, XT16, XT18, XT21, and XT24; correct?

25 A. I see that, yes.

1 Q. So XT31 would be on the extremely high side of
2 those bins; correct?

3 A. If it belongs in one of these bins, it would be
4 on the high side of XT24, without a doubt.

5 Q. So these bins, they're essentially a normal
6 distribution of LED parts and their manufacturing process,
7 and they're binned out, and sort of the higher you up go,
8 the fewer number there will be in a particular bin; is that
9 correct?

10 A. Well, you said -- when you said "normal
11 distribution," are you talking a Gaussian distribution?

12 Q. Yes.

13 A. Yeah, I -- look, I am not a statistical expert,
14 but I really believe this data with more follow-up plus
15 some distribution, and not a Gaussian or a normal
16 distribution.

17 Gaussians distributions are used in accounting
18 statistics where you get close -- you sort of get close to
19 this limit, and it comes up really big peak, and then it
20 tails off.

21 Q. Yes. It gets asymptotic towards the end of the
22 range; correct?

23 A. Yeah, the heavy side towards the lower bins and,
24 you know, less on the higher side.

25 Q. So the XT31 would be way out in the tail of that

1 distribution; correct?

2 A. I -- it all depends what the distribution looks
3 like, but it's clearly not at the peak of the distribution,
4 okay.

5 Q. Okay. Could you turn to -- I believe one other
6 document that you turned to in your direct testimony,
7 JX-070. I believe it's the JPX version, the Excel
8 spreadsheet in Dr. Negley's direct examination -- or
9 Mr. Negley's direct examination.

10 A. Mr. Negley, thank you.

11 Q. Do you recall -- you testified about this
12 document today. Can you go to the first tab, actually?

13 Do you know when this document was created?

14 A. No, I really don't. I mean, there's a
15 nomenclature following that says BSG specials 07/11 -- is
16 that what it says? My eyes are pretty bad. 01. But I
17 don't -- I don't know when this document was created. I
18 don't know.

19 Q. Was this created by Mr. Van de Ven?

20 A. Mr. Van de Ven made the model. Whether he put
21 the data into this particular model or not, I -- I don't
22 know.

23 Q. Mr. Van de Ven is based in Hong Kong; correct?

24 A. Mr. Van de Ven ran our Hong Kong office.

25 Q. So in, like, a typical often international

1 dating system, wouldn't be surprised that 07/11/01 would
2 refer to November 1, 2007; is that correct?

3 A. You just told me something I -- I don't recall
4 what those numbers were, and maybe that's what it stood
5 for. So I -- you know, you put words in my mouth. I
6 can -- I don't know.

7 Q. I wanted to show you just one more document. If
8 you turn to RPX-001. This is a spreadsheet dated February
9 16, 2006.

10 Do you recognize this document?

11 A. I mean, I see the tab, it says, "Final CSA
12 results," but I don't recall this document.

13 Q. Do you recall --

14 A. I may have seen it before, but I don't recall
15 it.

16 Q. Do you recall earlier testifying that the first
17 LLF prototype to use the BSY+R approach was the prototype
18 tested in February 2006?

19 A. Okay.

20 Q. I believe you announced the record at 54 lumens
21 per watt --

22 A. Because -- that was probably a generous round-up
23 from 53.5.

24 Q. So would the efficacy number be referring to the
25 53.5 number?

1 A. I believe it very well could be, yes.

2 Q. So if you turn to Tab 2, T3 test results, do you
3 see the chips identified there as 2XT24-465; do you see
4 that?

5 A. Yes.

6 Q. Do you know whether or not these were the same
7 chips that were -- as the C460XT290s referenced in the '819
8 Patent?

9 A. I don't know if these were the same specific
10 chips or not. I don't know.

11 Q. Do you recall saying that the BSY -- the first
12 BSY prototype was the February 6th -- or strike that.

13 Do you recall testifying that the first LLF
14 prototype to use the BSY approach was the February 2006
15 prototype?

16 A. Yes, I believe I said that, yes.

17 Q. And after February 2006, did all of LLF's
18 lighting devices and prototypes use the BSY+R approach?

19 A. Yes.

20 MR. ROUSH: No further questions.

21 JUDGE CHENEY: Okay.

22 Mr. Negley, I just have a couple of questions
23 for you.

24 THE WITNESS: Okay.

25 JUDGE CHENEY: Can you explain to me the

1 difference between color temperature and color rendering?

2 THE WITNESS: Yes. Color temperature is --
3 you've probably seen -- let's see. Color temperature.
4 Okay. So the color temperature sort of -- it comes off the
5 scale. If you heat up a piece of -- NIST would heat up a
6 piece of platinum, okay, and as you heat that up, it goes
7 from a reddish glow to -- you know, to a bluish -- actually
8 it gets blue hot. Okay.

9 So the red hot is around 2700 kelvin. That's
10 the color of an incandescent bulb. You've probably seen
11 fluorescent lamps that are more bluish in color. That's
12 about 4,000 kelvin. That's referring to the black-body
13 temperature of the unit.

14 If that makes sense at all.

15 The color rendering index is actually a number
16 of swatches, in their unsaturated colors, which means it's
17 not -- you know, it's not a saturated red, blue or green.
18 It's where all of the colors are mixed together in the
19 color chart.

20 The color rendering, I believe it was originally
21 15 swatches, but they use R1 through R9, typically, to come
22 up with a color rendering index, and it is how well the
23 light source renders unsaturated colors.

24 This became very important because I remember in
25 graduate school, my advisor was a phosphor expert. One of

1 the first fluorescent lamps ever created by Phillips
2 Corporation was used in a very high-end clothing store, and
3 the problem was what the clothes looked like in the store
4 is not what -- the same colors as they looked like under
5 sunlight.

6 So the color rendering is supposed to help that
7 light source match what the color is supposed to look like.
8 This is, you know, people -- you know, people tried to use
9 RGB, red-green-blue LEDs, to make white light in general
10 illumination.

11 And the problem there is, you know, you can
12 imagine it, there's three peaks, right, and there's dead
13 space, I'll call it dead space, but there's no wavelength
14 in there. So if an RGB light source, although it can
15 appear -- you can trick the system into having it calculate
16 a very high color rendering, it can't render colors that
17 aren't there.

18 So color rendering became very important because
19 you want to be able to -- you know, what it looks like
20 inside, you want to make it look like what is outside, and
21 truly be able to reproduce the colors.

22 So color temperature is warm or cool. Color
23 rendering can range.

24 A metal halide lamp. Maybe you have been in a
25 parking lot at night. You go into a parking lot with metal

1 halide lamps or sodium lamps. Sodium lamp has a color
2 rendering of like 40. I mean, all the cars almost look the
3 same color.

4 So anyway, I hope that helps.

5 JUDGE CHENEY: It does. Thank you.

6 You mentioned that you had an a-ha moment in the
7 field.

8 THE WITNESS: Yes.

9 JUDGE CHENEY: Can you tell me about what you
10 meant by "in the field" and what you remember of that
11 moment?

12 THE WITNESS: Yeah. I mean, literally, we were
13 in a field. We had our billboard set up, and Tommy Coleman
14 has ten acres, and we had a billboard set up in a field.
15 We start working when it gets dark, because it's a
16 billboard. It has to be illuminated. It was that night
17 where we had our first -- you know, our first approach at
18 making a high efficacy and good color rendering or high
19 color rendering.

20 See, LEDs only -- a typical LED has a color
21 rendering of about 80, and some of us don't think that is
22 adequate enough because we're always comparing it to an
23 incandescent. Incandescent has a color rendering of 100.

24 But we're out in this field, and we made this
25 RGBW, so it's red, green, blue and white, cool white LEDs.

1 And we are tuning the colors around and all this stuff.
2 And that's where Tony just focuses in on this -- it wasn't
3 a pleasant looking color; it was either yellowish green or
4 greenish yellow.

5 And he -- I was like, wow, that's terrible. And
6 he goes, can you make that? I said, yeah, I think we can.
7 He goes, well, watch this. And he tweaks in just a little
8 bit of red, okay, and that ugly BSY -- I mean, that ugly
9 yellowish green or greenish yellow color, tweaked in a
10 little bit of red, and boom, we bring it down onto what's
11 called the black-body curve. You know, beautiful coloring
12 rendering.

13 I mean, this billboard that we had up had, I
14 think, a Ferrari, a scene with a palm tree in it. But all
15 around the perimeter, we had these different color
16 swatches, from the same color swatches used for CRI. When
17 we did that, pow, it just popped!

18 And we looked at each other and he was like, are
19 you sure you can make that component? And literally stayed
20 up two days to see if we could do what we wanted to do.

21 And we did it. I mean, it was fun. It was just
22 a lot of fun.

23 JUDGE CHENEY: Thank you for sharing that.

24 That's all the questions I have for you.

25 Is there any redirect for this witness?

1 MR. ERWINE: Minimally, Your Honor. I just had
2 a few questions.

3 REDIRECT EXAMINATION

4 BY MR. ERWINE:

5 Q. Mr. Negley, you were asked, I believe, in your
6 cross-examination about some of the CSA testing, and I
7 think you said you termed those a technology demonstration;
8 is that right?

9 A. Yeah, everything that we made with a prototype
10 we would typically call a technology demonstration,
11 because, I mean, that's what it was. We wanted people to
12 understand what could be done with solid-state lighting.

13 Q. Were those tests that were performed at CSA,
14 were they open to the public?

15 A. No.

16 MR. ERWINE: Okay. No further questions, Your
17 Honor.

18 JUDGE CHENEY: Okay.

19 Well, thank you so much for coming in,
20 Mr. Negley. Your testimony helped me understand this case
21 better.

22 You may step down.

23 THE WITNESS: Okay. Thank you very much. Bye.

24 JUDGE CHENEY: Will Cree call its next witness?

25 MR. ERWINE: Yes, Your Honor. Cree will be

1 calling Mr. Mark Edmond, who is one of the named inventors
2 on the '570 Patent. My colleague, Mr. Kevin Jang, will be
3 constructing the direct examination.

4 MR. JANG: Good morning, Your Honor.

5 JUDGE CHENEY: Welcome to the podium, Mr. Jang.

6 MR. JANG: Thank you.

7 JUDGE CHENEY: When we see Mr. Edmond.

8 MR. JANG: Yeah. He will be on momentarily. He
9 just confirmed that he will be logging on.

10 JUDGE CHENEY: While Mr. Edmond is making the
11 correction, I'll just let you all know that we'll get this
12 direct examination going to make the most of the time we
13 have before lunch, but we will still take our lunch break
14 right around 12:30.

15 Good afternoon, Mr. Edmond. Can you see and
16 hear me?

17 THE WITNESS: Yes, I can.

18 JUDGE CHENEY: Great.

19 I'm going to ask you to take the oath, if you
20 would please raise your right hand.

21 MARK EDMOND,
22 a witness, having been first duly sworn, was examined and
23 testified as follows:

24 THE WITNESS: I do.

25 JUDGE CHENEY: Thank you.

1 You may proceed, Mr. Jang.

2 MR. JANG: Thank you, Your Honor.

3 DIRECT EXAMINATION

4 BY MR. JANG:

5 Q. Good morning, Mr. Edmond. Would you please
6 state your full name for the record.

7 A. Mark Daniel Edmond.

8 Q. Are you named as an inventor on any patents?

9 A. Yes, 37 of them.

10 Q. Is there a general field that your patents
11 relate to in terms of technology?

12 A. Yes, LED lighting.

13 Q. Are you a named inventor on any asserted patents
14 in this investigation?

15 A. Yes, the '570 Patent.

16 Q. Okay. We'll get to the '570 Patent later. But
17 let me first ask you about your background.

18 Would you please give us your educational
19 background, please.

20 A. Yes. I have a bachelor of science in ceramic
21 engineering from Alfred University; graduated in 1998.

22 Q. What was your first employment after college?

23 A. I was with Cree Incorporated.

24 Q. What was your title when you joined Cree
25 Incorporated?

1 A. I was a process engineer.

2 Q. Would you tell us about your responsibilities as
3 a product engineer at Cree.

4 A. I'm sorry. Process engineer, not product.

5 Q. I'm sorry. Process engineer?

6 A. Yes.

7 I maintained processes involved with silicon
8 carbide substrates that would be used to grow layers --
9 crystal layers on it for -- to be diced up into LED chips.

10 I would determine whether or not these wafers
11 would pass on to the next processes based on the color of
12 the light and brightness on these wafers.

13 Q. How long were you at Cree Incorporated?

14 A. Six-and-a-half years.

15 Q. When did you leave Cree?

16 A. In the summer of 2006.

17 Q. What did you do after leaving Cree?

18 A. I started working with LED Lighting Fixtures,
19 Incorporated, LLF.

20 Q. Why did you join LLF?

21 A. I knew Neal Hunter and Gerry Negley, who were
22 the CEO and CTO of the company. I liked the idea of what
23 they were trying to build and what they were working on.

24 So I was able to set up an interview with them,
25 and got hired for the job.

1 Q. When did you join LLF?

2 A. It was in August of 2006.

3 Q. Would you tell us about your responsibilities at
4 LLF.

5 A. Yeah. I was a product engineer there. You
6 know, being a startup, you had to wear a lot of hats, but
7 mainly, I spent a lot of time helping to develop the -- the
8 LR6 product. That was the first product that we had sold
9 to market. But also on some other projects, including one
10 where we were trying to achieve a very high wall plug
11 efficiency lamp.

12 Q. Are you familiar with the term "wall plug
13 efficiency"?

14 A. Yes, I am.

15 Q. What's your understanding of that term?

16 A. The wall plug efficiency is the light output
17 compared to the -- of a lighting device compared to the
18 total power used by the unit as it is plugged into a
19 standard wall plug socket.

20 Q. Did you measure wall plug efficiency in the
21 course of your work at LLF?

22 A. Yes, I did.

23 Q. Why did you use the wall plug efficiency as a
24 measure?

25 A. It was really -- it was really industry standard

1 of a lighting device, and it tells you the true -- you
2 know, the true power output that you would get when
3 converting the AC electricity into the -- AC voltage into
4 the useable power for a lighting device.

5 Q. You said you joined LLF in August of 2006.

6 Do you recall where LLF was in terms of wall
7 plug efficiency when you joined?

8 A. I don't specifically recall, but I know it was
9 starting to approach 100 lumens per watt.

10 Q. Were there any specific goals or milestones that
11 you were trying to achieve at LLF?

12 A. Yes. When I started working on the -- with
13 them, we wanted to achieve 100 lumens per watt at a
14 minimum. You know, any -- of course, the target. You
15 know, anything over that is -- would just be even better.

16 Q. What's the significance of 100 lumens per watt?

17 A. At that point in time, 100 lumens per watt had
18 never been achieved or demonstrated at the -- for a wall
19 plug efficiency of these -- of the -- of a color point that
20 was on the black-body curve, and doing so would provide
21 a -- a lot of credibility to the company as we were trying
22 to break into the market.

23 Q. Did you achieve that goal at LLF?

24 A. Yes, we did.

25 Q. Okay. Let's talk more about your work at LLF.

1 At a high level, could you describe the kind of
2 approach that you took to develop a lighting device?

3 A. Yeah, we would look at the -- at an entire
4 system. So the system would involve the optics, the
5 mechanical structure, electrical -- you know, electrical
6 components and thermal management for heat generated by the
7 device.

8 So all of those, you know, in conjunction with
9 the LEDs had to be considered.

10 Q. Did your work involve collecting and looking at
11 data?

12 A. Yes, it did.

13 Q. Could you give an example of how you did so?

14 A. Yeah. There was a tool we used called an
15 integrating sphere. That would measure light output, the
16 brightness of the light, the color of the light, quality of
17 the light.

18 And so you take a measurement on that, and then
19 measure the power of the lamp based on a power meter, and
20 wall plug efficiency could be calculated with that.

21 Q. Thank you.

22 Now, you mentioned various efficiencies of
23 components associated with the lighting device. I'd like
24 to ask you about those.

25 Is there any specific technique that you use to

1 address the efficiency of optical components?

2 A. Yeah. The -- we used an LED array called the --
3 called BSY plus red. There were two different color LEDs,
4 a red LED component, and then a -- the BSY, which was a
5 blue-shifted yellow.

6 So it was a blue LED with a YAG phosphor to make
7 a yellowish blue light, and those two colors in combination
8 created a white light that would mimic an incandescent
9 lamp.

10 Q. Why did you use the BSY+R approach?

11 A. The BSY plus red allowed for a higher lumens per
12 watt than other methods at the time.

13 Q. You also mentioned electrical efficiency.

14 Would you tell us more about that?

15 A. Yes. So the -- that was an important component
16 in getting a high wall plug efficiency. There were a --
17 there's a -- an architecture for the power supply that,
18 when run at a certain -- runs at a peak efficiency at a
19 certain voltage, DC voltage output of that architecture.

20 So it was really important to have an LED array
21 that provided that voltage to maximize the efficiency of
22 the power supply.

23 Q. You also mentioned thermal performance.

24 Could you tell us about what you did to address
25 the thermal performance?

1 A. Yeah. There were a couple of things. You know,
2 we looked at a lot of -- and evaluated a lot of different
3 materials, and heat -- the mechanical heat sink shape to be
4 able to efficiently move excess heat out of system.

5 Along with that, the LEDs were also spread out
6 in a manner that would not create a high spot due to
7 wasted -- or, you know, heat generated by the LEDs
8 themselves, and that helped keep the system very thermally
9 efficient.

10 Q. Thank you.

11 I'd like to show you a document, JPX-70, and if
12 you go to the second tab, which is named "Predictor."

13 Do you recognize the document shown as JPX-70?

14 A. Yes, I do.

15 Q. Would you tell us what it is?

16 A. It is a -- it is a Predictor that would take
17 different inputs of an -- of a lighting device. This one
18 is looking at LEDs, and would predict the light output
19 color, lumen output, wall plug efficiency.

20 Q. What kinds of input could you provide to this
21 spreadsheet to predict the performance of a lighting
22 device?

23 A. You could look at different LEDs with the color
24 of the LEDs, the lumen output of LEDs at current levels.
25 You could also look at number of LEDs that you would have

1 in this system.

2 And additionally, you would also look at what
3 the -- what the -- thermal efficiencies, power supply
4 efficiencies, and the physical optics itself. Any losses
5 involved with those could be inputted into the -- into
6 there to give you a fairly accurate prediction.

7 Q. Earlier you mentioned that you took the approach
8 of looking at the system as a whole.

9 Does the Predictor relate in any way to the
10 approach that you explained earlier?

11 A. Yes. It was a really good tool for being able
12 to see where the biggest room for improvement could be
13 achieved in a system. So really, it helped us concentrate
14 efforts onto, you know, certain aspects of the system
15 that -- to help provide the biggest bang for the buck.

16 Q. Is there a particular wall plug efficiency that
17 was predicted by this model?

18 A. This one is 114 lumens per watt.

19 Q. That's at column J, line 26?

20 A. Yes. Correct.

21 Q. Now, the name of the first tab of this document
22 says "BSG Specials 071101."

23 Do you recall what that indicates?

24 A. Well, BSGs were a synonym for BSY that we used
25 back then, and the 071101 would be looking at the year

1 2007, November 1st, for the 1101.

2 Q. Was there a prototype that was developed in
3 accordance with this model?

4 A. Yes, there was. We called it the LRP38.

5 Q. Were you able to verify the performance of
6 LRP38?

7 A. Yes, I was.

8 Q. Let's look at another document, JX-45.

9 Mr. Erwine, do you recognize what JX-45 is?

10 A. Yes, it is a report from NIST that was -- that
11 had measured the LRP38 for us as a third party.

12 Q. You mentioned NIST. What is NIST?

13 A. NIST is the National Institute of Standards and
14 Technology. They're a government agency that would measure
15 lighting devices.

16 Q. Why did you have NIST perform the testing?

17 A. They really are like the gold standard for
18 third-party verification, you know, in the industry. So it
19 just made sense to use them.

20 Q. When did NIST perform this testing, if you
21 recall?

22 A. It was in early November 2007.

23 Q. Now, were you present at the NIST test?

24 A. I was -- I delivered the lamp there. I was
25 present at the facility while it was tested, but I was not

1 allowed in the room while the test was conducted.

2 Q. Did you or anyone at LLF provide any testing
3 protocols to NIST?

4 A. No. The only thing I had given them was the
5 input AC voltage needed to run the LRP38.

6 Q. Do you know if NIST has its own testing
7 protocols?

8 A. Yes, they do.

9 Q. Let's take a look at the report.

10 In Section 2 shown on the screen, in the
11 paragraph starting with, "The LED lamp was calibrated," do
12 you see a reference to an integrating sphere?

13 A. Yes.

14 Q. Do you know what that is?

15 A. Yeah. Similar to the tool that we had at our
16 facility, it was -- it's just a tool used to measure the
17 light output of a -- of a lighting fixture.

18 Q. Please turn to page 2 of the report. In the
19 first full paragraph, direct your attention the second
20 sentence. It says, "Readings were taken after the LED lamp
21 had stabilize."

22 Do you see that?

23 A. Correct. Yes, I see it.

24 Q. Did LLF provide any input as to how to stabilize
25 the device?

1 A. None whatsoever.

2 Q. Based on what's stated in the report, is it your
3 understanding that NIST stabilized the device before taking
4 the measurements?

5 A. Yes, they did.

6 Q. Please turn to the last page of the document,
7 which is JX-45.5.

8 Do you recognize the device shown there?

9 A. Yeah. That's the LRP38 lamp that was tested at
10 NIST.

11 Q. Now, please turn to Table 1 on page 2 of the
12 report, it's JX-45.2.

13 What is shown here?

14 A. That is the results of the testing that NIST
15 performed on the LRP38, and it's showing 113.5 lumens per
16 watt.

17 Q. What was your reaction to the NIST test result?

18 A. I was not surprised. It was what I expected.
19 We had had similar readings in our own integrating sphere,
20 so it was nice to see that it validated the work we were
21 doing.

22 Q. Do you recall how the folks at NIST reacted to
23 the test results?

24 A. Yeah. They were -- they were definitely pretty
25 surprised and very impressed. You know, in fact, when I

1 had first got there, and they had asked me what I expected
2 the results to be, they sort of laughed at me. And they
3 were like, nobody has -- nobody can do that.

4 When they saw it, it was -- I don't know, they
5 were definitely impressed by it.

6 Q. Thank you.

7 JUDGE CHENEY: Is this a good place for to us
8 stop for lunch, Mr. Jang?

9 MR. JANG: It is, Your Honor.

10 JUDGE CHENEY: Okay.

11 We'll take one hour. I'll see you at 1:30.

12 We're off the record.

13 MR. JANG: Thank you.

14 (Whereupon, the lunch recess was taken,
15 12:33 p.m. - 1:30 p.m.)

16

17

18

19

20

21

22

23

24

25

1 MR. JANG: That's right, Your Honor.

2 JUDGE CHENEY: Okay. I just wanted to make sure
3 the record is clear on that.

4 Sorry to interrupt. Please go ahead.

5 THE WITNESS: Okay. What was the question
6 again? I'm sorry.

7 BY MR. JANG:

8 Q. When was the filing of this '531 Patent relative
9 to the date of testing at NIST?

10 A. Yeah. That was shortly after -- roughly a
11 couple of weeks afterwards.

12 Q. Please turn to Figure 1 of the '531 Patent,
13 which is on JX-1.4.

14 Would you please tell us what is depicted here?

15 A. Yeah. That's a cross-section of the LRP38 lamp.

16 Q. Would you tell us about some of components that
17 you see on this figure?

18 A. Sure.

19 So some of the main things to note are numbers
20 30 and 32 are representing LEDs that would -- different
21 LEDs within the system.

22 Number 34 would be the power supply that would
23 convert the AC voltage to DC voltage.

24 And, you know, really the rest of it is, you
25 know, pretty much a bunch of the mechanical makeup of

1 the -- of the lamps.

2 Q. Let's now turn to column 21, line 64, through
3 column 22, line 7, of the '531 Patent.

4 Would you please describe what's shown here?

5 A. Yes. Those are the results from the NIST test
6 on the LRP38.

7 Q. What's the wall plug efficiency that's shown
8 there?

9 A. 113.5 lumens per watt.

10 Q. Let's now turn to the paragraph that follows, in
11 column 22, lines 8 through 14.

12 Would you please take a look at paragraph and
13 tell us what is described there?

14 A. That is describing the efficiency of the optical
15 chamber and the power supply.

16 Q. Now, shifting gears, is LLF an ongoing business?

17 A. No, it is not.

18 Q. What happened to LLF?

19 A. LLF was purchased by Cree in early 2008.

20 Q. Do you know for how much LLF was purchased?

21 A. Yeah. It was \$77 million up front at the
22 beginning, and then another 26.4 over the next three years,
23 \$26.4 million.

24 Q. Do you know what Cree received as part of that
25 acquisition?

1 A. Yeah. Cree received all of assets of LLF, and
2 all of the intellectual property of LLF.

3 Q. What happened to LLF in terms of its
4 organization within Cree after the acquisition?

5 A. LLF became the -- a lighting division within
6 Cree. They did not have -- Cree did not have a lighting
7 division at that time, so most people's -- if not all,
8 people's role at LLF remained the same as a part of Cree.

9 Q. Did you remain at Cree as part of that
10 acquisition?

11 A. Yes, I did.

12 Q. Are you still with Cree?

13 A. No. I left in March of 2016.

14 Q. Why did you leave Cree?

15 A. I started a new -- started a new business,
16 materials development business, with a couple other people.

17 Q. Do you have an affiliation with Cree Lighting,
18 the Complainant in this investigation?

19 A. No, I do not.

20 Q. What led you to testify here today?

21 A. Really, just it's -- I was proud of the work
22 that we did on this patent, and wanted to defend it.

23 MR. JANG: Thank you, Mr. Edmond.

24 No further questions.

25 JUDGE CHENEY: Is there cross-examination for

1 this witness?

2 MR. ROUSH: Yes, Your Honor.

3 JUDGE CHENEY: Please proceed when you are
4 ready, Mr. Roush.

5 CROSS-EXAMINATION

6 BY MR. ROUSH:

7 Q. Good afternoon, Mr. Edmond. My name is Brad
8 Roush. It's good to see you again.

9 A. Yep. Good to see you again, Brad. I recognize
10 you.

11 Q. Can you turn back to JX-001?

12 A. Yes. Sure.

13 Q. If you turn to Claim 1, Claim 1 recites at least
14 one solid-state light emitter; correct?

15 A. Correct.

16 Q. An LED is one type of solid-state light emitter;
17 correct?

18 A. Yes.

19 Q. There are other types of solid-state light
20 emitters; is that also correct?

21 A. That is correct.

22 Q. For example, a laser diode is another type of
23 solid-state light emitter; correct?

24 A. Yes, correct.

25 Q. While at LLF, do you recall ever working with

1 laser diodes?

2 A. I did not work with laser diodes.

3 Q. Are there other types of LED -- strike that.

4 LEDs can be inorganic or organic; correct?

5 A. Correct.

6 Q. For example, the NIST prototype we have been

7 discussing here, that used inorganic LEDs; correct?

8 A. Correct.

9 Q. While at LLF, did you ever build a prototype
10 that used an organic LED?

11 A. I tested some organic LEDs to look at their
12 performance. I don't recall -- don't believe that I had
13 built any prototypes with them.

14 Q. Do you recall ever building a prototype with a
15 solid-state light emitter other than an inorganic LED while
16 at LLF?

17 A. No.

18 Q. You don't recall ever having built a product
19 that used anything other than an inorganic LED while at
20 LLF; correct?

21 A. Correct.

22 Q. Now, can you turn to in the patent, I believe
23 it's the bottom of column 21.

24 Earlier you were testifying about the electrical
25 efficiency of the NIST prototype; is that right?

1 A. Yes.

2 Q. You mentioned using a particular driver;
3 correct?

4 A. Correct.

5 Q. Was that -- if you turn to column 21, is that
6 starting at line 53 going to Paragraph -- line -- or going
7 to line 63.

8 LLF did not build this driver; correct?

9 A. Yeah. I don't recall.

10 Q. If you see a line -- beginning at line 60, it
11 says, "The HV9910B is a universal high-brightness LED
12 driver from Supertex, Inc., from Sunnyvale, California."

13 Do you see that?

14 A. Yes, I do.

15 Q. So that would have been an LED driver that LLF
16 purchased; correct?

17 A. Yeah, that would be correct.

18 Q. You believe in your previous -- or in your
19 testimony here today, you mentioned working on a project
20 where the goal was building a 100 lumen per watt prototype;
21 is that correct?

22 A. Correct.

23 Q. The first LLF lighting device that had a wall
24 plug efficiency of at least 100 lumens per watt was the
25 NIST prototype; is that correct?

1 A. That is correct.

2 Q. As of early 2007, did you not know whether or
3 not you could build a 100 lumen per watt lighting device;
4 correct?

5 A. I would -- we hadn't been able to up and to that
6 point, but we were moving in that direction, and it was --
7 it was the goal to hit that number or exceed it.

8 Q. It was a goal, but you didn't know how to get
9 there yet; correct?

10 A. Well, we knew as far as the system what -- what
11 parts of the system that we needed to work on to improve
12 to -- to be able to get there.

13 Q. It wasn't until November of 2007, LLF measured a
14 lighting device with a wall plug efficiency higher than 100
15 lumens per watt; correct?

16 A. Correct.

17 Q. Can you pull up RX-0658.

18 This is the press release for the November 2007
19 NIST prototype; is that correct?

20 A. Yes. That's correct.

21 Q. So LLF described this as shattering the world
22 record for efficiency; is that correct?

23 A. That's what I read up there, yes.

24 Q. So as of November 28, 2007, the NIST prototype
25 was the highest wall plug efficiency that LLF was able to

1 achieve; is that correct?

2 A. That is correct.

3 Q. Do you recall whether you tested the prototype
4 tested at NIST in November of 2007 internally prior to
5 bringing it to NIST?

6 A. Yes. It would have been tested internally.

7 Q. Were those internal measurements higher or lower
8 than what NIST tested?

9 A. It was -- we had measured a little bit lower
10 than the NIST number.

11 Q. Do you recall by how much?

12 A. It was -- it was about -- around 2 percent
13 lower.

14 MR. ROUSH: No further questions.

15 JUDGE CHENEY: Okay. I don't think I have any
16 questions for you either, Mr. Edmond.

17 Is there any redirect for this witness?

18 MR. JANG: No, Your Honor.

19 JUDGE CHENEY: Okay. Thank you for coming in,
20 Mr. Edmond. Your testimony was very helpful to me in
21 understanding the case.

22 You are excused.

23 THE WITNESS: All right. Thank you.

24 JUDGE CHENEY: Will Cree call its next witness.

25 MR. ERWINE: Yes, Your Honor. Cree will be

1 calling Dr. Christian Wetzel to testify, and, Your Honor,
2 my colleague, Mr. Matthew Robson will conduct the
3 examination.

4 JUDGE CHENEY: Okay. Welcome to the podium,
5 Mr. Robson.

6 Can you see and hear me?

7 MR. ROBSON: Good afternoon, Your Honor. I can.

8 Can you hear me well?

9 JUDGE CHENEY: I can.

10 MR. ROBSON: Great.

11 JUDGE CHENEY: Dr. Wetzel, can you see and hear
12 me?

13 THE WITNESS: Yes. Good afternoon.

14 JUDGE CHENEY: I will administer the oath, if
15 you would please raise your right hand.

16 CHRISTIAN M. WETZEL,

17 a witness, having been first duly sworn, was examined and
18 testified as follows:

19 JUDGE CHENEY: Thank you.

20 Please proceed, Counsel.

21 DIRECT EXAMINATION

22 BY MR. ROBSON:

23 Q. Good afternoon, Dr. Wetzel. Could you please
24 start by stating your name for the record.

25 A. Dr. Christian Wetzel.

1 Q. Are you employed, Dr. Wetzel?

2 A. Yes, I'm a professor at Rensselaer Polytechnic
3 Institute in Troy, New York, in the department of physics,
4 applied physics and astronomy.

5 Q. What is your educational background, Dr. Wetzel?

6 A. I have an equivalent of a bachelor of science in
7 technical physics from the Technical University of Munich
8 from 1984. I have the equivalent of a master's degree from
9 the same place in 1988, and a PhD in physics also from the
10 Technical University of Munich in Germany from 1993.

11 Q. Can you please tell us what your
12 responsibilities are as a professor at RPI?

13 A. Yeah. I primarily teach physics to engineering
14 and science students. I also educate graduate students
15 from material science, electrical engineering, and physics,
16 and I do research, and I publish about that.

17 Q. You mentioned your research.

18 Could you briefly describe what your research is
19 directed to?

20 A. My topic is primarily about the opportunities of
21 white --

22 (Clarification requested by the Court Reporter.)

23 JUDGE CHENEY: Let's go off the record and see
24 if we can fix this for just a moment.

25 (Off the record.)

1 JUDGE CHENEY: Let's go back on the record. I
2 will read back the last question that was posed to
3 Dr. Wetzel, and if you will answer it again, please, sir.

4 You mentioned your research. Could you briefly
5 describe what your research is directed to?

6 A. Yes. I am mostly interested in opportunities of
7 wide-band-gap semiconductors, in particular group 3
8 nitrides and their opportunity to emit light for LEDs,
9 laser diodes, and similar applications.

10 I'm also engaged in the lighting-enabled
11 applications and systems and applications research center
12 at RPI that is concerned about how to put them to the
13 benefit of future lighting technologies.

14 Q. Dr. Wetzel, do you have any experience designing
15 and developing LED-based lighting device?

16 A. Yes. My research concerns for once, the
17 characterization of the materials, but also the materials'
18 deposition and epitaxial processes, but also the
19 fabrication to LED devices and lamps. And I've also been
20 involved in the Department of Energy's solid-state lighting
21 program where all aspects are discussed about how to put
22 them into luminaires.

23 Q. Dr. Wetzel, are you being compensated for your
24 work on this investigation?

25 A. Yes, at my customary hourly rate.

1 Q. Is your compensation tied at all to the outcome
2 of this investigation?

3 A. No.

4 Q. Okay. Now, Dr. Wetzel, did you prepare some
5 slides to assist with your testimony today?

6 A. Yes, in coordination with counsel, I had some
7 slides prepared.

8 Q. Dr. Wetzel, do you see on the screen CDX-1C, and
9 I wanted to ask you if you recognize these as the slides
10 that you prepared?

11 A. Yes, they are.

12 Q. Okay. Could we -- excuse me. I'm going to turn
13 to the next slide. On this slide, we have excerpted the
14 cover page of JX-18.

15 Is this your CV, Dr. Wetzel?

16 A. Yes, it is.

17 Q. Does your CV accurately reflect your educational
18 background and work experience, to the best of your
19 knowledge?

20 A. Yes, it does.

21 Q. Okay.

22 MR. ROBSON: Your Honor, pursuant to the
23 parties' April 21, 2021 stipulation, I proffer
24 Dr. Christian Wetzel as a technical expert with respect to
25 LEDs and lighting devices in this investigation.

1 JUDGE CHENEY: As there is a stipulation and no
2 objection, Dr. Wetzel will be accepted as an expert in the
3 fields tendered.

4 MR. ROBSON: Thank you.

5 BY MR. ROBSON:

6 Q. Dr. Wetzel, do you have an understanding of the
7 topics that you are here to testify about today?

8 A. Yes. It's about the priority dates of the '819
9 and the '531 Patents, but also secondary factors of
10 non-obviousness.

11 Later, I will be talking about -- coming back
12 and talk about the validity of those patents.

13 Q. Dr. Wetzel, have you previously offered opinions
14 in this investigation concerning infringement and technical
15 domestic industry?

16 A. Yes.

17 Q. Do you understand that the parties have reached
18 a stipulation concerning infringement and technical
19 domestic industry?

20 A. Yes.

21 Q. Now, have you testified in an ITC investigation
22 before?

23 A. Yes, and in one of them, I was a technical
24 expert for Cree Incorporated. This was the '947
25 Investigation in which also one of those patents, the '819

1 Patent was at issue.

2 There I shared my opinions on terms of validity
3 and infringement.

4 Q. All right. I'm going to turn to the next slide
5 of your presentation, slide 3.

6 Dr. Wetzel, here on slide 3, you have an excerpt
7 of JX-2.

8 What is JX-2?

9 A. That is the '819 Patent, and it was filed May
10 30, 2007.

11 Q. Can you provide an overview of what the subject
12 matter of the '819 Patent is generally?

13 A. Yes. The inventors of the '819 Patent came to
14 look at creating LED-based lighting fixtures, or
15 luminaires, using LED components.

16 In particular, they were achieving those with
17 unprecedented wall plug efficiency.

18 Q. Okay. You mentioned LED-based lighting devices.
19 What other kinds of lighting devices were known at the time
20 of the invention of the '819 Patent?

21 A. Typical luminaires would include either
22 incandescent light bulbs or fluorescent bulbs.

23 Q. What problems, if any, were there with the prior
24 art incandescent and fluorescent bulbs that you mentioned?

25 A. The incandescent bulbs were very low in their

1 wall plug efficiency, and had a rather short lifetime of
2 operation.

3 The fluorescent bulbs had somewhat higher
4 efficiency, but still suffered from very poor color
5 rendering.

6 Q. Okay. If we could turn to the next slide, slide
7 4.

8 Now, Dr. Wetzel, you mentioned the term "wall
9 plug efficiency." Do you understand that the Court has
10 construed the term "wall plug efficiency"?

11 A. Yes. The Court has construed the term "wall
12 plug efficiency" in this -- for these purposes to mean
13 "brightness of light emitted by a lighting device, as
14 measured relative to outlet energy, the power input to the
15 lighting device in lumens per watt."

16 Q. Okay. For purposes of your opinions in this
17 investigation, did you apply the Court's construction of
18 the term "wall plug efficiency"?

19 A. Yes, I did.

20 Q. Okay. Let's turn to the next slide, which is
21 slide 5.

22 Could you please tell us what you have listed
23 here on slide 5?

24 A. Here is a list of further agreed terms as
25 constructed.

1 Q. Did you use these constructions for each of the
2 terms listed for purposes of your opinions in this
3 investigation?

4 A. Yes, I did.

5 Q. Turn to the next slide, slide 6.

6 Do you recognize what is shown here on slide 6
7 as JX-1?

8 A. Yes. This is the '531 Patent, and it was filed
9 November 25, 2008.

10 Q. Can you provide a general overview of the '531
11 Patent subject matter?

12 A. Yes. Similar to '819 Patent, it addressed
13 LED-based lighting devices, and luminaires. And
14 particularly here, the inventors achieved even higher wall
15 plug efficiencies.

16 Q. Okay. Turn to the next slide, slide 7.

17 Dr. Wetzel, do you have an understanding of what
18 the asserted claims of the '819 and '531 Patents are in
19 this investigation?

20 A. Yes.

21 Q. Okay -- sorry, go ahead, Dr. Wetzel.

22 A. The claims asserted for the '819 Patent are as
23 follows: Claims 1, 24 through 27, 29, 48 through 50, 52,
24 57 through 59, 60, and 65 through 67.

25 Q. And the '531 claims?

1 A. The '531 asserted claims are Claims 1, 10, 11,
2 12, 25 and 26.

3 Q. Okay. Let's turn to the next slide, slide 8.

4 Dr. Wetzel, what do you have depicted here on
5 slide 8?

6 A. This is a graphical representation of the RAB
7 accused product in -- on an axis that lists the reported
8 wall plug efficiency.

9 So obviously, the products range from about 60
10 lumens per watt all the way up to 173.7 lumens per watt.

11 Q. Okay. Let's turn to the next slide.

12 Here on slide 9, do you see, Dr. Wetzel, under
13 "Related US Application Data" for the '819 Patent excerpted
14 here, it refers to a provisional application?

15 A. Yes. Here, it indicates that the '819 Patent
16 refers back to the provisional filing, the '618 filing,
17 which was filed on May 31, 2006.

18 Q. Okay. Turning to the next slide, slide 10.

19 What is shown here, Dr. Wetzel, as JX-80?

20 A. Yes. That's the very -- original filing, the
21 '618 filing I just mentioned.

22 Q. Okay. Let's take a look at the disclosures in
23 the '618 provisional.

24 Turning to the next slide, slide 11, can you
25 please explain what's shown here in this excerpt from

1 JX-80, pages 7 and 8?

2 A. Yes. On the left-hand side, you have the --
3 what is known as the CIE chromaticity diagram. On the
4 right, it provides the background of how to use and its
5 meaning. In particular, how visual -- human vision
6 perceives color, and how it relates to properties such as
7 correlated color temperature.

8 Q. Okay. In the 2006 time frame, would persons of
9 ordinary skill in the art have known what a desired color
10 temperature range is for a given lighting application?

11 A. Yes. The color temperatures are identified as
12 part of that diagram, and POSITA would have been
13 knowledgeable on how to use it.

14 Q. Okay. You mentioned a PHOSITA, is that short
15 for a person having ordinary skill in the art?

16 A. Yes.

17 Q. Okay. I'll use that term as well, and can we
18 have an understanding that I am referring to person of
19 ordinary skill in the art?

20 A. Yes.

21 Q. Okay. Now, in 2006, would a PHOSITA have been
22 able to choose an appropriate LED based on the disclosures
23 of the '618 provisional to obtain a desired color
24 temperature?

25 A. Yes. By that time, definitely, a POSITA would

1 have known what kind of LEDs to choose to achieve any
2 desired color within the gamut of that diagram.

3 Q. Turning to your next slide, Dr. Wetzel, slide
4 12.

5 What is shown here in this excerpt from JX-80,
6 pages 17 to 18?

7 A. Here, the '618 provisional speaks about LEDs and
8 the power supply as part of the lighting device. In
9 particular, it speaks about how the dies, the LEDs are
10 connected to the power lines, depending on the number and
11 the voltage of the AC line. It speaks about what type of
12 LEDs to be used, and suitable numbers, including electrical
13 properties of those.

14 Q. Dr. Wetzel, in 2006, in light of the disclosures
15 that we've gone over, would a PHOSITA have been
16 knowledgeable about the different types of LEDs that could
17 be used in connection with this invention?

18 A. Yes, clearly, a POSITA, at that time, would have
19 been knowledgeable about which LEDs to use for such an
20 application.

21 Q. If we could turn to the next slide, slide 13.

22 Dr. Wetzel, can you please explain what you've
23 excerpted here from JX-80, pages 18 through 19, about
24 mixing LEDs with phosphors?

25 A. Here is further details provided about how the

1 LEDs interact with a selection of phosphors, how they're
2 arranged physically and the different types of phosphors
3 that could be envisioned.

4 Q. Okay. Moving to the next slide, slide 14.

5 What do you have excerpted here, Dr. Wetzel,
6 from the '618 provisional?

7 A. Here, additional information is provided about
8 the choice of a power supply to operate the LEDs. The
9 POSITA knows that they are driven -- need to be provided
10 with direct current at a low voltage, and the power supply
11 would have to fulfill the requirements to interface to the
12 low pitch provided through a wall outlet.

13 Q. Dr. Wetzel, in light of the disclosures of the
14 provisional application and the background of a PHOSITA,
15 would they have been, excuse me, knowledgeable about the
16 different types of power supplies that could be used in
17 connection with the invention that is described here?

18 A. Yes. Given all the technical requirements of
19 the LEDs and the interface to the wall plug, a PHOSITA
20 would have definitely been able to select and decide upon a
21 power supply.

22 Q. Can you turn to the next slide, slide 15?

23 You have excerpted a portion of the provisional,
24 JX-80, pages 21 through 22. What is described in this
25 portion of the provisional, Dr. Wetzel?

1 A. Here, in specific, the provisional goes into
2 details about managing the thermal requirements of the
3 lighting device. It speaks about cooling devices. It
4 considers fans of different types and cooling mechanisms.

5 Q. Okay. Let me go on to your next slide, slide
6 16.

7 What is shown here on slide 16 with respect to
8 the '618 provisional disclosures?

9 A. Here is provided a schematic outline in Figure
10 4, plus it's textual description as a first embodiment as
11 employed for a lighting device.

12 It speaks about the individual elements in
13 there, such as heat spreading element, 11; insulating
14 regions, 12; highly reflective surface, 13; conductive
15 traces, 14; lead frames, 15; reflective cone, 17; diffusing
16 element, 18.

17 It also speaks about the materials and how they
18 are going to be formed.

19 In one aspect, it also speaks about the MCPET
20 that highly reflective diffuse light scattering surface
21 that forms the optical chamber.

22 Q. Turning to the next slide, Dr. Wetzel, slide 17,
23 can you please explain what additional disclosure about
24 what you've called the first embodiment is shown here in
25 this excerpt from JX-80 at page 23?

1 A. Yes. Here, provided is additionally how the
2 individual LEDs are distributed over various strings of
3 LEDs, and it is giving their type, their color, their
4 number, how many of those.

5 But also it's specific about what voltage drop
6 to expect across each of those LEDs, and at what current
7 they are to be operated.

8 So it also -- this disclosure, therefore,
9 provides, for example, the power requirements of the
10 electronic components.

11 Q. Okay. Now, Dr. Wetzel, in your opinion, in
12 light of the disclosures we just went over, would a PHOSITA
13 in 2006 have been able to create the first embodiment
14 described here with a wall plug efficiency of at least 60
15 lumens per watt in your opinion?

16 A. Yes. Given the detailed and ample description
17 of all the components and their interplay, a PHOSITA, at
18 the time of the filing, definitely would have been able to
19 practice the invention and obtain the results without any
20 undue experimentation.

21 Q. Dr. Wetzel, what is your opinion as to whether
22 the asserted claims of the '819 Patent are entitled to the
23 filing date of the '618 provisional?

24 A. Yes. All of the asserted claims are entitled to
25 at least the filing date of the provisional.

1 Q. More specifically, do you have an opinion as to
2 whether the '618 provisional would have demonstrated to a
3 PHOSITA that the inventors possessed the inventions claimed
4 in the asserted claims of the '819 Patent?

5 A. Yes. Based on the very detailed information
6 included in this provisional application, it was -- is very
7 obvious that, indeed, the inventors also possessed the
8 device that performed at that level.

9 Q. Turning to enablement, do you have an opinion as
10 to whether the '618 Patent would have enabled a PHOSITA to
11 make and use the inventions claimed in the '819 claims
12 without undue experimentation?

13 A. Yes, again, based on the detail and level of the
14 description provided in this provisional, the '819 Patent
15 would have enabled a PHOSITA to practice the inventions
16 without any undue experimentation.

17 Q. Okay. Let's turn now to the '531 Patent.

18 Dr. Wetzel, can you explain what's depicted here
19 in the related US application data of the '531 Patent?

20 A. Yes. This is evidence that the '531 Patent
21 refers to provisional applications '439 and '435, both
22 filed November 27, 2007.

23 It also indicates that it is a continuation, in
24 part, of an application '153 that was filed on May 30,
25 2007.

1 Q. Okay. The '153 application you just mentioned,
2 do you understand that that issued as the '819 Patent?

3 A. Yes.

4 Q. Okay. Let's turn to slide 19.

5 Dr. Wetzel, what is shown here as JX-81 on slide
6 19?

7 A. Yes. This is one of the provisional
8 applications I had just mentioned, the '435, and it was
9 filed November 27, 2007.

10 Q. Let's turn to the next slide, slide 20.

11 What is shown here, Dr. Wetzel, on slide 20,
12 JX -- which is an excerpt of JX-81, page 1 -- or 10, excuse
13 me?

14 A. Yes. This is the first page of a slide deck
15 that was included in the '435 provisional. It is a slide
16 deck presentation given by LLF, and it names the authors
17 Antony Van de Ven and Gerry Negley.

18 It is dated November 28, 2007. I'm not so sure
19 about that. I think it's 2007, yes.

20 Q. All right. Well, we can -- let's turn to the
21 next slide. Maybe we can confirm the date there.

22 Dr. Wetzel, what's shown here on JX-72 on slide
23 21?

24 A. Yes. This is a more legible version of the same
25 slide deck of the -- that was included in the '435

1 provisional. Here the date is legible to be November 28,
2 2007.

3 Q. Thank you.

4 Let's turn in this presentation to the next
5 slide. Here on slide 22 of your presentation, you have an
6 excerpt from JX-72, at page 4.

7 What is being shown on this portion of the LLF
8 presentation?

9 A. Yeah. Here the authors present their latest
10 result, a lighting device prototype lamp that was measured
11 by an independent testing lab, NIST, and it quotes the
12 performance at a value of 113.5 lumens per watt for the
13 wall plug efficiency.

14 Q. Okay. You mentioned NIST. What is NIST?

15 A. NIST is the National Institute of Standards and
16 Technology. It's a US Federal Government agency that
17 provides and maintains standards, and also provides
18 measurements for industry in all of their calibration
19 needs.

20 Q. Okay. I'd like to turn to the next slide, slide
21 23.

22 What is shown here, Dr. Wetzel, as JX-82?

23 A. This is the other provisional, the '439
24 provisional, filed on the same day, November 27, 2007.

25 Q. Can you explain generally what the subject

1 matter disclosed in the '439 provisional is?

2 A. This provisional describes the prototype as it
3 was tested by NIST, and it also includes the test results.
4 There is imagery and descriptions of the physical
5 appearance of that device.

6 Q. Okay. Turn to your next slide, slide 24. You
7 have an excerpt from JX-82 at page 12.

8 What is shown in this portion of the '439
9 provisional?

10 A. In this portion, the provisional quotes the NIST
11 test results, and specifically it identifies the luminous
12 efficacy, the wall plug efficiency, as a value of 113.5
13 lumens per watt.

14 Q. Okay. Turning to your next slide here.
15 Dr. Wetzel, what is your opinion as to whether the asserted
16 claims of the '531 Patent are entitled to the filing dates
17 of the '435 and '439 provisional applications?

18 A. Based on the detailed description, including
19 test results from third-party measurement labs, it is
20 evident that, indeed, all asserted claims of the '531
21 Patent at least can claim their priority to the provisional
22 applications '439 and '435 of November 27, 2007.

23 They are entitled to them, at least.

24 Q. Okay.

25 Specifically, Dr. Wetzel, do you have an opinion

1 as to whether the '435 and '439 provisionals that would
2 demonstrate to a POSITA that the inventors possessed the
3 inventions claimed in the asserted claims of the '531
4 Patent?

5 A. Again, from the detailed description of the
6 physical aspects, the teachings provided, and the
7 measurement results, it would have been obvious that a
8 POSITA would have been able to accomplish similar results.

9 Q. Okay. And what -- and changing to enablement.
10 What is your opinion as to whether the '435 and '439
11 provisionals would enable a POSITA to make and use the
12 inventions claimed in the '531 Patent asserted claims?

13 A. Again, based on the detailed description and the
14 evidence of physical examples and measurement results, it
15 is pretty evident that a POSITA would have been able to
16 practice the invention, and achieve similarly the claimed
17 results without any undue experimentation.

18 Q. Okay. Turning to the next slide, Dr. Wetzel,
19 26.

20 Earlier today, Dr. Wetzel, you testified that
21 the '531 Patent identifies the '153 application under its
22 related US application data. Do you remember that?

23 A. Yes.

24 Q. Okay. Do you have an opinion as to whether any
25 of the asserted claims of the '531 Patent are entitled to

1 the priority date of the '153 application?

2 A. Yes. Those are claims 1 and 25 of the '531
3 Patent are entitled to that priority date of the '153
4 Patent, which is May 30, 2007 -- '153 application.

5 Q. Thank you.

6 Let's turn to the claims you just mentioned.
7 Here on slide 27, you have claims 1 and 25 from the '531
8 Patent.

9 Dr. Wetzel, what are the recited wall plug
10 efficiencies of claims 1 and 25?

11 A. Those are at least 85 lumens per watt.

12 Q. What about Claim 25?

13 A. It's from about 85 to about 100 lumens per watt.

14 Q. Okay. Dr. Wetzel, do you have an opinion as to
15 whether the '153 application would enable the POSITA to
16 practice claims 1 and 25?

17 A. Yes. Again, based on the detailed description
18 of the invention and all of the technical background
19 provided in the description of the '153 filing, the POSITA
20 would have been able to practice the invention at that
21 performance level without undue experimentation.

22 Q. Dr. Wetzel, we're going to change topics a bit
23 here to secondary considerations.

24 Dr. Wetzel, did you form an opinion as to
25 whether secondary considerations of non-obviousness support

1 validity of the asserted claims of the '531 and '819
2 Patents?

3 A. Yes.

4 Q. Just generally, what is your opinion?

5 A. Yes, they do support the validity of the '819
6 and the '531 Patents.

7 Q. Let's bask through your opinion on secondary
8 considerations.

9 Dr. Wetzel, were you at the hearing when
10 Mr. Negley and Mr. Edmond testified that Cree acquired LLF
11 in 2008 for -- I think all-in the number was around \$103
12 million?

13 A. Yes.

14 Q. Okay. In light of that testimony, what is your
15 opinion as to whether the inventions of the '819 and '531
16 Patents resulted in commercial success?

17 A. It is pretty evident that the inventions as
18 reflected in those two patents contributed to the
19 commercial success and its associated high sales value.

20 MR. ROBSON: Before we go to the next slide,
21 Your Honor, I'd like to switch to the confidential record
22 as we're going to see Cree Lighting, RAB, and third-party
23 CBI.

24 Generally, what I'm going to present is
25 spreadsheets of sales information as well as license

1 agreements.

2 JUDGE CHENEY: Okay. We're going onto the
3 confidential record.

4 It sounds like if you are not signed onto the
5 protective order, you need to go to the breakout room.

6 (Whereupon, the trial proceeded in confidential
7 session.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 JUDGE CHENEY: Okay. We're now back on the
3 public record.

4 BY MR. ROBSON:

5 Q. Dr. Wetzel, did you see any evidence of
6 skepticism, unexpected results or industry recognition with
7 respect to the inventions of the '819 and '531 Patents?

8 A. Yes.

9 Q. Okay. We have here, we're now on slide 32, and
10 you've excerpted here from CX-712, at page 2, what is shown
11 in this Exhibit, Dr. Wetzel?

12 A. Yes. This is a report by the Department of
13 Energy on product testing as published in December 2006.

14 Q. What was the purpose of this report?

15 A. The purpose was to establish and get information
16 on what luminaire performance, what lighting device
17 performance was out there in the market. They assembled
18 testing products by walking into stores and purchasing
19 those from different vendors, different designs, and also
20 meant for different applications.

21 Q. Okay. Turning to the next slide, slide 32. I
22 want to direct your attention to Table 1 of the DOE 2006
23 report.

24 What's shown in this table?

25 A. This table is contained in the previously

1 mentioned report. Among other data, it shows the efficacy
2 of those luminaires, the wall plug efficiency in terms of
3 lumens per watt.

4 Q. What are the luminaire efficacies that are
5 reported in Table 1?

6 A. They either range from about 11.6 up to 19.3
7 lumens per watt.

8 Q. How do the efficacies found by the DOE in its
9 December 2006 report compare to the efficacies that the
10 inventors of the '819 and '531 Patents achieved?

11 A. It is pretty evident that those number are
12 merely a fraction of those luminaire efficacies achieved by
13 the inventors around the same time.

14 Q. Let's turn to your next slide, slide 34.

15 Dr. Wetzel, excerpted here, we have CX-56 at
16 pages 6 through 7. Can you please explain, Dr. Wetzel,
17 what CX-56 is?

18 A. This is a Department of Energy roadmap as
19 published November 2002.

20 Q. Okay. I'd like to direct your attention to the
21 passage and table that you've excerpted here from pages 6
22 through 7.

23 What does this table show?

24 A. Yes. This data lists technology targets for
25 LEDs at the component level over the time as of 2002.

1 Q. Okay. The targets here, are they directed to
2 just LED components, or efficacies of lighting devices as a
3 whole?

4 A. No, no. They're just LEDs at the component.
5 There's no mentioning with about luminaires or devices as
6 such.

7 Q. Okay. What, if anything, do these technology
8 targets that you've explained suggest to you about whether
9 the inventions of the '819 and '531 Patents achieved
10 unexpected results?

11 A. As of 2002, the technology target was estimated
12 to be at the level of 75 lumens per watt at the component
13 level for the year 2007. That's around the value that, in
14 that year, LLF and the inventors, indeed, obtained on
15 fixture level, on a luminaire level.

16 So this is a very surprising result that only a
17 few years before what was -- what was hoped for in a
18 technology target on the component level, LLF would already
19 achieve at the device level.

20 Q. Thank you, Dr. Wetzel. We can take down these
21 slides now.

22 Dr. Wetzel, as part of your opinion on secondary
23 considerations, did you form any opinion as to whether the
24 inventions of the '819 and '531 Patents satisfied any
25 long-felt but unmet need?

1 A. Yes, they do.

2 Q. How so, Dr. Wetzel?

3 A. Well, the incumbent technology was based on
4 incandescent bulbs and fluorescent bulbs. The incandescent
5 bulbs suffered from very low wall plug efficiency and low
6 short operational lifetime. The incandescent bulbs had
7 somewhat higher efficiency, but overall had a very poor
8 color rendering.

9 And the invention here really addressed all
10 those concerns with a new product that, as a whole, not as
11 a component level, would address the lighting needs for the
12 consumer, with significantly improved performance as, for
13 example, expressed in the terms of wall plug efficiency.

14 MR. ROBSON: Thank you very much, Dr. Wetzel. I
15 have no further questions for you at this time.

16 JUDGE CHENEY: Is there cross-examination for
17 Dr. Wetzel?

18 Mr. Beck, are you the cross-examining attorney.

19 MR. BECK: Yes. Sorry, Your Honor.

20 JUDGE CHENEY: Welcome to the podium. Please
21 proceed when you are ready.

22 CROSS-EXAMINATION

23 BY MR. BECK:

24 Q. Dr. Wetzel, as you recall, I'm one of the
25 attorneys from RAB Lighting. Good to see you again.

1 A. Good to see you.

2 Q. I would first like to ask you some questions
3 about your testimony regarding the priority claim to the
4 '618 provisional with respect to the '819 Patent.

5 I believe you testified that, in your view, all
6 the asserted claims of the '819 Patent are entitled to the
7 May 31, 2006, filing date of the '618 provisional; is that
8 correct?

9 A. Yes.

10 Q. Do you recall that all the asserted claims of
11 the '819 Patent recite ranges of wall plug efficiencies?

12 A. I believe so, yes.

13 Q. For example, Claim 1 recites a range of at least
14 60 lumens per watt; do you recall that?

15 A. Yes.

16 Q. Other claims recite ranges between 70; is that
17 correct?

18 A. Yes.

19 Q. Some recite 70 to 80; is that correct?

20 A. Yes.

21 Q. And some recite 80 to 85; correct?

22 A. Yes.

23 Q. Now, I think in your summary of the '618
24 provisional, you refer to a first embodiment; is that
25 correct?

1 A. Correct.

2 Q. In fact, the first embodiment is the only
3 embodiment that's provided in the '618 provisional;
4 correct?

5 A. It is one of the embodiments that is claimed as
6 an -- is -- that uses the word "embodiment."

7 Q. Right. That describes an example in reference
8 to Figures 4 to 7, I believe, is that --

9 A. Yes.

10 Q. Do you recall that?

11 A. Yes.

12 Q. You would agree that the '618 provisional, in
13 its description of the first embodiment, does not identify
14 any particular wall plug efficiency number?

15 A. It lists so, and I'm repeating myself, so
16 already in the abstract.

17 Q. I'm sorry. I didn't understand what you meant
18 by "it lists so."

19 A. It is my recollection that a lumens per watt
20 efficiency number is stated in the abstract of the patent.

21 Q. Yeah. I'm not asking you about the abstract.
22 I'm asking you about the description of the first
23 embodiment that you referred to earlier in your earlier
24 testimony today.

25 Does that description identify any wall plug

1 efficiency associated with the device described in the
2 drawings of the '618 provisional?

3 A. Under that headline, not.

4 Q. Okay. I think in connection with the -- let me
5 withdraw that.

6 I think -- you mentioned you were present for
7 the testimony of one of the inventors, Mr. Negley?

8 A. Yes.

9 Q. Do you recall that Mr. Negley submitted certain
10 test results as part of his declaration to the Patent
11 Office during the prosecution of the '819 Patent?

12 A. Yes.

13 Q. Those test results included tests performed by
14 CSA International in April of 2006?

15 A. That's my current understanding, Yes.

16 Q. Okay. So those tests were performed more than a
17 month before the filing date of the '618 provisional;
18 correct?

19 A. It was not the same month. It was -- there was
20 a month in between, yes.

21 Q. Okay. Those test results from CSA, they don't
22 appear anywhere in the '618 provisional; correct?

23 A. That is correct.

24 Q. I think the other test results were from the
25 CALiPER tests; do you recall that?

1 A. There are test results from the CALiPER tests.
2 The question is where.

3 Q. Well, what I'm -- let me clarify.

4 I'm referring to the test results Mr. Negley
5 identified to the Patent Office during prosecution.

6 A. Yes.

7 Q. Right. Those tests were run at a later time so
8 they wouldn't have been included in the '618 provisional;
9 correct?

10 A. They could have been done at a later time. I
11 only have the date of the report of those.

12 Q. Right. Do you recall that those tests were
13 conducted in the second half of 2007?

14 A. I remember only the -- the only report was dated
15 in that time frame.

16 Q. Okay. Going back to the CSA test, do you recall
17 that the highest efficacy number reported in the -- by CSA
18 was 79.79 lumens per watt?

19 A. Yes.

20 Q. Now, let's -- let me ask you some questions
21 about the priority claim of the asserted claims of the '531
22 Patent.

23 I believe you testified earlier today that
24 Cree's -- I'm sorry, that claims 1 and 25 of the '531
25 Patent are entitled to claim priority to the filing date of

1 the application that led to the '819 Patent.

2 Do you recall that?

3 A. Yes.

4 Q. And I think you may refer to that as the '153
5 application; right?

6 A. Yes.

7 Q. The '153 application was filed May 30th, 2007.

8 Do you recall that?

9 A. Yes.

10 Q. Okay. Do you recall that Claim 1 of the '531
11 patent refers to a lighting device that emits output light
12 having a wall plug efficiency of at least 85 lumens per
13 watt?

14 A. Yes.

15 Q. Claim 25 of the '531 Patent recites a lighting
16 device that emits output light having a wall plug
17 efficiency in a range of -- from about 85 to about 100
18 lumens per watt; correct?

19 A. Yes.

20 Q. I believe you testified that the '153
21 application provides both enabling support and written
22 description for those two claims; correct?

23 A. Yes.

24 Q. Now, in your opinion, as of the filing date of
25 the '819 Patent, you'd agree that there's an inherent

1 limit, in your view, as to the wall plug efficiency of 100
2 lumens per watt based on the state of the art and the
3 teachings of the '153 application?

4 A. What do you mean by "inherent limit"?

5 Q. Well, in your opinion, is there an inherent
6 limit of up to 99 lumens per watt as to the scope and
7 teachings of the '153 application?

8 A. Well, those claims are open-ended, and the
9 teachings are necessary and useful for any performance
10 above 60 lumens per watt.

11 Q. So sitting here today, do you recall testifying
12 or expressing an opinion that there's an inherent upper
13 limit in the wall plug efficiencies obtainable based on the
14 state of the art and the teachings of the '819 Patent of 99
15 lumens per watt?

16 A. The claim language does not identify an inherent
17 limit per your definition.

18 Q. Now, do you recall being deposed in this matter
19 on April 12, 2021?

20 A. Yes.

21 Q. I think I asked you some questions about this
22 topic. Do you recall that?

23 A. Yes.

24 Q. Let's look at page 102, starting at line 3 of
25 the transcript from the deposition conducted April 12,

1 2021.

2 Can you bring that up?

3 At your deposition, I asked you the following
4 question. I said, "Sure. Based on your testimony this
5 morning, it's my understanding that it's your opinion that
6 the inherent upper limit in the wall plug efficiencies
7 obtainable based on the state of the art and the teachings
8 of the '819 Patent is 99 lumens per watt; is that correct?"

9 And you initially said that was not correct.
10 And then I asked, "How so?"

11 And your answer was, "The 99 was the edge of
12 infringement claim. It was -- it was not -- on the other
13 hand, it was stated by the -- okay. Hold on."

14 I think at that point, you said, "Okay. The
15 enablement at the time of the patent was, indeed, limited
16 to below 100 lumens per watt. It is only over time when
17 better components would become available that this number
18 would lie higher."

19 Did I read that correctly?

20 A. Okay. That discussion was part of a lengthy
21 exchange --

22 Q. My first question, Dr. Wetzel, is did I read
23 that accurately for the record?

24 A. You read that accurately.

25 Q. Okay. So you had testified that it was your

1 view that the '819 Patent specification was only enabling
2 as to LED lighting devices having a wall plug efficiency of
3 up to 99 lumens per watt; correct?

4 That was your testimony?

5 A. The evidence of the performance at the time was
6 that lighting devices up to about that number seemed
7 possibly achievable, and over time as components would
8 improve, the teachings of the patent would, indeed, enable
9 a POSITA to reach even higher performance values.

10 Q. All right. So you testified, but without those
11 improvements in components that would happen later, that
12 there was an inherent limit of up to 99 lumens per watt; is
13 that correct?

14 A. I don't think that this word "inherent" is the
15 proper use of the term. There is a physics limit, of
16 course, that is a maximum that just from thermodynamic laws
17 cannot be surpassed.

18 Q. Just using an -- I'm just using inherent based
19 on your prior testimony. I think you used that term in
20 your expert report as well; isn't that correct?

21 A. The discussion there was very mix of
22 infringement and enablement analysis, which me not being a
23 lawyer kind of got commingled.

24 Q. I think you also acknowledged that the
25 components that needed to become available after the filing

1 date of the '819 Patent specification, in order to enable a
2 wall plug efficiency over 99 lumens per watt had to -- had
3 to be later developed after the actual filing date of the
4 '819 Patent; is that correct?

5 A. I don't think I limited it to such a date. We
6 would have to -- have to obtain them. As I earlier stated
7 on the issue, these may have already been in existence.
8 Maybe they were not built into any lighting devices yet.

9 The technology for that may have well been at
10 hand, undisclosed to the public, of course, or maybe, but
11 it is no need that they would have to necessarily be
12 developed after.

13 It is only at the time that such information
14 becomes available widely.

15 Q. So you don't recall testifying that there was a
16 limit of below 100 lumens per watt as to scope of
17 enablement of the '819 Patent based on the availability of
18 better components.

19 Do you recall that?

20 A. If they had not been able, then it probably
21 would have been limited to such numbers, yes.

22 Q. And you were saying that there were not better
23 components available as of May 30, 2007, the filing date of
24 the '819 Patent such that a person of ordinary skill in the
25 art would practice the -- or I'm sorry, could implement an

1 LED lighting device with a wall plug efficiency greater
2 than 100 -- or I'm sorry, 100 or greater?

3 A. I cannot confirm the absence, the non-existence
4 of such devices; therefore, I am having difficulties to
5 verify such plausibility of such statement.

6 Q. You don't --

7 A. I can --

8 Q. Sorry. Go ahead.

9 A. I can only state about -- speak about the
10 devices as apparently obtained by the inventors. I cannot
11 speak about those that were not obvious to be obtained --
12 or excuse me, obtained above.

13 Q. See, I don't -- in your deposition testimony, I
14 think you said that the limit of below 100 was based on the
15 need to reach components that became higher in time as to
16 the efficacy of the components; do you recall that?

17 A. That statement is correct, yes.

18 Q. Right.

19 And the -- so in your view, there were not LED
20 components available as of the time of the '819 Patent
21 filed May 30, 2007, that would allow someone of ordinary
22 skill -- a person of ordinary skill to make and use a
23 lighting device having a wall plug efficiency of 100 lumens
24 per watt.

25 Is that --

1 A. I cannot subscribe to that statement.

2 Q. Okay. Let's look at your deposition testimony
3 at page 103, starting at line 2.

4 Do you have that in front of you?

5 I had asked you, "For '819, you discern a limit
6 of below 100 based on the availability of better
7 components; correct? That's what you just testified to."

8 Your answer was, "Unless better components are
9 available, I am stating there, yes. So it's -- it moves --
10 at the time of disclosure of the patent, they were limited
11 to that range and would reach higher only with time."

12 Do you recall that testimony?

13 A. Yes. I stand at that statement.

14 Q. Okay. In that statement, the components that
15 you were referring to included LED components; correct?

16 A. Correct.

17 Q. I think you acknowledged that the overall
18 efficacy -- the wall plug efficiency of a LED lighting
19 device can't be more efficient than the limitations imposed
20 by the efficiency of the LED components themselves.

21 Do you recall that?

22 A. Yes.

23 Q. For example, if an LED lighting device has an
24 efficacy of 100 lumens per watt -- and I'm talking about
25 the lamp.

1 So the component of the lighting device -- the
2 overall lighting fixture containing that would only have a
3 lower efficacy; correct?

4 A. In usual expectations, yes, unless of course,
5 the --

6 (Clarification requested by the Court Reporter.)

7 A. Unless you manipulate the device into very
8 different operating regimes.

9 Q. Well, an LED lamp, as a component emits light;
10 correct?

11 A. Correct.

12 Q. And that imposes the maximum in terms of wall
13 plug efficiency.

14 The numerator for lumens per watt is lumens;
15 correct?

16 A. Correct.

17 Q. So if the lamp has 100 -- if the efficacy --
18 just taking into account the LED components -- is 100
19 lumens per watt, you can only have a lower amount when
20 those components are placed inside a lighting fixture;
21 correct?

22 A. Correct.

23 Q. You agree that such losses that you might get
24 when you put into a lighting fixture would include
25 conversion of, for example, an AC power supply to DC

1 electricity; correct?

2 A. Yes.

3 Q. It might also include losses in the transmission
4 of light associated with secondary optics; correct?

5 A. Correct.

6 Q. Okay. Now, is it your opinion that in order to
7 make and use a lighting device having a wall plug
8 efficiency greater than 100 lumens per watt, as of the
9 filing date of the '819 Patent, that there needed to be
10 improvement of other components such as improvements in the
11 performance of the phosphor, thermal management components,
12 optical components, and electrical components?

13 A. They would benefit the invention, of course. It
14 is known that such components, typically, over development,
15 in time get better. That's usually the direction.

16 So over time, all of those would be known to
17 gain in their respective components aspects. However, the
18 proper balancing would still be needed as taught in the
19 patents.

20 Q. Right. So there would need -- your testimony is
21 that you would need improvements after May 30, 2007, in all
22 the components of an LED lighting fixture in order to
23 exceed 99 lumens per watt.

24 That was your opinion; correct?

25 A. They would help achieve that.

1 As I stated earlier, I cannot exclude the
2 possibility that the respective components already were in
3 the drawers of the inventors, and they just simply still
4 had to put them together or any aspect of that.

5 I can only state -- I cannot say what they did
6 not have. I can only state if those components, indeed,
7 improve even forward, it would have very been expected that
8 the performance overall would go even higher, and yes,
9 break that barrier, that magical numbers, that in itself
10 has no particular relevance.

11 Q. I don't think your prior testimony mentioned,
12 you know, the possibility of components in the drawers of
13 the inventors. I think you were talking about components
14 that were -- would have been recognized as being available
15 reading the '819 Patent.

16 You'd agree with that; right?

17 A. Correct. You know, I can only speak to product
18 that is out in the open. I don't know what is in company's
19 drawers, or what they are developing. "Drawer" being
20 metaphorical.

21 Q. Right.

22 A. You know, being what is in their research labs.
23 Typically, there is a time lag about once the public, even
24 the educated public, knows about their existence.

25 Q. All right. Now, you provided infringement

1 opinions in connection with this matter; correct?

2 A. Yes.

3 Q. In connection with the infringement analysis you
4 conducted, you opine that Claim 1 of the '531 Patent is
5 infringed by all accused LED lighting fixtures sold by RAB
6 that have a wall plug efficiency of greater than 85 lumens
7 per watt; is that correct?

8 A. Yes.

9 Q. And it's your opinion that Claim 25 of the '531
10 Patent is infringed by LED lighting fixtures sold by RAB
11 that have a wall plug efficiency between 85 and 100 lumens
12 per watt; correct?

13 A. Yes.

14 Q. So if I can, I'll ask you a hypothetical.

15 Sitting here today, in your view, if a lighting
16 device with at least one LED has a wall plug efficiency of
17 100 lumens per watt, it would not infringe Claim 1 of the
18 '819 Patent, but if the lighting device with at least one
19 LED had a wall plug efficiency of 99 lumens per watt, it
20 would infringe Claim 1 of the '819 Patent, in your opinion?

21 A. That's not correct.

22 Q. Okay. Again, I think I asked you that question
23 at your deposition.

24 Do you recall that?

25 A. I recall getting confused as a non-lawyer about

1 enablement and infringement analysis. It was not correct
2 statement that I did.

3 Q. Right. So you initially testified to that
4 effect, and then you changed your opinion; is that right?

5 A. I recalled the separation between those two
6 analyses, and before we even finished the thought, caught
7 myself on it and corrected it.

8 Q. Well, I think you did articulate that, and then
9 you indicated that such a device with a 100 lumens per
10 watt, it would infringe Claim 1 of the '819 Patent, but
11 Claim 1 of the '819 Patent would not be enabled up to that
12 level of 100 lumens per watt; isn't that correct?

13 A. The enablement analysis is done as of the date
14 of the priority. The infringement is not tied to that
15 date.

16 The infringement can include after-arising
17 technology that would still fall under the infringement of
18 the claim as written.

19 Q. I think, in your view, do you recall -- strike
20 that.

21 Do you recall testifying, acknowledging that it
22 would be far-fetched to say that a device with a wall plug
23 efficiency of 100 lumens per watt would infringe Claim 1 of
24 the '819 Patent?

25 A. It would be inappropriate statement, and I

1 should correct that.

2 Q. But you recall testifying to that effect?

3 A. It is possible. I don't know off the top of my
4 head.

5 Q. Well, maybe I can refresh your recollection.
6 Let's look at your deposition testimony at page 56, lines 3
7 to 16.

8 I asked you, "So if there's a lighting device
9 with at least one LED that, when supplied with electricity
10 in the first wattage, emits output light with a wall plug
11 efficiency of 100 lumens per watt, would that product
12 infringe Claim 1, in your opinion?"

13 Your response was, "You see, the specification
14 provides a lot of technology, and explains how it relates
15 and enables the invention. Yet, and it certainly covers
16 the possibility for -- for various efficiencies, as would
17 be quoted in the claims, but -- but driving it to a number
18 as high as you just offered to me, like 100 lumens per
19 watt, is probably a little bit far-fetched."

20 Do you recall that testimony now?

21 A. I do recall it. This was prior to my correction
22 that I co-mixed infringement and validity, enablement
23 analysis.

24 Q. Right. We did explore that issue a little bit
25 more. I think I asked you why you thought -- at that point

1 in time, at least, you thought it was far-fetched.

2 And I believe you identified that there would be
3 particular challenges that -- and so there would be a need
4 for a little bit more than what's in the '819 Patent
5 specification to enable a device to have a wall plug
6 efficiency greater than 99 lumens per watt.

7 Do you recall that?

8 A. Yes.

9 Q. I think you also said that would need a little
10 bit extra; correct?

11 A. Correct.

12 Q. I think you had indicated that that extra would
13 be improvements in the LED components, for example;
14 correct?

15 A. For example, among other issues.

16 Q. All right. Improvements in phosphor technology;
17 correct?

18 A. And a proper designing of power supplies,
19 addressing thermal issues and the components' arrangement,
20 and the like.

21 Q. Now, do you recall that some of the claims of
22 the '531 Patent recite a wall plug efficiency with a range
23 with an upper boundary of 113.5 lumens per watt?

24 A. Yes.

25 Q. All right. And that 113.5 lumens per watt value

1 appears to be based on the test results from NIST that are
2 reported in the '439 provisional to which the '531 Patent
3 claims priority; correct?

4 A. I have no firsthand knowledge of that, but the
5 number looks identical.

6 Q. Right. I think you had indicated that the '439
7 provisional reports the 113.5 lumens per watt value.

8 Do you recall that?

9 A. Yes, that's correct.

10 Q. All right. Now, do you recall Mr. Negley's
11 testimony earlier today about LLF issuing press releases
12 after they conducted a test of their prototype devices?

13 A. Yes.

14 Q. And LLF -- do you recall that LLF issued a press
15 release shortly after the NIST test was conducted?

16 A. Sometime after, yes.

17 Q. Do you recall what was reported in that press
18 release?

19 A. Vaguely, yes.

20 Q. Maybe, can we bring up RX-658, please? Next
21 page.

22 So the headline of this press release was "New
23 Lamp From LED Lighting Fixtures Shatters World Record for
24 Energy Efficiency."

25 Do you see what I'm referring to?

1 A. Yes.

2 Q. That was issued November 28, 2007; correct?

3 A. Correct.

4 Q. That referred to a wall plug efficiency of -- I
5 think it says 113.6 lumens per watt; right?

6 A. Yes.

7 Q. All right. So you'd agree, at the time of that
8 press release, according to LLF, that was a world record,
9 they couldn't do any better than what they were reporting;
10 otherwise, it wouldn't be a world record; correct?

11 A. I don't know who coins those terms. Usually,
12 it's the press release department. I don't know that
13 there's an official tally that keeps track of records.
14 Usually, it's a Google search. I cannot attest to that
15 specifically.

16 Q. Sorry. Just give me one second, Dr. Wetzel.

17 Do you recall the testimony by Mr. Negley and
18 others earlier in the hearing about LLF's use of what they
19 called the BSY+R approach?

20 A. Yes.

21 Q. And I think you'd agree that all of the
22 embodiments, the specific embodiments disclosed in the '819
23 Patent and the provisionals to which the '531 Patent claim
24 priority, describe that BSY+R approach that LLF developed;
25 correct?

1 A. Besides other approaches, they spend a lot of
2 time on those portions, yes.

3 Q. Well, you --

4 JUDGE CHENEY: Mr. Beck, is this --

5 MR. BECK: Yes, Your Honor.

6 JUDGE CHENEY: -- a good place to take our
7 afternoon break, or are you almost done?

8 MR. BECK: I'm hesitating, Your Honor. It
9 depends on the answers. This probably is a good time to
10 take a break.

11 JUDGE CHENEY: Okay. Let's take 15 minutes. I
12 will see you all back here at 3:19. We're off the record.

13 (Whereupon, the afternoon recess was taken,
14 3:05 p.m. - 3:19 p.m.)

15 JUDGE CHENEY: Let's go back on the record now.

16 We're back on the record in the 1213
17 Investigation. Before our afternoon break, we were hearing
18 the cross-examination of Cree's technical expert on the
19 '819 and '531 Patents, Dr. Wetzel.

20 Please continue the cross-examination, Mr. Beck.

21 MR. BECK: Thank you, Your Honor.

22 BY MR. BECK:

23 Q. Dr. Wetzel, I think before the break, I had
24 asked you about the priority applications in the '819
25 Patent and the '531, and had asked in particular about

1 their focus on the BSY+R technique.

2 Do you recall that?

3 A. Yes.

4 Q. I think you had said that they primarily focus
5 on that, but that's -- I understood you were suggesting
6 that they focus on other techniques as well; is that --

7 A. Sure.

8 Q. -- is that what you meant to suggest?

9 A. They contemplate those, yes.

10 Q. Now, one -- you'd agree that one known prior art
11 technique, conventional prior art technique for producing
12 white light using LEDs was the RGB technique; correct?

13 A. Correct.

14 Q. That's a mixture of red, green and blue LEDs?

15 A. In one form. It could also be done by
16 phosphors.

17 Q. Well, in the context of the patent, it talks
18 about RGB LED light fixtures, for example, in the
19 background section of the '819 Patent.

20 Do you recall that?

21 A. Yes.

22 Q. I think it indicates that such RGB light
23 fixtures had limitations, and it attributes that to the
24 fact that currently available green LEDs are relatively
25 inefficient, and thus, limit the efficacy.

1 Do you recall that?

2 A. Yes.

3 Q. All right. It also talks about warm light LEDs
4 for indoor use, but says that their efficacy is generally
5 significantly less than that of standard cool white LEDs?

6 A. Yes.

7 Q. Okay. I think you had referred to the -- you
8 had referred to the provisional applications for the
9 '819 -- I'm sorry, for the '531 Patent, including a
10 PowerPoint presentation by LLF.

11 Do you recall that?

12 A. Yes.

13 Q. Do you recall that those -- that PowerPoint
14 presentation refers to BSY+R being a different approach?
15 Do you recall that?

16 A. Yes.

17 Q. Now, when you provided opinions regarding
18 secondary considerations earlier today, I think you pointed
19 to some of RAB's own products.

20 Do you recall that?

21 A. Correct.

22 Q. When you considered your opinions regarding
23 secondary considerations and infringement as to the RAB
24 products, did you ever consider whether they utilized the
25 BSY+R approach or not?

1 A. That was not necessary to be done.

2 Q. Why in your --

3 A. I did not.

4 Q. Why in your view was that not necessary?

5 A. It's not -- it's not a claim term.

6 Q. In other words, in your view, there's nothing in
7 the asserted claims of the '819 or the '531 Patent that
8 would exclude approaches other than BSY+R. Is that a fair
9 statement?

10 A. Yes.

11 MR. BECK: I have no further questions at this
12 time, Your Honor.

13 JUDGE CHENEY: Okay. Dr. Wetzel, I have just a
14 couple of questions.

15 Based on your expertise in physics that was
16 mentioned at the beginning of your testimony, I think you
17 said that there is a physics limit to wall plug efficiency
18 of an LED lighting device.

19 Did I recall that correctly?

20 THE WITNESS: Yes, I referred to that term, yes.

21 JUDGE CHENEY: What is that physics limit?

22 THE WITNESS: Thank you for asking.

23 It's very interesting. The physics of human
24 light perception, it is obviously not very easy to assess,
25 but over the years, people have succeeded in standardizing

1 it.

2 It turns out that a number -- how much lumens a
3 human eye can perceive, and how little optic -- sorry,
4 radiation power would be needed to achieve that light, how
5 little could that be.

6 So that obviously is a different definition of
7 wall plug efficiency. If I were to assume that all of the
8 electrical input power were converted into optical power --
9 into radiation power, sorry, and then this were -- or a lot
10 of those described and concerned losses were eliminated,
11 then it was at one time thought that maybe 200 or 300
12 lumens could be the maximum achieved per watt of white
13 light. So lumens of white light per watt.

14 If, however, I were to reduce the spectrum to be
15 a laser-sharp spectrum that, you know, is a single color
16 only, and if it were in the range of about 555 nanometers
17 only, so not a wide spectrum, then the physics limits were
18 upwards of 600 to 700 lumens per watt of radiation power.

19 So this is not possible to surpass.

20 So, of course, then one starts to reduce that
21 number by throwing in expectations that it would be a white
22 light. That it would be -- have to be driven with LEDs,
23 which are by all means not perfect, and all of the other
24 considerations that inventors take care of.

25 JUDGE CHENEY: Could I have our trial presenters

1 put up in some form Claim 1 of the '819 Patent?

2 So, Dr. Wetzel, I want to make sure that I
3 understand what you have just told me and apply it to what
4 a person of ordinary skill in the art at the time of the
5 '819 invention would understand these words in Claim 1 to
6 mean.

7 Would such a person understand that there is a
8 theoretical limit to the efficiency covered by this claim?

9 THE WITNESS: Yes.

10 JUDGE CHENEY: What would such a person
11 understand that limit to be?

12 THE WITNESS: Somewhere in the range between
13 200, 300, and 700 lumens per watt.

14 JUDGE CHENEY: Why do you distinguish between
15 200, 300, and 700 lumens per watt?

16 In other words -- let me try to connect some
17 dots from what you've told me before to what you're saying
18 now.

19 I think you were explaining to me before that if
20 we were to limit ourselves to a specific visible wavelength
21 of light, there could be a higher efficiency. Is that
22 right?

23 THE WITNESS: Correct. If -- since there are no
24 other qualifiers beyond light, and if I would allow myself
25 to narrow it down to a laser-sharp beam, then I would use

1 this 700, 650, 700 lumens per watt number.

2 JUDGE CHENEY: The reason that you're applying
3 that number here to Claim 1 is because Claim 1 has no
4 restriction as to, say, color temperature; is that right?

5 So it could cover this laser beam you're talking
6 about?

7 THE WITNESS: Correct.

8 JUDGE CHENEY: Okay.

9 Would your answer be the same for Claim 1 of the
10 '531 Patent?

11 We can put that up, if you need to see it.
12 Would that assist you?

13 THE WITNESS: Yes. Let's -- let me --

14 JUDGE CHENEY: Here is Claim 1 of the '531
15 Patent.

16 Take a moment to read it, and let me know when
17 you're ready for the question.

18 THE WITNESS: I'm ready.

19 JUDGE CHENEY: Would a person of skill in the
20 art at the time of the invention that is disclosed here in
21 the '531 Patent understand that the words of this claim
22 describe an invention that has a theoretical efficiency
23 limit?

24 THE WITNESS: Yes.

25 JUDGE CHENEY: What would such a person

1 understand that limit to be?

2 THE WITNESS: The same number I mentioned
3 before. It was somewhere between 600 and 700 lumens per
4 watt.

5 JUDGE CHENEY: Okay. If you will bear with me
6 for just a minute, I want to ask you similar questions
7 about some different claims.

8 Can we have claim 24 of the '819 Patent put up?

9 Dr. Wetzel, take a look at the words of this
10 claim. Let me know when you're ready for the question.

11 THE WITNESS: Yes.

12 JUDGE CHENEY: Would a person of skill in the
13 art at the time of the invention that is disclosed in the
14 '819 Patent understand that the words of this claim
15 describe an invention that has a theoretical efficiency
16 limit?

17 THE WITNESS: Yes.

18 JUDGE CHENEY: What would they understand the
19 limit to be for this claim?

20 THE WITNESS: There has been some work over the
21 years. I have to try to be close to the year of priority
22 here.

23 The number was somewhere in the range of --
24 between 200 and 300 lumens per watt.

25 JUDGE CHENEY: Can you help me understand why

1 there is a range based on the work being done at the time?

2 Was it not clearly understood at the time?

3 THE WITNESS: The physics of it was probably
4 clear and understood. The question is would a POSITA have
5 been faced with that number at the time or not, or would he
6 have to read it up?

7 That it would be reasonable and feasible was --
8 you know, to nail it down further between the ranges of
9 numbers that I gave you is not a very easy job.

10 But around that number would certainly be --
11 have the clear understanding.

12 JUDGE CHENEY: Okay. Just to make sure that the
13 record is clear about what is different about this claim 24
14 that led you to change your answer from the 6 to 700 lumens
15 per watt to 2 to 300 lumens per watt; what is different
16 about this claim?

17 THE WITNESS: It is particularly the terms "warm
18 white."

19 JUDGE CHENEY: Okay. So that limitation is to
20 be understood with your earlier explanation about how
21 humans perceive white light?

22 THE WITNESS: Correct.

23 JUDGE CHENEY: It is a device that -- let me
24 start that question again.

25 Is an LED light fixture with 300 lumens per watt

1 of wall plug efficiency producing warm white light
2 currently possible today?

3 THE WITNESS: Not per my understanding.

4 JUDGE CHENEY: Why is it not possible today?

5 THE WITNESS: There are many steps involved
6 between the wall plug and the lumen measurement at the
7 output. The electrical power would have to be converted
8 into -- from an AC 110 volts to something in the range of 2
9 to 5 volts that a typical LED component can take in DC.

10 So there are losses with that.

11 The next level losses is not all of the
12 electrons that flow through the device convert their energy
13 in 100 percent to photons of the same energy.

14 So there can be a loss in number of photons, but
15 also in terms of energy that they lose when going through
16 there.

17 At that stage, we have to just generate the
18 photons in the device. And I need to be careful, the LED
19 component.

20 They have to come out from this semiconductor
21 material. Along that way, they can get lost by
22 reabsorption. Then they can come out of that LED component
23 and scatter and might not reach to the outside where they
24 could get measured, could get absorbed somewhere else in
25 the fixture, in the device, at a component, at every level.

1 And the conversion of those photons from blue
2 to, for example, yellow, of different wavelength would
3 incur, again, a predictable or expected loss in the number
4 of photons that get converted, but also in a reduction of
5 their energy while they undergo this conversion.

6 After they are converted, they still face the
7 challenges of getting absorbed or scattered in the wrong
8 direction before they leave the device and can be counted
9 towards the intended goal.

10 JUDGE CHENEY: Thank you.

11 We can put away the patent claim that's being
12 displayed right now.

13 That's all of the questions that I have for this
14 witness.

15 Is there any redirect for this witness?

16 MR. ROBSON: No, Your Honor.

17 JUDGE CHENEY: Okay. Well, I want to thank you,
18 Dr. Wetzel, for making yourself available today.

19 Your testimony helped me understand the case
20 better.

21 You are excused.

22 Will Cree call its next witness.

23 MR. ERWINE: Yes, Your Honor. Cree next calls
24 Dr. Thomas Katona.

25 My colleague, Mr. Hamstra will proceed with the

1 direct examination of Dr. Katona.

2 JUDGE CHENEY: Let's go off the record for just
3 a moment while everybody gets settled.

4 (Off the record.)

5 JUDGE CHENEY: We're back on the record.
6 Everyone is in position now. I will administer the oath to
7 Dr. Katona.

8 THOMAS KATONA, PhD,
9 a witness, having been first duly sworn, was examined and
10 testified as follows:

11 THE WITNESS: I do.

12 JUDGE CHENEY: Thank you.

13 Please proceed with your examination,
14 Mr. Hamstra.

15 DIRECT EXAMINATION

16 BY MR. HAMSTRA:

17 Q. Good afternoon, Dr. Katona. Can you state your
18 full name for the record?

19 A. Dr. Thomas Matthew Katona.

20 Q. Dr. Katona, I understand that you have some
21 demonstratives prepared for today.

22 Mr. Jay, can you put those up?

23 So, Dr. Katona, what is your current occupation?

24 A. I am an associate professor at California
25 Polytechnic State University in San Luis Obispo in the

1 college of engineering.

2 Q. Can you summarize your educational background?

3 A. Yes.

4 I have a bachelor's in engineering physics from
5 Westmont College. A master's and PhD degree in electrical
6 and computer engineering, from University of California at
7 Santa Barbara. And an MBA from the University of South
8 Carolina.

9 Q. At a high level, what is going to be the subject
10 matter, technically, of your testimony today?

11 A. At a high level, discussing the design of
12 LED-based lighting products, and their thermal design,
13 primarily.

14 Q. Dr. Katona, could you just highlight a few
15 aspects of your work experience that are most pertinent to
16 the technical subject matter you just identified?

17 A. Yes. I started working with LED-related
18 products in -- around 2000 in grad school. I have been
19 working with development of LED and LED lighting products
20 since that time, both at the LED chip or die level, at the
21 packaged LED level, and also designed and integrated and
22 shipped full LED-based lighting products.

23 MR. HAMSTRA: Your Honor, pursuant to the
24 parties' stipulation, I proffer Dr. Katona as a technical
25 expert in the field of LED lighting technology in this

1 investigation.

2 JUDGE CHENEY: Based on the stipulation and the
3 lack of objection, Dr. Katona will be accepted as an expert
4 in the tendered fields.

5 BY MR. HAMSTRA:

6 Q. Dr. Katona, are you being compensated for your
7 testimony today?

8 A. I am. I'm being compensated at a rate of \$450
9 per hour.

10 Q. Is your compensation at all dependent on the
11 outcome of this investigation?

12 A. It is not.

13 Q. Dr. Katona, what will be the first patent upon
14 which you're testifying today?

15 A. The first patent is listed here as the '270
16 Patent.

17 Q. Turning to CDX-003C.0004, could you at a high
18 level summarize the subject matter of the '270 Patent?

19 A. The '270 Patent is about an LED-based lighting
20 fixture and the design of such a fixture to separate the
21 chamber of the driver electronics from that of the LED
22 modules.

23 Q. What benefit, if any, is there to separating the
24 driver from the emitter in that way?

25 A. In light fixtures, the LED electronics or driver

1 is -- in the LED modules themselves are the two primary
2 sources of heat, and by separating those, they don't
3 influence one another. That effects is sometimes called
4 thermal crosstalk, where one thermal load influences the
5 other. And as we have heard in previous testimony, heat --
6 LEDs don't really like heat. They tend to get less
7 efficient, and dim and lose total output of light.

8 Q. What, if any, negative effect is experienced by
9 drivers due to heat?

10 A. Similar to LEDs, it's not uncommon that the
11 efficiency goes down with heat. And also, as I did not
12 mention, the lifetime of both LEDs and driver electronics
13 tends to be reduced as -- if they run at a higher
14 temperature.

15 Q. Dr. Katona, turning to slide 5 of your deck, can
16 you briefly describe the solution of the '270 Patent to
17 this problem?

18 A. Sure.

19 The -- this image here referred to as CX-0447,
20 sorry, shows a cross-section of one such embodiment, and
21 the triangular shaped area on the right side of that image
22 is the chamber that's defined for the driver electronics.
23 And the left side is depicted, you can see from the bottom,
24 with what looks like an array of circles which is where the
25 LED modules are at, and there's a physical gap shown to

1 allow airflow through between the two.

2 Q. What benefit does that airflow allow for?

3 A. A couple of different benefits.

4 So there's a few different numerical
5 designations on this picture.

6 So the numerical designations 1 show how air can
7 flow up through the device fixture itself in between the
8 chamber and the LED modules, and then the air flows over
9 laterally across the fins of the LED heat sink that the LED
10 modules are mounted to. That helps draw heat off the
11 fixture itself.

12 And then number 2 designates where water or
13 precipitation of some sort would be able to actually come
14 down and drain through the fixture, also providing a
15 cooling effect.

16 And then the openness of the fixture is -- just
17 allows for better conductive thermal radiation.

18 Q. So turning to slide 6 --

19 MR. HAMSTRA: Your Honor, after our conversation
20 yesterday, I just want to note one thing. Throughout this
21 presentation today, there will be a number of citations to
22 native files; typically, native CAD files. The parties --
23 both of Cree Lighting and RAB, the parties are each
24 maintaining confidentiality over their -- the underlying
25 native CAD files, but I understand are permitting the

1 excerpts within this slide deck to be on the public record.

2 JUDGE CHENEY: Great. Thank you for letting me
3 know about that. Thank you for working out that
4 understanding.

5 BY MR. HAMSTRA:

6 Q. All right, Dr. Katona, what products of RAB are
7 going to be opining on today with respect to the '270
8 Patent?

9 A. The FALCOR Series of products, which is shown in
10 the photograph CPX-0008.

11 The CANVAS/EZLED family of products with the
12 photograph here, CPX-0003.

13 And the FFLED products with the -- this is from
14 the CAD file, with designation CPX-0484C.

15 Q. What is your conclusion about infringement of
16 Claims 1 and 2 of the '270 Patent and the FALCOR,
17 CANVAS/EZLED and FFLED products?

18 A. My conclusion is that all three of these product
19 families do infringe Claims 1 and 2 of the '270 Patent.

20 Q. Mr. Jay, could you jump forward to
21 CDX-0003C.009?

22 Dr. Katona, were you also prepared to offer
23 opinions on the PIP and PIPXL products today?

24 A. I was.

25 Q. But what is your understanding of the parties'

1 agreement with respect to the PIP and PIPXL products?

2 A. It's my understanding that the parties do agree
3 that these products infringe the claims of the '270 Patent.

4 Q. Okay. I won't ask you any more questions about
5 that one, then.

6 Mr. Jay, can you go back to CDX-0003C.0007?

7 Dr. Katona, the parties have reached a
8 stipulation regarding representative products in this
9 matter. Could you just share your understanding of that
10 stipulation?

11 A. My understanding is that they've grouped the
12 products into product families by -- at least for the
13 purposes of structural analysis for each of the products.

14 Q. Turning to your slide 8, how did you further
15 group the products in your analysis?

16 A. To three groupings of the products which are
17 separated here with the red lines.

18 The FFLED products were grouped together, and
19 for structural analysis, I used the CAD file CPX-0484C.

20 The FALCOR family of products were grouped
21 together, and for that, the CAD file that was used as
22 representative was CPX-0478C.

23 And the CANVAS/EZLED product families were
24 grouped together also, and they're representative CAD file
25 is highlighted here, CPX-0477C.

1 Q. Thank you, Dr. Katona.

2 Mr. Jay, could you turn to slide 11?

3 Dr. Katona, what claims of the '270 Patent are
4 you offering opinions on today?

5 A. Claims 1 and 2 of the '270 Patent.

6 Q. What tests did you apply to determine whether
7 the RAB products infringed these claims of the '270 Patent?

8 A. I looked at each element of each of claims, and
9 applied them against the accused products.

10 Q. What interpretations did you apply in your
11 analysis of these claims?

12 A. In the case where there was a construction by
13 the Court, I applied the Court's construction. And in the
14 case where there was no construction, I applied just the
15 plain and ordinary meaning of the words as they were
16 written.

17 Q. For what terms did the Court provide a
18 construction?

19 A. Specifically for the terms "a light fixture," in
20 which the Court found that the preamble was, indeed,
21 limiting.

22 And then air/water-flow, with the Court's
23 construction shown here, as permitting airflow, permitting
24 water-flow, or permitting the flow of both air and water.

25 Q. So, Dr. Katona, let's start with the preamble of

1 Claim 1, a light fixture comprising; what did you conclude
2 regarding the FFLED, FALCOR and CANVAS/EZLED products?

3 A. I found that all three were, indeed, light
4 fixtures. They all have mounting brackets which are
5 highlighted for each of the three product families here
6 with the green blocks, and they have lighting devices that
7 are meant to provide light to an area.

8 Q. What evidence did you rely on to come to that
9 conclusion?

10 A. So specifically on the left, the FFLED product,
11 again, from the CAD file CPX-0484C, there's the mounting,
12 the place where it's supposed to be mounted on the bottom.
13 And in blue, are the LED modules that would create the
14 illumination.

15 The FALCOR is shown in the center from the CAD
16 file CPX-0478C. Again, the green block is showing where
17 the mounting bracket or hinge is, and the blue is the
18 location of LED modules that provide the light.

19 And similarly, on the right for the CANVAS/EZLED
20 products, from the CAD file CPX-0477C, again, the green
21 block is showing where the mounting -- the mounting fixture
22 is, and the navy blue are highlighting where the LED
23 modules are located.

24 Q. Do you understand RAB to dispute whether these
25 products are, in fact, light fixtures?

1 A. It's my understanding they do not dispute that.

2 Q. What is the next element of Claim 1?

3 A. A chamber. I believe they all contain chambers.

4 Q. So, Dr. Katona, turning to CDX-3C.15, can you
5 identify where you located a chamber in the accused
6 products?

7 A. Yes.

8 So, again, on the left, the FFLED products, the
9 CAD file, this -- in this case, the back of the fixture is
10 made transparent so that we have -- we can see into it, and
11 exposes the chamber area of the fixture.

12 The FALCOR product in the center has a navy blue
13 arrow pointing to the chamber, and in this case, the panel
14 is removed from the image so that we can see the insides of
15 it.

16 And the CANVAS EZLED on the right has the navy
17 area kind of highlighted. In this case, again, the panel
18 is removed so we can see the inside of what is the chamber
19 of the fixture.

20 Q. Do you understand whether RAB disputes whether
21 these products do, in fact, include a chamber?

22 A. It's my understanding that there is no dispute
23 on this.

24 Q. Dr. Katona, what is the next element of the '270
25 Patent?

1 A. At least one power circuitry driver within the
2 chamber.

3 Q. Turning to slide 17 of your presentation, what
4 conclusion did you come to regarding whether the accused
5 products include such a driver?

6 A. The FFLED product family first is shown here
7 from the CAD file CPX-0484C, and, again, that back panel is
8 transparent, so we can see inside.

9 But inside that chamber, the green -- at least
10 one power circuitry driver is highlighted, and it shows its
11 physical location in the chamber.

12 Q. Dr. Katona, stepping back a moment, what is your
13 understanding of the plain and ordinary meaning of what a
14 power circuitry driver is to one skilled in the art?

15 A. It would be a electronics driver that accepts an
16 AC line voltage in, converts it to a constant current
17 output to power the LEDs.

18 Q. What kind of constant current output?

19 A. Let's see.

20 So in this case, you can see the -- this is an
21 image on the right from a data sheet on the FFLED products.
22 It's CX-0489.

23 You can see that it's highlighted. The power
24 circuitry driver is designed to accept anywhere from 100 to
25 277 volts, which are standard line voltages in the US, at

1 50 to 60 hertz, which is where our power grid kind of runs
2 on, and converts it to a constant current such that the
3 LEDs would run and not flicker or something. That would be
4 not desirable to the customer.

5 Q. What sort of voltage output does the driver
6 shown here output?

7 A. In this case, it's a class 2 voltage.

8 Q. Is that a DC voltage?

9 A. Yes, that's a DC voltage.

10 Q. So, Dr. Katona, turning to the FALCOR, what
11 evidence did you see regarding whether the FALCOR products
12 include a -- at least one power circuitry driver within the
13 chamber?

14 A. So similarly, this is the same image that we
15 used from a slightly different angle to show where the
16 chamber is. The green arrow here is highlighting where
17 the -- at least one power circuitry driver is located
18 inside that chamber, and the data sheet for that product
19 family, CX-0488, again, highlights -- they advertise a
20 driver.

21 It, again, has the input acceptance of what
22 would be standard AC line voltages and an output of a
23 constant current to the LEDs.

24 Q. Turning to slide 19.

25 What evidence do you see regarding this element

1 and the CANVAS/EZLED products?

2 A. The CANVAS EZLED is once again shown with the
3 back panel removed on the left of this exhibit to expose
4 the chamber, and the green arrow is pointing to the
5 location within the chamber of the -- at least one power
6 circuitry driver.

7 Once again, highlighted in yellow from the data
8 sheet CX-0596, there's an AC sort of line voltage input and
9 a constant current output.

10 Q. Dr. Katona, do you understand RAB to dispute
11 whether the accused products include at least one power
12 circuitry driver within the chamber?

13 A. It's my understanding they do not dispute this.

14 Q. Dr. Katona, what is the next element, what
15 you've labeled 1C of Claim 1 of the '270 Patent?

16 A. At least one LED module outside the chamber.

17 Q. What conclusion did you reach regarding that
18 element and the FALCOR products?

19 A. So the FALCOR products -- this is one side of
20 the product that's shown here. So to orient yourself, the
21 blue arrow is still there pointing to the chamber, and in
22 this case, we see the side where the LED -- at least one
23 LED module is located with the yellow arrow pointing to its
24 location, clearly outside of the chamber.

25 On the right is a photograph of at least one LED

1 module, CPX-0008, showing the array of LEDs on a circuit
2 board, and a close-up photograph of one of the LEDs itself.

3 Q. Do you understand RAB to dispute whether the
4 FALCOR products include at least one LED module outside the
5 chamber?

6 A. It's my understanding they do not dispute that.

7 Q. So let's turn to the FFLED products in this
8 element.

9 So what did you conclude regarding whether the
10 FFLED products include such an LED module?

11 A. It's my conclusion that the FFLED products do
12 include at least one LED module outside the chamber.

13 Q. What kind of LED module is included in the FFLED
14 products?

15 A. These are called chip-on-board, or some people
16 will call it COB type LED modules.

17 Q. Where do those appear in this annotated version
18 of CPX-484C on slide 21?

19 A. They show up -- there are two locations on this
20 slide. The front view is on the left where it would be the
21 illumination side of the light fixture. You can see their
22 location.

23 Then for purposes to demonstrate that they are,
24 indeed, outside of the chamber, the middle image has them
25 sort of in the view from the top of where that would

1 physically be located.

2 The chamber is obviously the back side of that
3 fixture, which is -- the LED modules are not contained
4 within.

5 Q. Can you explain in a little bit more detail,
6 what a chip-on-board or a COB is?

7 A. Sure. A chip-on-board or COB is a circuit board
8 that you mount LED die directly to. Sometimes they're
9 either a metal core circuit board or a ceramic substrate.

10 They have traces or circuitry that connect
11 arrays of LEDs on the board themselves, and the boards are
12 designed such that they can be integrated directly into a
13 fixture just like a normal circuit board would be so that
14 they don't need a secondary reflow step.

15 Q. I'm sorry, Dr. Katona. I didn't catch the last
16 piece of your answer there.

17 A secondary what?

18 A. A reflow. So in the FALCOR products, those
19 packages are melted -- melted onto a substrate or circuit
20 board. In the case of a COB, the LED arrays are directly
21 attached.

22 The package is a circuit board itself. So it's
23 equivalent to what's on the previous slide, just in a
24 singular form.

25 Q. Thank you, Dr. Katona.

1 So turning to slide .22, what conclusions did
2 you reach regarding whether the CANVAS/EZLED products and
3 the -- this element?

4 A. Similar for the FFLED, with the CANVAS and
5 EZLEDs.

6 So the photograph in the center here, CPX-0003,
7 shows the -- a photographic image from the front side of
8 the fixture where the chip-on-board LED modules are
9 exposed, and you can see the direct connection to those
10 circuit boards.

11 From the top, the images are transposed into the
12 light fixture showing that they're physically outside of
13 the chamber from the CAD file CPX-0477C.

14 Q. What do you understand to be RAB's argument with
15 respect to these COBs on the FFLED and CANVAS/EZLED
16 products?

17 A. It's my understanding that they contend that
18 they're not an LED module.

19 Q. What is your response?

20 A. I disagree with that. They are arrays of LEDs
21 mounted onto a circuit board, and in such a fashion that
22 they can be directly connected from the light fixture.

23 Q. So turning to slide .24, you've excerpted a
24 portion of the '270 Patent, JX-0004.

25 How did this portion of the specification impact

1 your determination regarding this element?

2 A. Yeah. So the specification here has highlighted
3 a phrase right up front that says, "Advances have been made
4 in LEDs, and in LEDs arrays" -- I'm sorry, "and in LED
5 arrays, often referred to as LED modules."

6 Which highlights that those working this field
7 use those terms interchangeably.

8 Q. So, Dr. Katona, what did you conclude regarding
9 whether the FFLED and CANVAS/EZLED products satisfy this
10 element of the claims?

11 A. It's my conclusion that both do satisfy the
12 element of the claims.

13 Q. Dr. Katona, what is the last element of Claim 1
14 on which you're going to be opining on today?

15 A. The last element is that there is at least one
16 air gap between the chamber and the at least one LED
17 module, the air gap permitting air/water-flow therethrough.

18 Q. Turning to slide .26, what did you conclude
19 regarding this element and the accused RAB products?

20 A. Again, let's see.

21 We'll start with the FFLED products on the left.
22 So this is a view from the top of the fixture, and, again,
23 the yellow shows the location of the at least one LED
24 module relative to the chamber, which is highlighted with
25 the darker blue, and in this case, you can see the air gap

1 right through the middle of it between the two, which is --
2 there's a turquoise arrow, which kind of points us to that.

3 Then for the CANVAS/EZLED products in the center
4 of this exhibit, similarly, the yellow shows the location
5 of the at least one LED module kind of superimposed.

6 We're looking, again, from the top of the
7 fixture. You can see the location of the chamber
8 highlighted with the navy blue, and, again, we're sort of
9 seeing the gap right through between those two, highlighted
10 with the turquoise arrow.

11 And last, the FALCOR products are shown on the
12 right of this image, and, again, the location of the at
13 least one LED module are shown with the yellow arrows.

14 We looked at those early on. And then the
15 chamber location with the dark blue, and the turquoise --
16 in this case, it's a dashed arrow -- goes right up through
17 the air gap between the two.

18 Q. With respect to the FFLED and CANVAS/EZLED
19 products, do you understand RAB to dispute the presence of
20 this element?

21 A. It's my understanding they do not.

22 Q. What do you understand RAB's argument to be with
23 respect to the FALCOR?

24 A. It's my understanding that they contend that
25 there's not an air gap there, that it's two separate

1 structures.

2 Q. What is your response to that argument of RAB?

3 A. I disagree with it. They're not two separate
4 structures. The physical connection, kind of there's a
5 hinged bracket that you can see between the two that does
6 physically connect them. It's one light fixture, so that's
7 kind of how you buy it.

8 There is a gap there, and even in the way that
9 this is designed, the fins on the back side of the -- at
10 least one LED module are geometrically oriented to enhance
11 airflow that would come through that gap over that heat
12 sink. So that -- yeah.

13 Q. Thank you, Dr. Katona.

14 So what conclusion did you ultimately reach
15 regarding infringement of Claim 1 of the '270 Patent by the
16 FFLED, FALCOR and CANVAS/EZLED products?

17 A. My opinion is that the FFLED, FALCOR and
18 CANVAS/EZLED all infringe the elements of Claim 1 of the
19 '270 Patent.

20 Q. So, Dr. Katona, what is the other claim on which
21 you are opining today of the '270 Patent?

22 A. Claim 2.

23 Q. And what does Claim 2 read?

24 A. Claim 2 reads, "The light fixture of Claim 1
25 wherein the chamber is defined by a housing."

1 Q. Let's turn to your slide 20.29.

2 Could you outline where you found such a chamber
3 defined by the housing in the accused products?

4 A. Sure.

5 So the FFLED products, again, we're looking at
6 the CAD files CPX-0484C, is shown on the left, and the
7 chamber once again is exposed. The housing that defines
8 the chamber is the turquoise shell to it. So it's shown
9 here.

10 The FALCOR, similarly, has the housing shown as
11 a turquoise shell around the chamber, which we previously
12 identified in the Claim 1 discussion.

13 And on the CANVAS/EZLED product on the right,
14 this time with the back panel which was previously removed,
15 also shown with the turquoise highlight shows the housing
16 that defines that chamber.

17 Q. Dr. Katona, do you understand RAB to dispute
18 presence of this limitation in the accused '270 products?

19 A. It's my understanding they do not dispute them.

20 Q. So, Dr. Katona, what is your ultimate conclusion
21 regarding infringement of Claims 1 and 2 of the '270 Patent
22 and the accused '270 products?

23 A. My opinion is that the -- or conclusion is that
24 the -- all three product families, the FFLED, FALCOR and
25 CANVAS/EZLED, do, in fact, infringe Claims 1 and 2 of the

1 '270 Patent.

2 Q. Dr. Katona, were you preparing to opine on
3 technical domestic industry with respect to the '270 Patent
4 today?

5 A. I was.

6 Q. What is your understanding of the parties'
7 agreement with respect to '270 Patent technical domestic
8 industry?

9 A. My understanding is the parties have agreed that
10 the technical domestic industry does, indeed, practice the
11 claims of the '270 Patent.

12 Q. Okay. So I won't ask you any further questions
13 about that.

14 Mr. Jay, could you jump to slide .34.

15 So, Dr. Katona, what is the next patent on which
16 you are opining today?

17 A. The next patent is the '449 Patent.

18 Q. What's the general subject matter of the '449
19 Patent?

20 A. The '449 Patent was directed at a design, a
21 thermally advantageous design, as well as certain
22 performance metrics for an LED-based downlight or can
23 light.

24 Q. What particular challenges face downlight
25 systems?

1 A. Downlights -- this was alluded to in earlier
2 testimony.

3 Downlights are stuck into a ceiling, which is
4 not a fantastic thermal environment for them to exist in,
5 and they're also geometrically constrained, so you don't
6 get to arbitrarily pick the size of the hole. The holes
7 sort of exist.

8 So you have a lighting device where, you know,
9 users in a room are used to getting a certain amount of
10 light out, but you're constrained in how you can handle the
11 thermal load of any heat generated in that light fixture.
12 And as we discussed previously, the primary sources of heat
13 are from the LEDs themselves, and the driver electronics.

14 So you have to figure out how to manage that
15 thermal load, and get it out of the fixture while still
16 keeping your LEDs and components running at an efficiency
17 in total output power that you would desire for the
18 application.

19 Q. So traditionally, what approaches were taken in
20 downlights to control that thermal load?

21 A. The most conventional approach was to stick a
22 big heat sink on the back side of it, and try to provide as
23 low a thermal resistance from the LEDs to the outside can
24 as possible, with a big bulky heat sink.

25 Others used methods like active cooling, and

1 specifically, an example of that would be putting a fan on
2 the back side of the fixture.

3 Q. What's the downside of the heat sink approach
4 you mentioned there?

5 A. The main downside -- well, there are a couple.
6 The main downsides of the big heat sink are it tends to add
7 cost in just materials, and it also adds cost in the
8 shipping of the product. And also the -- I guess one other
9 effect, if you've ever put one of these in, if they're
10 heavy, they tend to slide out of the existing can, so
11 that's a potential other downside.

12 Q. What's a downside with the active cooling or fan
13 example you mentioned?

14 A. They're known to not be very reliable in this
15 type of application. Ceilings are not that clean, and so,
16 you know, sticking something like a computer fan up in your
17 ceiling not only adds weight and cost, which we talked
18 about other components in your bill and materials, but has
19 a potential for just being the lifetime choke point of your
20 lighting fixture.

21 Q. So, Dr. Katona, you mentioned some requirements
22 imposed by the claims of the '449 Patent, such as relating
23 to light output, power input and weight.

24 How do those considerations compete with one
25 another?

1 A. Well, listening to the testimony this morning, I
2 think Mr. Negley, he very clearly elicited it. His
3 description of the Whac-A-Mole problem is, you know, quite
4 relevant.

5 You've got -- when you're trying to get a
6 certain amount of light out of this can, you start pumping
7 more power into it to get that light output up, but the
8 more you do that, the more thermal load you're generating
9 in the fixture that you have to remove.

10 If you don't efficiently remove it, the LEDs
11 themselves have a characteristic called thermal droop,
12 where their total light output and efficiency starts
13 dropping.

14 So you -- the only way to compensate for that is
15 either to have more heat sink or thermal handling, or put
16 more power into the device, which sort of has a bad
17 feedback loop for you.

18 So, yeah, it is a classic Whac-A-Mole problem,
19 and, you know, you kind of wish that you were someone with
20 five or six arms when you are playing that game. So that's
21 how it feels designing these things.

22 Q. Dr. Katona, turning to your slide .35, what is
23 one particular aspect of the design of the '449 Patent that
24 attempted to address some of these concerns?

25 A. One of the design elements that they used was to

1 try to use the trim element itself as at least a portion of
2 the heat sink. So you're utilizing an existing element to
3 try to draw heat out of the fixture as opposed to just
4 using it as an independent device.

5 That has two benefits. One, it has the benefit
6 of reducing the weight, and we have seen that's an
7 important part of this patent, which we're going to
8 discuss. But in addition, it has a secondary benefit in
9 that it pulls some of the heat into the room side of the
10 fixture. And as I mentioned, the ceiling side is not a
11 great place to be trying to get rid of heat, where the room
12 side is actually quite a large thermal reservoir.

13 So utilizing that trim element has that
14 secondary advantage.

15 Q. Dr. Katona, turning to your slide .36, what
16 claim of the '449 Patent are you opining on today?

17 A. I'll be speaking specifically on Claim 10 of the
18 '449.

19 Q. What interpretation of Claim 10 and its terms
20 did you apply in your analysis?

21 A. Again, I looked at each element of the claim and
22 tried to apply the wording of each element against the --
23 my analysis of the products.

24 And as before, if terms were construed by the
25 court, I used that construction. And if not, I used the

1 plain and ordinary meaning.

2 Q. So, Dr. Katona, your slide 38 addresses the
3 representative product stipulation.

4 So how did you group the products for your
5 analysis today?

6 A. So similar to the other ones, my understanding
7 is that the parties agreed on sort of structural groupings,
8 and into two families, and we'll discuss them or I've
9 grouped them here as recessed retrofit products and
10 performance downlight products.

11 Q. For the recessed retrofit products, based on
12 your analysis of the evidence, what 3D CAD model and sample
13 did you select as representative of those two families in
14 the stipulation?

15 A. Yeah. So the recessed retrofit products
16 encompass what's shown here as both recessed retrofit and
17 field-adjustable recessed retrofit. And the representative
18 CAD file that was used for those, again, for structural
19 purposes was CPX-0485C.

20 Q. Which production number did you identify as
21 representative of those two different product families in
22 the stipulation?

23 A. The production number was CPX-0029.

24 Q. Which -- well, I'll just ask: Are you offering
25 opinions on all of the SKUs in the recessed retrofit

1 families?

2 A. No. For the recessed retrofit, it's the ones
3 that begin with SKU number R4 and R6, and do not have the
4 letters FA in the SKU.

5 And for the field-adjustable recessed retrofits,
6 it's the ones with, similarly, SKU numbers that begin with
7 R4 and R6, with the letters FA in the SKU.

8 Q. Okay. And then combining those two together,
9 are you offering opinions on all the R4 and R6 products
10 today?

11 A. Yes.

12 Q. What do you understand the difference between
13 the field-adjustable and non-field-adjustable recessed
14 retrofit products?

15 A. The field-adjustable recessed retrofit products
16 have a switch on them that allows the user, presumably at
17 the time of installation, to select the color temperature
18 of white light that they would like for the product to
19 emit.

20 Q. Dr. Katona, turning to the second category
21 there, performance downlight products, what performance
22 downlight products are you going to be opining on today and
23 into tomorrow?

24 A. The field-adjustable performance downlight and
25 performance downlight product families.

1 I'm specifically going to be speaking about the
2 ones with SKU numbers that begin with C6R, and the
3 representative CAD file that I used in the structural
4 analysis of these is highlighted here as CPX-0487C.

5 MR. HAMSTRA: Mr. -- Jay, can you go forward
6 to .41.

7 Q. So, Dr. Katona, let's start with the first
8 element of Claim 10, a lighting device.

9 What opinion did you reach about the recessed
10 retrofit in performance downlight products?

11 A. Yeah, I think they're both lighting devices.
12 The photographs of the products are shown here. The
13 CPX-0029 for the recessed retrofit, and from the front
14 side, you can see the LEDs that are meant to light the
15 room.

16 And the performance downlights on the right, the
17 photograph, CPX-0002, again, you can clearly see the LEDs
18 that are on front side to provide light.

19 Q. Turning to slide .42, element 10A recites a trim
20 element.

21 First of all, Dr. Katona, what construction of
22 trim element do you apply in your analysis?

23 A. I'm using the Court's construction of a
24 structure that forms a flange wherein the flange is
25 configured to abut against a mounting surface, and defines

1 the outward facing surface of the lighting device and is
2 configured to be inserted into an opening of the mounting
3 surface.

4 Q. Did you identify something in the recessed
5 retrofit products that satisfied that construction?

6 A. Yes. So the kind of side view of the CAD file,
7 CPX-0485C is shown here. It's very clear to see a
8 structure that forms a flange. The flange being at the
9 bottom.

10 That flange is configured to abut against a
11 mounting surface. It defines the outward-facing surface of
12 the lighting device, and the structure is figured to be
13 inserted into an opening in the mounting surface.

14 So I think it does meet the construction of a
15 trim element.

16 Q. Turning to slide .43, what conclusion did you
17 draw about that element in the performance downlight
18 products?

19 A. Similarly, that they look very similar. The CAD
20 file here is CPX-0487C, and, again, there's a structure.
21 It forms the flange. It's configured to be abutted against
22 the mounting surface.

23 It defines that outward-facing surface of the
24 lighting device, and as we can see, it is configured to be
25 inserted into an opening in the mounting surface.

1 Q. Dr. Katona, turning to slide .44, in what
2 condition is the trim element you identified when it is
3 sold to customers?

4 A. It's ready to be inserted into the mounting
5 surface.

6 Q. And the white and gray pieces shown in CPX-0029
7 and CPX-002, these photos here, under what circumstances
8 would those be taken apart in normal operation?

9 A. I can't think of a reason in normal operation
10 those would be taken apart.

11 Q. Dr. Katona, what do you understand RAB's dispute
12 to be with your identification of a multi-piece trim
13 element?

14 A. My understanding is RAB's contention is that
15 trim element need to be a unitary -- needs to be a unitary
16 structure.

17 Q. What is your response to that, Dr. Katona?

18 A. I don't agree with that. I don't read anything
19 in the Court's construction, first, that would call -- that
20 it needs to be a unitary structure, and the patent
21 itself -- so there's a image from the patent, JX-0003,
22 shown here, with two different claims in which the
23 inventors differentiated Claim 10, which we're speaking
24 about today, from claim 12, which specifically calls out a
25 unitary structured trim element.

1 Q. So, Dr. Katona, turning to slide .46, what do
2 you understand RAB's dispute to be with your identification
3 of a trim element that includes a housing for the driver?

4 A. My understanding is they dispute that the trim
5 element includes any of the driver.

6 Q. What is your response, Dr. Katona?

7 A. Well, my response is that the specification
8 itself in the patent -- the '449 Patent specifically says
9 that in some embodiments, the trim element can comprise at
10 least one chamber, which means that it can comprise more
11 than one chamber, and specifically calls out that it can
12 accommodate a variety of driver modules or power supply
13 modules, so it's addressed in the specification itself.

14 Q. My apologies.

15 Dr. Katona, turning to slide .47, what's the
16 next element of Claim 10, the '449 Patent?

17 A. The next element is that there's an electrical
18 connector.

19 Q. Could you identify such an electrical connector
20 in the accused products?

21 A. Yes, both in the recessed retrofit products on
22 the left, the blue arrow showing to a place where the
23 orange connectors exists providing electrical connection,
24 and in the performance downlight products on the right, you
25 can see the conduit that runs into the downlight providing

1 the electrical connection.

2 Q. Dr. Katona, turning to your slide .48, what's
3 the next element of Claim 10?

4 A. The next element is at least a first driver
5 component.

6 Q. Were you able to -- well, first of all, what
7 construction or interpretation of that term did you apply
8 in your analysis?

9 A. Yes. I used the Court's construction for this,
10 which is any component that is a part -- so that is part of
11 the driver, and is involved in performing the functions of
12 the driver.

13 Q. What did you conclude about whether the accused
14 products do, in fact, include at least the first driver
15 component?

16 A. They most certainly do.

17 We've got photographs of the recessed retrofit
18 family of products on the left, CPX-0029, and you can see
19 the circuit board with a variety of components that are
20 part -- at least part of the driver.

21 And the performance downlight product is on the
22 right, the photograph CPX-0002. In this case, the trim
23 element is cracked open so you can see the -- at least the
24 first driver component inside there with the circuit board
25 and the components.

1 Q. How did you determine that these circuit boards
2 and their components are involved in performing the
3 functions of a driver?

4 A. In both cases, there's -- the input to these
5 devices is an AC signal. So all of the -- really, all the
6 driver is happening inside here, not just a single
7 component even.

8 Q. Dr. Katona, turning to your slide .49, what's
9 the next element, 10D, of Claim 10?

10 A. At least one solid-state light emitter.

11 Q. What conclusion did you draw about the accused
12 products and this element?

13 A. Yeah, this one is quite easy. The photographs
14 here, both on the left, CPX-0029 of the front side of the
15 lighting device show the LED or at least one solid-state
16 emitter, and similarly on the right, the performance
17 downlight, CPX-0002, the LEDs or at least one solid-state
18 light emitter are clearly visible.

19 Q. So, Dr. Katona, turning to your slide .50, what
20 is the next element of Claim 10 of the '449 Patent?

21 A. The next element is the lighting device weighing
22 less than 750 grams.

23 Q. How did you connect -- conduct your analysis of
24 this element with respect to the accused products?

25 A. So this was done analyzing a spreadsheet

1 supplied by RAB. It's listed as JPX-0143C, and then in
2 this case, what's being shown here is, first, a sort was
3 performed on the products that have -- that start with R4,
4 and R6 SKUs, and then they're listed -- the weight of each
5 of the fixtures is listed on the right column from lowest
6 to highest, and you can see that for all of these SKUs,
7 they do, indeed, weigh far less than 750 grams.

8 Q. And what conclusion did you draw about this
9 element weighing less than 750 grams in the performance
10 downlight products?

11 A. For the performance downlight products,
12 similarly, looking at the spreadsheets supplied by RAB,
13 JPX-0143C, these were -- all of the 6-inch downlight
14 products were sorted by the SKUs that start with C6R, and
15 then, again, from lowest to highest weight. And all of the
16 C6R SKUs weigh less than 750 grams.

17 Q. So, Dr. Katona, what did you conclude about
18 whether the -- all of the recessed retrofit products and
19 the 6-inch performance downlight products satisfy the
20 element of the lighting device weighing less than 750
21 grams?

22 A. That they, in fact, do.

23 MR. HAMSTRA: Your Honor, yes.

24 JUDGE CHENEY: We have reached the end of the
25 hearing day.

1 So, Dr. Katona, I'll remind you that you
2 shouldn't discuss your testimony with anyone until you
3 return to the stand tomorrow morning.

4 THE WITNESS: Okay. Understood.

5 JUDGE CHENEY: You can step down while I discuss
6 things with the attorneys.

7 THE WITNESS: Thank you, Your Honor.

8 JUDGE CHENEY: Thank you, Dr. Katona.

9 Are there any housekeeping matters that we
10 should talk about before we end the hearing day?

11 First, from the Complainant, Cree.

12 MR. ERWINE: Nothing from Cree Lighting, Your
13 Honor.

14 JUDGE CHENEY: Okay. And from the Respondent,
15 RAB?

16 MR. ROUSH: Nothing from RAB Lighting, Your
17 Honor.

18 JUDGE CHENEY: Let's talk a little bit about the
19 time estimates and how we're doing on our schedule. I
20 received an updated schedule.

21 I appreciate that. It looks like we're still
22 running behind. Are we going to make it up? How are we
23 going to deal with this?

24 Let me hear from Cree.

25 How much time is left with Dr. Katona?

1 MR. ERWINE: I was going to ask my colleague,
2 Mr. Hamstra, but I think it's about 20 minutes on direct
3 examination, Your Honor.

4 JUDGE CHENEY: Okay. I see that we have 20
5 minutes for RAB on cross, and then we were supposed to
6 start Mr. Barna.

7 So we're almost an hour behind schedule. That's
8 what I'm hearing. So how are we going to make it up?

9 MR. ERWINE: So, Your Honor, I think, first of
10 all, in terms of our timing, even with the current
11 estimates, we would still be finishing early in the
12 afternoon on Friday. So I think there's some wiggle room
13 there.

14 JUDGE CHENEY: Okay.
15 RAB, anything to add to that?

16 MR. ROUSH: I agree with Mr. Erwine, Your Honor.

17 JUDGE CHENEY: Okay. Do be mindful because
18 we're closing the record on this one on Friday. We're not
19 going to go into the next week.

20 I'll keep reminding you as we go along.

21 Let me just say that everyone's doing a really
22 excellent job. I'm learning a lot. I'm looking forward to
23 hearing the defense case starting tomorrow.

24 I hope you all are able to get some rest,
25 continue to take care of your health, especially in this

1 time of pandemic. I don't want anyone getting sick because
2 their immune system is worn out.

3 So do take care, and we're off the record for
4 the evening.

5 (Whereupon, the proceedings were adjourned at
6 4:34 p.m.)

7

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1	I N D E X			
2				
3	Witnesses	Direct	Cross	Redirect Re-Cross
4	Michael Lebby, PhD		299	
5	Gerald Negley	323	353	395
6	Mark Edmond	397	413	
7	Christian Wetzel PhD	418	446	
8	Thomas Katona PhD	478		

9				
10				PAGE
11	Afternoon Session			409
12				
13	Confidential Sessions:	292-297;	319-321;	440-442
14				

15	E X H I B I T S			
16	EXHIBIT NO:			RECEIVED
17	*Lists Provided by Counsel to be received in Evidence			
18	CDX-0004C			
19	CDX-0008			
20	CPX-1030C			
21	CX-0208C			
22	CX-0209C			
23	CX-0210C			
24	CX-0211C			
25	CX-0212C			

1 CX-0213C
2 CX-0214C
3 CX-0215C
4 CX-0216C
5 CX-0217C
6 CX-0218C
7 CX-0219C
8 CX-0220C
9 CX-0221C
10 CX-0222C
11 CX-0223C
12 CX-0224C
13 CX-0225C
14 CX-0226C
15 CX-0227C
16 CX-0228C
17 CX-0229C
18 CX-0230C
19 CX-0231C
20 CX-0232C
21 CX-0233C
22 CX-0234C
23 CX-0235C
24 CX-0236C
25 CX-0237C

- 1 CX-0238C
- 2 CX-0239C
- 3 CX-0240C
- 4 CX-0241C
- 5 CX-0242C
- 6 CX-0243C
- 7 CX-0244C
- 8 CX-0245C
- 9 CX-0246C
- 10 CX-0247C
- 11 CX-0248C
- 12 CX-0249C
- 13 CX-0250C
- 14 CX-0251C
- 15 CX-0252C
- 16 CX-0253C
- 17 CX-0254C
- 18 CX-0255C
- 19 CX-0256C
- 20 CX-0257C
- 21 CX-0258C
- 22 CX-0259C
- 23 CX-0260C
- 24 CX-0261C
- 25 CX-0262C

1 CX-0263C
2 CX-0264C
3 CX-0265C
4 CX-0266C
5 CX-0267
6 CX-0315
7 CX-0316C
8 CX-0324
9 CX-0326
10 CX-0352C
11 CX-0370
12 CX-0471
13 CX-0709
14 CX-0710
15 CX-0711
16 CX-0714
17 CX-0715
18 CX-0716
19 CX-0719
20 CX-0720
21 CX-0721
22 CX-0722
23 CX-0723
24 CX-0724
25 CX-0725

1 CX-0726
2 CX-0727
3 CX-0728
4 CX-0729
5 CX-0730
6 CX-0731
7 CX-0732
8 CX-0733
9 CX-0826
10 CX-0830
11 CX-0835
12 CX-0837
13 CX-0838
14 CX-1046
15 CX-1866
16 CX-1867
17 CX-1868
18 CX-1886
19 CX-1903
20 JPX-0036C
21 JPX-0037C
22 JPX-0042C
23 JPX-0074C
24 JPX-0075C
25 JPX-0078C


1 JPX-0087C
2 JPX-0088C
3 JPX-0089C
4 JPX-0090C
5 JPX-0091C
6 JPX-0093C
7 JPX-0094C
8 JPX-0095C
9 JPX-0096C
10 JPX-0097C
11 JPX-0098C
12 JPX-0101C
13 JPX-0109C
14 JPX-0110C
15 JPX-0113C
16 JPX-0115C
17 JPX-0117C
18 JPX-0118C
19 JPX-0119C
20 JPX-0120C
21 JPX-0121C
22 JX-0001
23 JX-0002
24 JX-0003
25 JX-0004

1 JX-0005
2 JX-0062C
3 JX-0092C
4 JX-0102C
5 JX-0103C
6 JX-0104C
7 JX-0105C
8 JX-0106C
9 JX-0111
10 JX-0112
11 JX-0126C
12
13
14
15
16
17
18
19
20
21
22
23
24
25

1 CERTIFICATE OF REPORTER
2 TITLE: Certain Light-Emitting Diode Products, Fixtures,
3 and Components Thereof
4 INVESTIGATION NO: 337-TA-1213
5 HEARING DATE: May 4, 2021
6 LOCATION: Washington, D.C. - Remote
7 NATURE OF HEARING: Evidentiary Hearing

8 I hereby certify that the foregoing/attached
9 transcript is a true, correct and complete record of the
10 above-referenced proceedings of the U.S. International
11 Trade Commission.
12 Date: May 4, 2021

11 SIGNED:



12 Signature of the Contractor of the
13 Authorized Contractor's Representative
14 1220 L Street, N.W., Suite 206
Washington, D.C. 20005


15 I hereby certify that I am not the Court Reporter
16 and that I have proofread the above-referenced transcript
17 of the proceedings of the U.S. International Trade
18 Commission, against the aforementioned Court Reporter's
19 notes and recordings, for accuracy in transcription in the
20 spelling, hyphenation, punctuation and speaker
21 identification and did not make any changes of a
22 substantive nature. The foregoing/attached transcript is a
23 true, correct and complete transcription of the
24 proceedings.

20 SIGNED:

Signature of Proofreader

21 I hereby certify that I reported the above-referenced
22 proceedings of the U.S. International Trade Commission and
23 caused to be prepared from my tapes and notes of the
24 proceedings a true, correct and complete verbatim recording
25 of the proceedings.

24 SIGNED:



25 Signature of the Court Reporter