

UNITED STATES INTERNATIONAL TRADE COMMISSION

In the Matter of:) Investigation No.
CERTAIN LIGHT-EMITTING DIODE) 337-TA-1213
PRODUCTS, FIXTURES, AND)
COMPONENTS THEREOF)

OPEN SESSIONS

Pages: 752 through 987 (with excerpts)
Place: Washington, D.C.
Date: May 6, 2021

HERITAGE REPORTING CORPORATION

Official Reporters

1220 L Street, N.W., Suite 206
Washington, D.C. 20005
(202) 628-4888
contracts@hrccourtreporters.com

1 UNITED STATES INTERNATIONAL TRADE COMMISSION
2 Washington, D.C.
3 BEFORE THE HONORABLE CLARK S. CHENEY
4 Administrative Law Judge

5 - - - - -

6 In the Matter of:) Investigation No.
7 CERTAIN LIGHT-EMITTING DIODE) 337-TA-1213
8 PRODUCTS, FIXTURES, AND)
9 COMPONENTS THEREOF)

10 - - - - -

11
12 United States
13 International Trade Commission
14 500 E Street, Southwest
15 Washington, D.C.

16
17 Thursday, May 6, 2021

18
19 EVIDENTIARY HEARING, Volume IV - REMOTE PROCEEDINGS

20
21
22 The trial commenced remotely, pursuant to the notice
23 of the Judge, at 9:07 a.m. EDT

24
25 Reported By: Marjorie Peters, RMR, CRR, FAPR

1 APPEARANCES:

2 For Complainant IDEAL INDUSTRIES LIGHTING L.C.C.

3 d/b/a CREE LIGHTING:

4 S. ALEX LASHER, ESQ.

5 MADELINE M. SMEDLEY, ESQ.

6 PETER BENSON, ESQ.

7 Quinn Emanuel Urquhart & Sullivan LLP

8 1300 I Street, N.W., Suite 900

9 Washington, D.C. 20005

10

11 RAYMOND N. NIMROD, ESQ.

12 RICHARD W. ERWINE, ESQ.

13 MATTHEW D. ROBSON, ESQ.

14 Quinn Emanuel Urquhart & Sullivan LLP

15 51 Madison Avenue

16 New York, New York 10010

17

18 NATHAN HAMSTRA, ESQ.

19 Quinn Emanuel Urquhart & Sullivan LLP

20 191 N. Wacker Drive, Suite 2700

21 Chicago, IL 60606

22

23 KEVIN JANG, ESQ.

24 Quinn Emanuel Urquhart & Sullivan LLP

25 865 S. Figueroa Street, 10th Floor

Los Angeles, CA 90017

1 APPEARANCES (Continued):
2 For Respondents RAB LIGHTING INC.:
3 DAVID A. HICKERSON, ESQ.
4 GEORGE C. BECK, ESQ.
5 BRADLEY ROUSH, ESQ.
6 MOLLY HAYSSEN, ESQ.
7 Foley & Lardner LLP
8 Washington Harbour
9 3000 K Street, N.W., Suite 600
10 Washington, D.C. 20007
11 202-672-5300
12
13 JONATHAN E. MOSKIN, ESQ.
14 MITCHELL POIRIER, ESQ.
15 Foley & Lardner LLP
16 90 Park Avenue, 39th Floor
17 New York, New York 10016
18 212-682-7474
19
20 RICHARD SPENCER MONTEI, ESQ.
21 Foley & Lardner LLP
22 321 North Clark Street Suite 3000
23 Chicago, Illinois 60654-4762
24 Rmontei@foley.com
25 312.832.4354

1 APPEARANCES (Continued):

2

3 KIRI LEE SHARON, ESQ.

4 Foley & Lardner LLP

5 3000 K Street, N.W., Suite 600

6 Washington, D.C. 20007

7 202-672-5300

8

9 ** Index appears at end of transcript **

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 P R O C E E D I N G S (9:07 a.m.)

2 JUDGE CHENEY: We're on the record now in the
3 1213 Investigation.

4 Before we concluded yesterday's hearing, we were
5 listening to the direct testimony of Respondent RAB's
6 technical expert, Dr. Jiao, who is testifying concerning
7 the '819 and '531 Patents.

8 Dr. Jiao, welcome back to the stand this
9 morning. I'll remind you that you remain under oath.

10 Before we resume the examination, let's doing
11 any housekeeping matters.

12 MR. HAMSTRA: Your Honor, Nathan Hamstra on
13 behalf of Cree Lighting.

14 Last evening, RAB, pursuant to the parties'
15 agreed schedule, served Dr. Josefowicz's, RAB's expert on
16 the '570 Patent, his demonstratives. We have met and
17 conferred, but we have a -- we have sort of reached an
18 impasse on a broad category of objections, namely as to
19 references that were discussed only as background or only
20 as secondary obviousness references.

21 Dr. Josefowicz is now offering anticipation
22 opinions, four or five new ones based on these references
23 that were, at one point, only obviousness references.

24 We've met and conferred to some extent with
25 opposing counsel this morning, but we're initially just

1 seeking some guidance as to when and whether you would like
2 us to raise that issue.

3 JUDGE CHENEY: So Dr. Josefowicz?

4 MR. HAMSTRA: Dr. Josefowicz, I believe.

5 JUDGE CHENEY: Thank you.

6 So Dr. Josefowicz is going to testify later
7 today; is that right?

8 MR. HAMSTRA: Yes, he should be today.

9 MR. MOSKIN: That's correct.

10 JUDGE CHENEY: Is he immediately following
11 Dr. Jiao?

12 MR. HAMSTRA: No.

13 MR. MOSKIN: No.

14 MR. HAMSTRA: Mr. -- or Dr. Akemann, is that the
15 right name, the economics expert will be testifying in the
16 interim.

17 JUDGE CHENEY: Okay. Well, why don't we hash
18 this out right now.

19 Let me hear from counsel for RAB about what
20 exactly they want Dr. Josefowicz to say that is being
21 objected to. I am open to looking at materials on the
22 screen, if that will make this discussion more organized.

23 MR. MOSKIN: Let me -- yeah, let me address
24 this, at least at a high level, and we did -- as
25 Mr. Hamstra noted a moment ago, we had a meet and confer

1 about 20 minutes ago or half an hour ago, and what I
2 promised to do, but we're still in the process of
3 assembling is what we believe is a considerably more
4 extensive discussion in the prehearing brief about these
5 four or five, I think it's four, prior art references
6 that -- about which Mr. -- Dr. Josefowicz contemplates
7 offering testimony.

8 Naturally, because he's not a lawyer, he's not
9 going to purport to opine on either obviousness or
10 anticipation, but rather, simply to identify these prior
11 art references of which he's aware and identify
12 similarities as a non-lawyer.

13 We've also suggested that because there's --
14 we've just begun the process of -- we have about ten pages
15 of text from the prehearing brief addressing these issues,
16 and one of the things that I proposed to Mr. Hamstra is
17 that if the testimony were to proceed, we have no objection
18 to them reserving their right to continue to pursue this
19 move to strike testimony after the hearing.

20 It's obviously considerable volume of material
21 to go through and consider whatever prejudice there is or
22 isn't, and whether we have complied or haven't complied
23 with Your Honor's ground rules. I think we're -- our view
24 is from our initial assessment that we're -- you know, we
25 are on reasonably strong footing.

1 I would concede, and I offered this to
2 Mr. Hamstra, there's one patent that's really more in the
3 nature of background. It's a 70-year-old patent, from
4 1949, and I offered that we wouldn't -- just to facilitate
5 this issue and move on, we would not address that in
6 Dr. Josefowicz's testimony, but the rest, I think we can
7 adequately support that we've given ample disclosure to
8 Cree Lighting.

9 I would say also, even as to that one other
10 70-year-old patent, the -- most of Dr. Josefowicz's
11 deposition testimony concerned it so I don't -- but again,
12 we would -- it's not my decision to make. It's Your
13 Honor's. We would, if it facilitates resolution of this
14 matter so we can at least present the testimony and be
15 considered in full, when the parties have an opportunity to
16 adequately present the -- first, to complete their meet and
17 confer, and then if need be, brief the issue to Your Honor,
18 that would be our proposal.

19 JUDGE CHENEY: Okay. My initial reaction is
20 that it's way too late for all of this, for meet and
21 conferring. We've had Motions in Limine. If this was
22 something that the other side had notice of -- and I think
23 we had a Motion in Limine on this very topic, and I'm
24 concerned that this is all coming up right now.

25 MR. HAMSTRA: Your Honor, if I may respond

1 briefly to that point.

2 JUDGE CHENEY: Sure.

3 MR. HAMSTRA: So the reason why we're so
4 concerned about all of this is that there was no way for us
5 to be aware of these opinions, that he was intending on
6 delivering these opinions until last night. They were not
7 part of his expert reports, they were not part of his
8 deposition testimony, and they were not part of the
9 prehearing brief.

10 So we are raising this issue as soon as we
11 possibly can, and these -- and to call them anything other
12 than new anticipation opinions is beggaring belief.

13 They go through limitation by limitation, and
14 map every limitation to these pieces of prior art that
15 were, at one point, only background or secondary
16 obviousness references.

17 JUDGE CHENEY: So, Mr. Hamstra, do you concede
18 that Dr. Josefowicz did describe the scope and content of
19 references in question in his expert report?

20 MR. HAMSTRA: Some of the references --

21 JUDGE CHENEY: The scope and content, did he
22 describe the scope and content of the references?

23 MR. HAMSTRA: Some of the scope and content for
24 all other than the 70-year-old reference, which was not
25 discussed until his rebuttal report.

1 JUDGE CHENEY: Okay. That 70-year-old reference
2 was never on a notice of prior art that was required to be
3 filed before the expert reports anyway?

4 MR. HAMSTRA: That's correct.

5 MR. MOSKIN: No, it was not.

6 JUDGE CHENEY: Sorry. I need one person at a
7 time.

8 Mr. Hamstra.

9 MR. HAMSTRA: I will defer to Mr. Moskin's
10 admission in that regard.

11 JUDGE CHENEY: Mr. Moskin.

12 MR. MOSKIN: Yeah, I -- I didn't mean to speak
13 over Mr. Hamstra. I took that as a question directed to
14 me.

15 And that's correct, Your Honor. That's also
16 what -- go ahead. I'm sorry.

17 JUDGE CHENEY: Okay. So the 70-year-old
18 reference is out. We're not going to talk about that.

19 The other references, Mr. Hamstra, do you have
20 any objection to Dr. Josefowicz describing the scope and
21 content of the other references in question so long as he
22 does not offer an opinion at the hearing that those
23 references anticipate the inventions in question?

24 MR. HAMSTRA: To the extent the discussion of
25 the scope and content was earlier disclosed, no objection,

1 Your Honor.

2 JUDGE CHENEY: Okay.

3 So that sounds like what Mr. Moskin wants to
4 happen today. He wants -- is that right, Mr. Moskin, you
5 want Dr. Josefowicz to be able to describe the scope and
6 content of the references on the record today?

7 MR. MOSKIN: That's correct, Your Honor.

8 JUDGE CHENEY: Okay. So I think we can do that
9 much.

10 Now, as for attorney argument later about
11 anticipation, I'm not going to allow new arguments that
12 contradict my ground rules. That just undermines the whole
13 point of these rules, and preparing for an orderly trial
14 that the other side has notice of.

15 So I will not entertain arguments that my ground
16 rules say needed to be raised earlier.

17 Does that resolve the issue from your
18 perspective, Mr. Hamstra?

19 MR. HAMSTRA: Yes. The issue we raised this
20 morning. Yes, it resolves that issue.

21 JUDGE CHENEY: Okay.

22 Mr. Moskin, any further comment or question?

23 MR. MOSKIN: No. Thank you, Your Honor.

24 JUDGE CHENEY: Okay. Always the PTAB, if you
25 want to run off to the PTAB, and make some new arguments.

1 Mr. Hamstra, any other housekeeping matters
2 before we go back to testimony?

3 MR. HAMSTRA: No, Your Honor. Thank you.

4 JUDGE CHENEY: Is there any motion for --

5 MR. ERWINE: Your Honor.

6 JUDGE CHENEY: Oh, sorry.

7 MR. ERWINE: Yeah. Apologies. There was one
8 housekeeping item with respect to exhibits from yesterday,
9 which I think you were alluding to. I believe it is the
10 exhibits from the testimony of Dr. Katona and Dr. Shackle.
11 I don't believe there's any disputes at this point, so I
12 guess I would move to admit those exhibits into evidence,
13 and continue to work with the court reporter as we have
14 done in the past.

15 JUDGE CHENEY: Mr. Moskin, any objection to the
16 list of exhibits identifies by Mr. Erwine?

17 MR. MOSKIN: No objection, Your Honor.

18 JUDGE CHENEY: Okay. The exhibits that were
19 used in the testimony of Dr. Katona and Dr. Shackle will be
20 entered into the record, and counsel should coordinate with
21 the court reporter to ensure accurate transcription of that
22 list.

23 (Exhibits, as submitted by counsel and reflected
24 in the attached index, were received into evidence.)

25 MR. ERWINE: Thank you, Your Honor.

1 JUDGE CHENEY: Now, what about exhibits for the
2 first part of Cree's -- sorry, of RAB's defense; is there a
3 motion for admission of those?

4 MR. MOSKIN: Your Honor, I didn't -- excuse me.
5 Mr. Roush, do you want to respond to that?

6 MR. ROUSH: We'll similarly move to admit the
7 exhibits before he is through, Dr. Shackle and Dr. Katona.

8 JUDGE CHENEY: I'm sorry. You're going to have
9 to repeat that.

10 MR. ROUSH: What was your question again, Your
11 Honor?

12 JUDGE CHENEY: Is there a motion to move for the
13 admission of exhibits from RAB's witnesses that testified
14 yesterday?

15 MR. ROUSH: Yes, Your Honor. We would like to
16 move for admission of the exhibits that we put forth
17 through Dr. Shackle and Dr. Katona.

18 JUDGE CHENEY: What about Mr. Barna? What about
19 Dr. Jiao's opening?

20 MR. ROUSH: Oh, yes, Your Honor. We would also
21 like to move for the admission of exhibits put forth
22 through -- for Mr. Barna.

23 I think the part -- since Dr. Jiao's testimony
24 is yet to conclude, I think we have been moving for
25 exhibits after the conclusion of the testimony. So we were

1 planning to move for Dr. Jiao's exhibits tomorrow morning.

2 JUDGE CHENEY: Okay.

3 So what we have on the table now is exhibits
4 used in a cross-examination of Dr. Shackle and Dr. Katona
5 and the direct examination of Mr. Barna; is that right,
6 Mr. Roush?

7 MR. ROUSH: Yes, Your Honor.

8 JUDGE CHENEY: Any objection to the admission of
9 those exhibits?

10 MR. ERWINE: Yeah. Just to be clear, Your
11 Honor. And I apologize. I was -- I was speaking for
12 both -- one of our witnesses, and one of RAB's witnesses.

13 Dr. Katona is Cree Lighting's expert.
14 Dr. Shackle is, in fact, RAB's technical expert. So when I
15 spoke, I was just basically speaking for both.

16 So there's no dispute or disagreement there.

17 With respect to Mr. Barna, I do not believe any
18 documents were identified, and so there would not be any
19 exhibits that would be entered into evidence through his
20 testimony.

21 MR. MOSKIN: That's correct, Your Honor.

22 JUDGE CHENEY: Okay. So from this explanation,
23 it sounds like there doesn't need to be a second motion.

24 The things that were admitted earlier cover
25 everything up to now; is that right, Mr. Erwine?

1 MR. ERWINE: That is correct.

2 JUDGE CHENEY: Is that right, Mr. Roush?

3 MR. ROUSH: Yes, Your Honor.

4 JUDGE CHENEY: Okay. Any other housekeeping
5 matters that we need to go over this morning?

6 MR. ERWINE: Nothing for Cree Lighting, Your
7 Honor.

8 JUDGE CHENEY: Anything for RAB?

9 MR. ROUSH: Nothing from RAB, Your Honor.

10 JUDGE CHENEY: Can we go off the record for a
11 minute.

12 (Off the record.)

13 JUDGE CHENEY: We're back on the record now
14 after taking a moment to work out some audio issues.

15 Sounds like we're now ready to resume the direct
16 examination of Dr. Jiao, who, as a reminder for me and the
17 transcript, is RAB's technical expert on the '819 and '531
18 Patents.

19 Good morning, Dr. Jiao. Can you see and hear
20 me?

21 THE WITNESS: Good morning, Judge Cheney.

22 JUDGE CHENEY: Okay. Mr. Moskin, the floor is
23 yours.

24 MR. MOSKIN: Now that I'm unmuted, thank you
25 very much, Your Honor.

1 CONTINUED DIRECT EXAMINATION

2 BY MR. MOSKIN:

3 Q. When we broke yesterday, Dr. Jiao, we were
4 discussing the Ibbetson report, and I think I think one
5 last question about that before we move on.

6 Does the Ibbetson report, as you understand it,
7 disclose a lighting device that receives power from a wall
8 plug?

9 A. Yes. That's my understanding.

10 Q. Is that necessary for any LED lighting device to
11 operate?

12 A. Yes.

13 Q. Does the Ibbetson report say anything about the
14 drive current at which the modules were operated?

15 A. It describes the LED level's current density.

16 (Clarification requested by the Court Reporter.)

17 Q. Can we -- Mr. Haw, can we pull up Exhibit
18 RX-493, and which shows the cover page of US Patent
19 8,125,137.

20 I just ask if you recognize this patent?

21 A. Yes.

22 Q. If I refer to it as "Medendorp," will we
23 understand one another?

24 A. Yes.

25 Q. Let's go to slide 64 of your demonstrative

1 exhibits.

2 Are you aware whether the Medendorp patent
3 has -- what is the filing date?

4 A. Perhaps we can --
5 May 2, 2007.

6 Q. Thank you.

7 Broadly speaking, what does the Medendorp patent
8 disclose?

9 A. This patent discloses a light fixture, and it's,
10 namely, as a lamp, and that lamp was able to achieve
11 efficacy at 65 to 85 lumens per watt with a high CRI value
12 of 90.

13 Q. Does the lighting device described in the patent
14 receive power from a driver?

15 A. It does.

16 Q. And would that be the control circuit?

17 A. Yes. You may allow me to clarify.

18 In the United States, we have standard
19 terminologies to describe LED lighting devices. In such
20 standards, namely, ANSI/IES RP16, the driver is defined as
21 the operation unit that converts AC to DC and operating the
22 LEDs with control circuits or circuitry.

23 The various different users may use the word
24 interchangeably with the driver. In this particular
25 patent, per my understanding, the circuitry is referring to

1 the driver, which is the standard definition.

2 Q. I think you mentioned this a moment ago.

3 Does the Medendorp patent disclose particular
4 efficacy ranges of the device tested or the device shown?

5 A. Yes. 60 to 85 lumens per watt.

6 Q. Now, is it your opinion that the Medendorp
7 patent discloses each of the claims of the '531 Patent?

8 A. Correct.

9 Q. Why is that?

10 A. It is achieved 65 lumens per watt.

11 Q. It also achieves efficacies of 80 -- discloses
12 having achieved efficacies of 85 lumens per watt; correct?

13 A. Correct.

14 Q. Let's pull up RX- -- oh, excuse me.

15 Before we move on, Dr. Wetzel offered an opinion
16 that Medendorp does not meet the limitations of the '531
17 claims because a control circuit is not a driver.

18 Do you agree with Dr. Wetzel's opinion?

19 A. I disagree.

20 Q. Is that for the reasons you explained a moment
21 ago?

22 A. Correct. Again, the driver is a standard
23 terminology, and circuitry often was used to describe the
24 driver when they had not fully understood the industry
25 terminology definition yet.

1 Q. Can I turn your attention -- excuse me, let's
2 pull up RAB Exhibit RX-38.

3 Can you identify what is now being shown as
4 RX-38?

5 A. Yes. This is a publication by Narukawa dealing
6 with high-efficiency white LEDs.

7 Q. Let's go to the demonstrative slide Exhibit 5 --
8 or page 65, and ask if you can explain what is shown here?

9 A. This publication of prior art demonstrates in
10 the LED level, and a high efficacy was achieved, in
11 particular, and the current dependency of efficacy is shown
12 in this figure.

13 At the lower driving current for the LEDs, with
14 these points being measured, and in between is the line
15 reflects the trend. And this chart shows at lower current,
16 and the efficacy is higher than -- could be higher 174
17 lumen per watt.

18 Q. What was the lower current disclosed; is that 20
19 milliamps?

20 A. Well, 20 milliamps is where the circled, yes.

21 Q. Can you explain what Narukawa discloses about
22 the efficiency of white LEDs?

23 A. This work demonstrates in LED package level or
24 light source level, very high efficacy can be achieved, in
25 particular if it is operated in a lower current.

1 Q. Does Narukawa explain the lumen efficacy of 174
2 lumens per watt can be reached at 20 milliamps?

3 A. This figure demonstrates in the statement just
4 stated in the lower current, 174 lumens per watt of
5 efficacy can be achieved -- was achieved.

6 Q. Does Narukawa offer any -- provide any statement
7 or explanation or statement about the goals of replacing
8 existing lighting with higher efficacy goals -- higher
9 efficacy levels, excuse me?

10 A. This is demonstrated their work, and yes, the
11 goal is to continue to improve the LED source level
12 efficacy.

13 Q. Does the Narukawa reference disclose a light
14 fixture?

15 A. No. It is a light source level -- LED light
16 source level of efficacy.

17 Q. Can you explain how the efficiency of a white
18 LED package relates to the wall plug efficiency of a full
19 LED light fixture?

20 A. The light source efficacy is the light -- the
21 capability of the light source itself, and in order to
22 integrate the light source into a lighting device, namely,
23 a lamp or a luminaire, and other devices must be used such
24 as a driver, optics, sometimes, and other mechanical
25 devices.

1 And we may -- it may introduce -- further
2 introduce electrical optical thermal losses.

3 So in general, the lighting device level of
4 efficacy is lower than light source level of efficacy.

5 Q. What was the typical efficacy for LED drivers at
6 the time?

7 A. The driver at the time of the patent -- asserted
8 patent was filed, it was not too bad. I think there's --
9 one of the patents introduced the commercially available
10 driver.

11 By looking at these driver data sheets, it could
12 be in the range of 90 percent electrical efficacy.

13 Q. What were the efficiency levels, to your
14 knowledge at the time, of high process -- or highly
15 reflective optical components?

16 A. Optical efficacy can be high. In particular, it
17 depends upon the different lighting applications, and
18 there's -- even the patent discloses the optical efficiency
19 is above 90 percent.

20 Q. Let's call up RAB Exhibit 24.

21 Do you recognize this document, which is Patent
22 Number 7,737,643?

23 A. Yes.

24 Q. What do you recognize it to be?

25 A. This is the patent for the driver used for LED

1 lighting devices filed July 20, 2007.

2 Q. Let's go to the demonstrative exhibit, slide 66.

3 Does this reference disclose anything about the
4 power conversion efficacy of power supplies?

5 A. It does. The driver is the standard terminology
6 again, that includes the power supply, and that converts AC
7 to DC, and regulates the current to operate the LED.

8 So this patent discloses that device that was
9 capable to achieve 80 percent electrical efficacy.

10 Q. Let's pull up RAB Exhibit 54, RX-54.

11 And do you recognize this document?

12 A. Yes.

13 Q. What do you recognize it to be?

14 A. This is a product spec sheet from the driver
15 manufacture, Supertex, Inc., was referring to a specific
16 model of the driver that was disclosed in the '531 Patent.

17 Q. What was the efficacy levels disclosed?

18 A. 90 percent.

19 Q. Let's pull up JX-157.

20 Do you recognize JX-157?

21 A. Yes.

22 Q. What is it?

23 A. This is a letter from an individual from Cree,
24 whose name is Mark McClear.

25 Q. Addressed to whom?

1 A. I believe it's addressed to DOE, right.

2 Q. Okay. Let's go to demonstrative slide 1 -- 68.

3 Excuse me.

4 Does this letter disclose any information about
5 efficiency of Cree's own drivers?

6 A. This particular letter did describe the driver
7 loss, according to the letter, which it was estimated was
8 15 percent driver loss, electrical loss.

9 Q. Can you provide a conservative estimate as the
10 percentage relationship between efficiency or efficacy of
11 white LED package and the wall plug efficiency of a light
12 fixture using such LED packages?

13 A. At the time frame these two asserted patents
14 were filed, the -- there is a various of estimations
15 regarding the LED light source level of efficacy to the LED
16 lighting devices level of efficacy, and typically 70
17 percent of overall conversion is very conservative number.

18 Q. In a laboratory environment, could losses be
19 minimized further?

20 A. Yes.

21 Q. Could you explain that a little bit further?

22 A. Laboratory level, and I also demonstrate it
23 through other prior arts, and the driver's electrical
24 efficacy can be very, very high, so as the optical efficacy
25 for the optical elements. For that reason, in a laboratory

1 environment, you can achieve very high efficacy of these
2 conversions from light source to lighting devices, and over
3 90 percent is feasible.

4 Q. How do you calculate or obtain accurate wall
5 plug efficiency numbers for an LED lighting device?

6 A. Oh, you must measure. And these things --
7 actually, you don't measure the efficacy. You can only
8 measure the luminous flux, which is total lumen using
9 photometers. Anything related, photometric measurement,
10 then you measure the input power, but the definition has to
11 be a real power to the device.

12 And these measurements have to be repeatable,
13 reliable, consistent. That's why these measurements are
14 truly important.

15 Q. Let's pull up Exhibit 745, RX-745.

16 Do you recognize this document?

17 A. Yes.

18 Q. What do you recognize it as?

19 A. This is a presentation made on July 16, 2008, by
20 the two inventors for '819 and '531 Patent.

21 Q. Let's go to slide 69.

22 Can you explain what the underlying Exhibit
23 RX-75 disclosed about light fixture efficiency levels?

24 A. This is disclosed the concept of optical loss,
25 electrical loss, and thermal loss that is integrating the

1 LED light sources into a lighting fixture or light fixture
2 or lighting devices.

3 And this presentation provides estimated number
4 of 60 percent efficacy from source level to the light
5 device level, lighting device level.

6 Q. I want to refer you to -- we can take this slide
7 down -- the '531 Patent, the claims of the '531 Patent.

8 Would a person of ordinary skill have had a
9 reason to build a lighting device with a package at the
10 efficiency level of 174 lumens per watt, such as that
11 disclosed by Narukawa?

12 A. Yes. If the LED light source efficacy was
13 available, then yes.

14 Q. Okay. Was it -- do you have an opinion whether
15 it was well known how LED packages are used for this
16 purpose?

17 A. Yes. With that prior art disclosed LED package
18 level efficacy, and also known at the time the driver's
19 efficacy, LED lighting device levels, wall plug efficacy
20 could be achieved as claimed.

21 Q. I'm sorry. I didn't mean to speak over you.

22 Can you provide a conservative estimate as to
23 the wall plug efficiency of a lighting device that could
24 have been made using the Narukawa white LED packages?

25 A. I think I testified earlier, industry has --

1 during that time frame, has a pretty conservative estimate
2 of 70 percent.

3 Q. Applying that estimate to the -- a device using
4 the Narukawa package, what would the overall fixture
5 efficacy be, as you can -- as best you can estimate?

6 A. I estimated that with that kind of level of LED
7 device efficacy, with all the losses estimated, all the
8 claims in '531, 85 lumen per watts or other claims can be
9 achieved.

10 Q. Can you give a specific number?

11 A. At least more than 113.5 lumen per watts.

12 Q. Okay. Thank you.

13 Before we move on, can we just pull up the
14 Medendorp patent, which is Exhibit 493?

15 While that is being done, I'm going to want to
16 direct your attention to Claims 12 and 22 of the Medendorp
17 patent.

18 I apologize for the brief delay.

19 I'm just showing you on the screen what's
20 disclosed in Claims 12 and 22. Is this correct, is this
21 your understanding of what the Medendorp patent discloses,
22 a lighting device having at least 85 lumens per watt?

23 A. That is correct. And also, CRI at least 90.

24 Q. Correct. All right. You can take that down.

25 Dr. Wetzel opined that the '819 and '531 Patents

1 solved a long-felt but unresolved need.

2 Do you have any opinion, view as to whether
3 Dr. Wetzel is correct?

4 A. I disagree with him.

5 Q. Why is that?

6 A. These two patents did not disclose any solutions
7 for improving the LED lighting level of efficacy other than
8 disclose a method of using BSY+R, which is not being used
9 today for the industry.

10 More importantly, the LED lighting device level
11 of efficacy is -- highly depends upon -- essentially
12 depends upon the LED source level of efficacy, which was
13 not disclosed at all in these two patents.

14 Q. Thank you.

15 I believe you also testified yesterday that none
16 of the test data from CSA and NIST exceeded 113.5 lumens
17 per watt.

18 Do you recall that?

19 A. Yes.

20 Q. Those tests by CSA and NIST were on LLF
21 prototypes that used blue-shifted yellow emitters in
22 combination with red LED emitters; is that correct?

23 A. Yes.

24 Q. In your view, is there anything in the '819 or
25 '531 Patent specifications that teaches how to make a light

1 fixture with a wall plug efficiency greater than 60 lumens
2 per watt using only phosphor-converted LEDs emitting white
3 light?

4 A. No, it did not.

5 Q. Just one last thing, for housekeeping purposes,
6 I wonder if we can call up Exhibit RX-756, and just simply
7 have you identify the underlying document for the record.

8 Can you simply identify what's shown on the
9 screen now as Exhibit 756?

10 A. This is a publication that's integrated into the
11 proceedings SPIE by George Craford.

12 MR. MOSKIN: Thank you, Dr. Jiao. I have no
13 further questions.

14 JUDGE CHENEY: Is there any cross-examination
15 for this witness?

16 MR. ERWINE: Yes, Your Honor.

17 JUDGE CHENEY: Please proceed when you are
18 ready, Mr. Erwine.

19 MR. ERWINE: Thank you very much.

20 CROSS-EXAMINATION

21 BY MR. ERWINE:

22 Q. Good morning, Dr. Jiao. Nice to see you again.

23 A. Good morning, Richard. Nice to see you, too.

24 Q. Just for record, Richard Erwine of Cree
25 Lighting. I have a few questions for you today, Dr. Jiao.

1 Dr. Jiao, did you listen to the opening
2 statements in this trial?

3 A. Yes, I did.

4 Q. And were you present for Mr. Negley's testimony?

5 A. Yes, I was.

6 Q. How about Mr. Edmond?

7 A. Yes, partially.

8 Q. All right. How about Dr. Wetzel?

9 A. Yes.

10 Q. Now, Dr. Jiao, you've testified yesterday and
11 today regarding the validity of the '819 and '531 Patents;
12 correct?

13 A. Correct.

14 Q. But you were not asked to provide opinions
15 disputing infringement of the asserted claims of the '819
16 Patent; correct?

17 A. Correct.

18 Q. Nor were you asked to provide any opinions
19 disputing technical domestic industry of the asserted
20 claims of the '819 Patent?

21 A. That is correct.

22 Q. And you were not asked to provide any opinions
23 disputing infringement of the asserted claims of the '531
24 Patent?

25 A. Yes, correct.

1 Q. Nor were you asked to provide any opinions
2 disputing technical domestic industry of the asserted
3 claims of the '531 Patent; correct?

4 A. Yes.

5 Q. You understand that RAB stipulated to both
6 infringement and technical domestic industry for the
7 asserted claims of the '819 and '531 Patents; correct?

8 A. Yes.

9 Q. Now, Dr. Jiao, do you recall that you testified
10 at length yesterday and today regarding this BSY+R color
11 scheme used by the '819 and '531 Patent inventors?

12 A. Yes, I did.

13 Q. The '819 and '531 Patent specifications discuss
14 the BSY+R color scheme; correct?

15 A. Correct.

16 Q. But you agree that none of the asserted claims
17 by their terms recite the BSY+R color scheme?

18 A. That's correct.

19 Q. Now, for purposes of enablement yesterday you
20 discussed something called Wands factors, W-A-N-D-S;
21 correct?

22 A. That's correct.

23 Q. And you discussed a few of those; is that right?

24 A. In the testimony, and I was asked a few
25 questions that related to a few Wands factors.

1 Q. One of those included the Wands factor, the
2 breadth of the claims; is that correct?

3 A. That is correct.

4 Q. You testified that the breadth of the claims
5 covers all lighting applications for both the '819 and '531
6 Patents; is that right?

7 A. That is correct.

8 Q. But you agree that the '819 Patent claims are
9 only directed to lighting devices using at least one LED;
10 correct?

11 A. Correct.

12 Q. So it's not all lighting applications. It's LED
13 lighting applications; correct?

14 A. What I said is LED lighting applications for all
15 application -- all lighting applications.

16 Q. Okay. You agree that the '531 claims are only
17 directs to lighting devices using one solid-state light
18 emitter; correct?

19 A. Yes.

20 Q. Now, for this Wands factor, you also testified
21 that the claims offer all approaches, and are not limited
22 to BSY+R; correct?

23 A. That is correct.

24 Q. For purposes of your prior art analysis, you did
25 not prior that the prior art you rely on show this BSY+R

1 color scheme?

2 A. No, because that BSY+R, as you stated,
3 Mr. Erwine, was not in the claim.

4 Q. All right. So is it your view that the asserted
5 claims are only enabled for BSY+R?

6 A. It's my view that the asserted claim has not
7 enabled anything.

8 Q. So it's your view that the asserted claims are
9 not enabled for the BSY+R color scheme?

10 A. It is my opinion, and -- first of all, in '819
11 Patent, the first embodiment was not the same as the test
12 numbers that submitted to the non-provisional application,
13 79.79 lumens per watt. It is my opinion that approach is
14 BSY+R, but it's not a first embodiment.

15 It is also my opinion that '531 Patent discloses
16 113.5 lumens per watt. That is only for BSY+R.

17 Q. Thank you, Dr. Jiao. I would appreciate it if
18 you would try to stay focused on my question.

19 What I'm trying to understand, is it your view
20 that the asserted claims are only enabled for the BSY+R
21 color scheme?

22 A. Yes.

23 Q. All right. So it's your view that they are only
24 enabled for the BSY+R color scheme; is that correct?

25 A. Correct.

1 Q. All right. Thank you, Dr. Jiao. Let me move
2 on.

3 You have offered opinions in this investigation
4 that the '819 and '531 Patent specifications failed to
5 enable the asserted claims of those patents; is that
6 correct?

7 A. That is correct.

8 Q. You understand that the '819 Patent was asserted
9 at a previous ITC investigation; correct?

10 A. As far as I remember, yes.

11 Q. Do you recall that that was the '947
12 investigation?

13 A. I do not remember the investigation number.

14 Q. Okay. Do you recall that in that investigation
15 the ALJ issued an initial determination?

16 A. I think in my deposition one of your attorneys
17 mentioned that.

18 Q. Okay. Well, let me ask you this: In
19 formulating your enablement opinions, you did not consider
20 that initial determination from the 947 Investigation,
21 finding that the '819 Patent claims were enabled; correct?

22 A. That didn't change my opinion. Even with my
23 awareness for that decision, it did not change my opinion.

24 Q. But you didn't consider it at all in formulating
25 your opinions; correct?

1 A. No.

2 Q. In fact, you did not even review the ALJ's idea
3 at all in formulating your opinions?

4 A. I did not.

5 Q. All right. Now, Dr. Jiao, you also testified
6 that you reviewed the '819 prosecution history in
7 formulating your opinions; is that right?

8 A. Yes, I reviewed specific related sections.

9 Q. Did you review the whole thing?

10 A. I read through the whole thing, but I believe
11 it's thousands of pages --

12 Q. Okay.

13 A. -- or hundreds of pages.

14 Q. You understand that the PTO examiner considered
15 certain 112 issues during prosecution of that patent;
16 correct?

17 A. Correct.

18 Q. Including enablement; correct?

19 A. Correct.

20 Q. The PTO examiner found that the '819 Patent
21 satisfied the enablement requirement and ultimately issued
22 the patent; correct?

23 A. Well, there is an argument for enablement in the
24 prosecution history where encountered the argument -- the
25 inventors submitted two reports, and that's where the

1 argument -- it was not further discussed.

2 I did not understand why the examiner didn't
3 further discuss that subject. The enablement was clearly
4 argued during the prosecution history.

5 Q. You agree that the enablement argument was
6 ultimately rejected, and the patent was issued; correct?

7 A. Well, patent was issued. That's why we're here.
8 We're disputing that.

9 Q. But in your view, the patent and trademark
10 examiner got it wrong in rejecting that enablement
11 argument?

12 A. In my opinion, that opinion was not further
13 discussed. For whatever reason, I cannot speculate.

14 Q. Thank you, Dr. Jiao.

15 Now, Dr. Jiao, you agree that the 2006 to 2008
16 time period is the relevant time period for considering
17 enablement; correct?

18 A. Yes.

19 Q. One considers the time of the invention for
20 purposes of enablement; is that correct?

21 A. Correct.

22 Q. Now, in that time period, in the 2006 to 2008
23 time period, a person of skill in the art would have been
24 knowledgeable about different types of LEDs that could be
25 used in a lighting device; correct?

1 A. My answer was yes, correct.

2 Q. I'm sorry. I did not hear you. I apologize.

3 In the 2006 to 2008 time frame, a person of
4 skill in the art would have been knowledgeable about
5 different types of phosphors that could be used in an LED
6 package; correct?

7 A. That is correct.

8 Q. In that time frame, a person of skill in the art
9 would have been knowledgeable about the efficacies of LED
10 light sources; correct?

11 A. That is correct.

12 Q. So in your view, a person of skill in the art at
13 the time -- at the time of the invention would have been
14 able to determine such efficacies for such LED light
15 sources?

16 A. The light source efficacy was -- at that time
17 frame was typically disclosed by the source manufacturers.

18 Q. In that same time frame, the 2006 to 2008 time
19 frame, a person of skill in the art would have been
20 knowledgeable about different types of lighting
21 applications such as troffers and downlights; correct?

22 A. Correct.

23 Q. In that time period, a person of skill in the
24 art would have been knowledgeable about desired color
25 temperatures in the different types of lighting

1 applications; correct?

2 A. That is correct.

3 Q. A person of skill in the art at the time of the
4 invention would have been able to choose an LED based on
5 what the desired color temperature range was; correct?

6 A. That is correct.

7 Q. Now, you testified yesterday that a person of
8 skill in the art at the time of the invention would not
9 have been able to determine the color content from the '819
10 and '531 Patent specifications; is that right?

11 A. That's correct.

12 Q. All right. Could I ask, Dr. Jiao, that you take
13 a look at JX-1, which is the '531 Patent. And I'm hopeful
14 that my colleague, Mr. Jay, can pull that up.

15 I'd ask if you can take a look at Figure 1 of
16 the '531 Patent, and let me know when you see that.

17 A. I have seen that, yes.

18 Q. Okay. You agree that Figure 1 relates to what
19 you call the only disclosed embodiment of the '531 Patent?

20 A. That is correct.

21 Q. All right. If we can pull up next to Figure 1
22 an excerpt from the '531 Patent, specifically column 21,
23 lines 32 to 45.

24 Do you see that excerpt from the '531 Patent
25 specification, Dr. Jiao?

1 A. Yes.

2 Q. And you see that this is describing the LEDs 30
3 and 32 that are used in Figure 1?

4 A. That is correct.

5 Q. I ask if you could -- if you could read into the
6 record the language that begins on line 34, starting with
7 "the LEDs 30 and 32 are selected."

8 A. "The LEDs 30 and 32 are selected so as to
9 provide the desired mixed color point."

10 Q. I would ask if you could read the rest of the
11 excerpt, Dr. Jiao.

12 A. "In particular, the LEDs are phosphor-converted
13 LEDs having color points that are close to the line between
14 x, y coordinates of 1931 CIE diagram of 0.3431, 0.3642, and
15 0.3625, 0.3707, and LEDs having color points that are close
16 to a line between x, y coordinates are 1931 CIE diagram of
17 0.3638, 0.4010, and 0.3844, and 0.4400."

18 Q. The remaining text says, "The phosphor LEDs have
19 outputs that range from 108.2 lumens to 112.6 lumens at 350
20 milliamps. The saturated LEDs have color points at x, y
21 coordinates of the 1931 CIE diagram of about 0.6809,
22 0.3189, and a peak wavelength of about 622 nanometers."

23 Did I read that correctly?

24 A. You read it very correctly.

25 Q. Was this something that you considered when you

1 reached the conclusion that the '531 Patent specification
2 did not describe color content for the LEDs?

3 A. That's exactly correct.

4 Q. All right. Thank you very much, Dr. Jiao.

5 Now, back to the 2006 to 2008 time frame that we
6 mentioned.

7 A person of skill in the art would have been
8 knowledgeable about different types of thermal components
9 that could be used in a lighting device; correct?

10 A. Yes.

11 Q. In the 2006 to 2008 time frame, a person of
12 skill in the art would have been knowledgeable about
13 different types of drivers that could be used in a lighting
14 device; correct?

15 A. That is correct.

16 Q. Now, I'd like to ask you some questions about
17 the prior art, if I could. I'd like to start with the
18 Fini/Nakamura report.

19 Do you recall that piece of prior art, Dr. Jiao?

20 A. Yes, I do.

21 Q. You have opined that the Fini/Nakamura device
22 achieved a wall plug efficiency of 78 lumens per watt; is
23 that right?

24 A. Yes.

25 Q. In fact, you testified yesterday that Fini

1 discloses an even higher lumens per watt value; is that
2 right?

3 A. Correct.

4 Q. Higher than 85 lumens per watt; correct?

5 A. Correct.

6 Q. I'd ask if you could take a look at one of your
7 demonstratives. This is RDX-0002.54. And if you could
8 take a look at Figure 65.

9 Do you see that, Dr. Jiao?

10 A. Yes.

11 Q. You testified that based on Figure 65 of the
12 Fini report, the lumens per watt value of the fixture would
13 be even higher at a current lower than 50 milliamps; is
14 that right?

15 A. That is correct.

16 Q. But Fini itself does not disclose any lumens per
17 watt values other than at 50 milliamps and higher; correct?

18 A. It discloses the test point. More importantly,
19 it discloses the trend between the test point. That
20 indicates, for any POSA, the trend is to be used to
21 determine current dependency for efficacy.

22 Q. But that measurement on Figure 65 stops at 50
23 milliamps; correct? It doesn't go any farther towards a
24 lower current -- anything below 50 milliamps; correct?

25 A. The measurement stops at 50 -- oh, collected the

1 50 -- the information disclosed, a POSA would understand,
2 that's an example. The trend is to indicate the current
3 dependency. At the time frame, POSA would know the lower
4 current was absolutely feasible, and how the lower current
5 worked is follow the trend.

6 Q. You're basing that trend on the way you see the
7 red arrow -- I'm sorry, the red line moving as the current
8 decreases; correct?

9 A. That is correct. That's the purpose of the
10 line.

11 Q. All right.

12 A. That's the purpose of the test points, to form
13 the line.

14 Q. And the test point stops at 50 milliamps;
15 correct?

16 A. The test point was selective. They picked 50
17 milliamps, which is very common, but more importantly, it
18 forms the line of the trend.

19 Q. You said that they picked 50 milliamps, but they
20 didn't pick any number lower than 50 milliamps; correct?

21 A. Not in the report, but it demonstrates the
22 trend.

23 Q. Okay. Now, according to you, Dr. Jiao, the Fini
24 report is dated July 30, 2005, and was published on March
25 27, 2006; correct?

1 A. I believe what I previously described in my
2 report is that there is a submission date, then there is a
3 standard procedure when you submit to the DOE, and DOE has
4 a period of time to release the report.

5 I don't recall what exactly the releasing date
6 is.

7 Q. Well, let's pull up your report, just to make
8 sure we're on the same page.

9 And, John, if you could pull up Paragraph 391.

10 Looks like you've got it here. I just want to
11 confirm that we have -- that my understanding of your dates
12 are correct.

13 If you see in Paragraph 391, you say the
14 Fini/Nakamura technical report is dated July 30, 2005.

15 Do you see that?

16 A. That is correct.

17 Q. And you also state that you understand this to
18 be the date that the report was submitted to DOE; is that
19 right?

20 A. That is correct.

21 Q. In Paragraph 393, you state that this report was
22 published, according to you, on March 27, 2006; is that
23 right?

24 A. Correct.

25 Q. All right. I just wanted to make sure that we

1 had the dates correct.

2 Now, if we could pull up RDX-0002.52. Let me
3 ask you a few more questions about the Fini reference.

4 The Fini reference does not disclose anything
5 about how the fixture was tested to capture the results
6 that are shown here; correct?

7 A. The Fini report disclosed efficacy at the
8 fixture level. The test method was not described.

9 Q. For example, you don't know how long in minutes
10 or hours the Fini/Nakamura device was powered on before the
11 measurement was taken; correct?

12 A. Not disclosed in the report.

13 Q. Okay. So there's simply no indication in the
14 report concerning how the device was tested?

15 A. There's no description for test method.

16 Q. Okay. You agree that the device was tested at
17 some point before July 30, 2005?

18 A. Oh, before that, before the test report was
19 submitted.

20 Q. So then you agree, Dr. Jiao, that the test
21 results in the Fini report would be inconsistent,
22 unreliable and non-reproducible; correct?

23 A. Well, it is the same thing for all the patents'
24 test report.

25 Q. Okay. So you agree that the test results that

1 are set forth in the Fini/Nakamura technical report would
2 be inconsistent, unreliable and non-reproducible?

3 A. What I said in my testimony was it's important
4 to have industry testing standards. Without testing
5 standards, the test report, including the prior art as well
6 as '819 Patent, '531 Patent, the test report could be
7 inconsistent, not reliable or not repeatable.

8 Q. So let's pull up exactly what you said,
9 Dr. Jiao.

10 And I'd ask, Mr. Jay, if you could pull up the
11 trial transcript from yesterday. In particular, page 718,
12 starting at line 19, and running through page 719, line 7.

13 Once we have that, I'll read it for you,
14 Dr. Jiao.

15 You were asked, "Question: As of 2006 to 2008,
16 were there clear or established standards for measuring and
17 testing LED lighting devices?"

18 "Answer: Not yet. The industry was pretty
19 aggressively working on standardization for measuring LEDs
20 and LED lighting devices during that time frame. The first
21 standards we published was 2008.

22 "Question: In your opinion, would it be
23 important to -- that there be established testing standards
24 to determine efficacy?"

25 "Answer: Very important, because the LED

1 lighting test conditions and -- has to be clearly define,
2 and otherwise, the test result will be inconsistent,
3 unreliable, non-reproducible."

4 Did I read that question and answer segment
5 correctly?

6 A. That is correct. That is still my opinion.

7 Q. Thank you.

8 Now, let's pull up the Fini/Nakamura technical
9 report itself. That's JX-150. And if we could turn to
10 page 64. Mr. Jay, I would ask if we could focus on the
11 text underneath the heading "LED Fixture Using SPE
12 Packages."

13 A. Yes.

14 Q. Now, Dr. Jiao, this paragraph that we have on
15 the screen describes the LED fixture that, in your opinion,
16 achieves an efficacy in the range of 36 lumens per watt to
17 78 lumens per watt; correct?

18 A. That is correct.

19 Q. It discloses that the machine reflector used in
20 the fixture has a 94 percent efficiency; correct?

21 A. That is correct.

22 Q. And it discloses that the microlens diffuser
23 used in the device has a 93 percent efficiency; correct?

24 A. That is correct.

25 Q. But nowhere does the Fini/Nakamura reference

1 disclose any efficiency for the electronic driver; correct?

2 A. No.

3 Q. It does not state anywhere what kind of driver
4 was used?

5 A. It does not, but describe the drivers integrated
6 with the heat sink.

7 Q. So let's turn to the image that's shown on page
8 65 of JX-150.

9 Here on the screen is the lighting fixture
10 that's discussed in the Fini/Nakamura reference; correct?

11 A. That is correct.

12 Q. Just to repeat, as what's shown in Figure 64, is
13 it shows a machine reflector with a 94 percent efficiency
14 as part of the lighting device; correct?

15 A. That is correct.

16 Q. But you believe that reduction in efficiency can
17 be ignored, based on what you said yesterday; correct?

18 A. I did not.

19 Q. Is it your view that that 94 percent efficiency
20 should be taken into account as part of the efficacy
21 measurements?

22 A. I disagree. I explained it yesterday clearly.

23 That reflectance loss is only aimed for the
24 light that hits on the reflector from the LED. If the
25 light does not hit the reflector, that loss doesn't

1 occur -- does not occur.

2 Q. Let me ask you about the diffuser that's shown
3 in Figure 64. That shows a microlens diffuser with a 93
4 percent efficiency; correct?

5 A. Yes.

6 Q. Do you believe that the diffuser's optional, and
7 therefore, any diffuser losses should be ignored; correct?

8 A. Clearly. If you look at the lower figure,
9 there's no diffuser.

10 Q. When you say "the lower figure," what are you
11 referring to, sir?

12 A. The one right beneath what you just pointed.

13 Q. When you say right beneath --

14 A. The little one.

15 Q. -- what I just pointed to, are you talking about
16 the picture?

17 A. Yeah, -- well, the figure has two. One is a
18 configuration illustration. One is the light fixture being
19 lit. The one beneath that, yes.

20 Q. So in your view, the picture of the actual image
21 that's in black and white does not show a diffuser?

22 A. There is two images on your screen. On the top
23 is the configuration illustration. On the bottom is the
24 assembly of such light fixture when it is lit.

25 As you can see, from the same figure, the lower

1 portion of Figure 64, when the fixture is being lit,
2 there's -- no diffuser was used.

3 Q. So in your view, what's identified as the
4 microlens diffuser, that piece that can slide in and out on
5 the top portion of Figure 64 is not included in what's
6 shown in the image in the bottom of Figure 64?

7 A. That is my view.

8 Q. Okay. Let's go back to the driver for a moment.

9 I think we established previously that you agree
10 that the Fini and Nakamura report provides no details about
11 the driver at all; correct?

12 A. No. No details.

13 Q. Okay. You have no way of confirming whether any
14 losses associated with that driver are accounted for;
15 correct?

16 A. Incorrect.

17 Q. Other than what's shown in Figure 64, and what's
18 described as a aluminum heat sink plus electronic driver,
19 there's no disclosure in the Fini or Nakamura report
20 concerning the losses that we associated with that driver;
21 correct?

22 A. Incorrect.

23 Q. What is it that you are relying on that tells
24 you what the efficiency losses would be for the driver
25 that's disclosed in the Fini/Nakamura report?

1 A. Mr. Erwine, driver is a necessity device. When
2 operating the light fixture, lighting device, it must have
3 a driver. This report clearly reports the light fixture
4 level of efficacy where drivers loss were included.

5 And there is no LED lighting device that can
6 operate without driver and POSA knows.

7 Q. So it's your view -- and I just want to make
8 sure that I understand your opinion.

9 You said something about without a driver, and
10 then -- did you say and a POSA knows that?

11 A. Yeah. A person of ordinary skill in the art
12 knows, in order to measure the light fixture, lighting
13 device, namely, the lamp, luminaire level of efficacy, the
14 driver must be used.

15 The drivers also must be included in the overall
16 efficacy. There is no LED lighting devices that can
17 operate without driver.

18 Q. So in your view, an LED lighting device
19 absolutely cannot function without a driver in any and all
20 circumstances?

21 A. That is absolutely correct.

22 If you want to operate an LED, make the lighting
23 devices, and in particular, your claim is lamp or
24 luminaire -- lamp and luminaire are standard terminology
25 that is defined by ANSI standards.

1 That luminaire or lamp is connected to AC plug
2 that operates through the AC voltage to the LED devices,
3 must have a driver.

4 Q. So whenever someone uses the word "lamp," that
5 means it must have a driver that converts AC power; is that
6 correct?

7 A. That is correct. Specifically, in ANSI
8 standard, we clearly defined lamp and the
9 luminaire together -- called light devices -- must have a
10 driver.

11 The only case if you operate low-voltage lamp,
12 that without the driver, we have to distinguish stating
13 lamp as not integrated. That's the only case without
14 driver, or external driver.

15 In all other cases, the terminology of lamp, a
16 luminaire, or lighting device, or light fixture, for LED
17 source to be used to -- to use it as a light source, driver
18 must be included.

19 Q. We can agree that for purposes of your opinion
20 with respect to Fini and Nakamura, it's purely based on the
21 sole reference in Figure 64 of an electronic driver;
22 correct?

23 A. It is correct. It is also based on the person
24 of ordinary skill of art would read this as a light
25 fixture, and driver by default is included.

1 Q. Thank you very much.

2 If we could also turn to -- just give me one
3 second, and I'll find the right RDX.

4 MR. JAY: I apologize. I thought I had this in
5 my chart, so...

6 Q. Mr. Jay, let's make this easier. If we could
7 just turn, again, to page 65 of the Fini reference. It's
8 JX-150.

9 Mr. Jay, if you could focus on the bottom
10 portion underneath the figure.

11 Dr. Jiao, do you see the reference to CCT?

12 A. Yes.

13 Q. You understand that to mean color correlated
14 temperature?

15 A. Yes.

16 Q. There it refers to a color correlated
17 temperature -- I'm sorry, a correlated color temperature,
18 as the reference describes it, of 6500 kelvins; is that
19 right?

20 A. Correct.

21 Q. It's your understanding that this is what's
22 referred to as cool white; correct?

23 A. Yes.

24 Q. Not warm white?

25 A. Correct.

1 Q. All right. Let's move on to the Ibbetson
2 report. That's JX-151.

3 Now, Dr. Jiao, you have opined that the device
4 that's described in the Ibbetson report obtained a wall
5 plug efficiency of 87 lumens per watt; is that right?

6 A. Correct.

7 Q. In fact, you testified yesterday that Ibbetson
8 discloses an even higher lumens per watt value; correct?

9 A. With a lower current density, yes.

10 Q. So let's pull up your demonstrative, RDX-2.63.

11 Dr. Jiao, you testified that based on Figure 16,
12 the lumens per watt value of the fixture would have been
13 even higher at a current density below 50 -- is it 50 amps
14 per centimeter squared?

15 Did I read that correctly?

16 A. That is correct.

17 Q. All right. Let's get some context about
18 Ibbetson.

19 Now, according to you and your -- your expert
20 report, it's your opinion that Ibbetson describes work done
21 between October 1, 2003 and December 31 2006, and was
22 submitted to DOE on April 18, 2007.

23 Does that sound right?

24 A. I think so. This is a three-years project.

25 Yes.

1 Q. All right. Again, you rely on the test results
2 that are shown in Table 2 and Figure 16; correct?

3 A. Correct.

4 Q. Now, if we could pull up JX-151 again, and in
5 particular, the color version. I know you used a
6 black-and-white version yesterday.

7 If we could kind of highlight what's shown in
8 Figure 15 along with Table 2 next to it.

9 So what I'm asking, Mr. Jay, is if you could
10 highlight the lower portion of that page exactly.

11 Thank you very much.

12 Now, Dr. Jiao, do you see here Figure 15 from
13 Ibbetson depicts an experimental high-flux lamp module
14 consisting of an array of individual emitters on a metal
15 core circuit board; is that right?

16 A. Correct.

17 Q. So the device shown here has 11 individual
18 emitters that we can see on a metal core circuit board?

19 A. This figure shows the experimental
20 configurations. I also introduced another figure for the
21 assembly.

22 Q. You would agree, right, that there is no
23 secondary optic covering the set of 11 individual emitters;
24 correct?

25 A. This figure doesn't.

1 Q. There's no diffuser, for example, covering all
2 11 individual emitters; correct?

3 A. The figure doesn't disclose what emitters
4 primary optic is used, whether they have diffused elements
5 or not.

6 The figure itself, you cannot tell precisely
7 what optical element was used.

8 Q. It's your view that this is the module that was
9 tested, that achieved the results that are shown in Table
10 2; correct?

11 A. My view is the report demonstrates LED lamp that
12 shows the efficacy value with operating current density.
13 The entire report described a lamp.

14 The figure itself discloses this particular
15 experimental lamp module, yet the report is the essential
16 basis for my opinion, and the report is for a lamp.

17 Q. But you agree that the information that's shown
18 in Table 2 and Figure 16 is directed to what's shown here
19 in Figure 15; correct?

20 A. I read this information disclosed is in this
21 report, the lamp level efficacy report. The figure, one
22 place or another, shows the module, and also shows the
23 assembly.

24 If I read the entire prior art, the article, and
25 this particular report demonstrates the LED lamp-level

1 efficacy in this number on Table 2 was achieved.

2 Q. So let's go back to what I believe you're
3 referring to as Figure 12 of the Ibbetson report.

4 And, Mr. Jay, if you could turn back to Figure
5 12.

6 Is that the final assembly you're referring to,
7 what's shown there in Figure 12?

8 A. This is the example of the assembly of the
9 prototype as a whole lamp. You can achieve a thousand
10 lumen. My opinion is based on the entire report that
11 described that LED lamp-level efficacy was achieved.

12 Yes, there are certain figures that demonstrate
13 the different configurations, but important part is the
14 report demonstrates the capability of LED lamp achieving
15 wall plug efficiency or efficacy number that shows in the
16 table.

17 Q. But it's your opinion that the information
18 that's shown in Table 2 or in Figure 16 is based on testing
19 performed on what's shown in Figure 12; is that right?

20 A. It's incorrect.

21 My opinion, the Table 2 is the test result for
22 the entire lamp. The report --

23 Q. So --

24 A. The report emphasizes lamp.

25 Q. So in your opinion, the test results could

1 relate to either Figure 15 or Figure 2 and are not limited
2 to Figure 15?

3 A. My -- reading this prior art, the Figure 2 is
4 the result that the report demonstrates that LED lamp, that
5 inventors or the writers can achieve.

6 Figures helps to understand the different
7 assembly or --

8 MR. MOSKIN: If I can interrupt, Your Honor, we
9 seem to have lost the volume from Dr. Jiao or the entire
10 portion.

11 THE WITNESS: -- entire lamp level --

12 MR. MOSKIN: Now it's back.

13 We're having a technical person go check to see.
14 (Clarification requested by the Court Reporter.)

15 MR. ERWINE: Yeah. I think I can pick it up.

16 Q. I think that my question that was cut off is, so
17 in your opinion, the test results could relate to either
18 Figure 15 or Figure 2, and are not limited to Figure 15; is
19 that right?

20 A. Correct. It may not limit it to even more
21 figures. They may not need it to demonstrate that the
22 important part of the report is the result they achieved.
23 They used precisely the lamp.

24 Q. So you don't know whether the results that are
25 shown in Table 2 and Figure 16 are based on testing

1 performed on the modules shown in Figure 15 or the module
2 that's shown in Figure 12; correct?

3 A. I do know. The report demonstrates the lamp
4 level of test results. And figures, again, are used for
5 referencing a different configuration.

6 Q. Well, let me ask you this question: JX-151, the
7 Ibbetson report, does not describe how the array or module
8 was tested; correct?

9 A. Mr. Erwine, can you repeat your question?

10 Q. Again, JX-151, the Ibbetson report, does not
11 describe how these results that are shown in Table 2 and
12 Figure 16, how the array was tested to achieve these
13 results; correct?

14 A. The report didn't describe the methodology of
15 testing.

16 Q. All right. It doesn't state, for example,
17 whether the array was tested at thermal equilibrium;
18 correct?

19 A. I don't remember that particular statement. In
20 my -- if I recall, it does not describe any test
21 methodology.

22 Q. You don't know how long in minutes or hours the
23 device was powered on before that -- those measurements
24 were taken; correct?

25 A. The report does not disclose that.

1 Q. You agree that the device was tested at some
2 point before December 31, 2006; correct?

3 A. That is correct.

4 Q. So again, you agree that these test results
5 would be inconsistent, unreliable and non-reproducible;
6 correct?

7 A. That is my opinion regarding all the reports,
8 including the reports in the patent.

9 Q. All right. If we could go back, Mr. Jay, to
10 just Figure 15.

11 Now, Dr. Jiao, the Ibbetson report does not
12 state whether any driver losses were taken into account;
13 correct?

14 A. No, but the tests at the entire lamp level, one
15 more time, the driver was inclusive, if you test on the
16 lamp.

17 Q. You're basing that purely on the statement that
18 what's described in the Ibbetson report is a lamp; correct?

19 A. Yes. Another statement, and you can take as
20 record, is LED lamp or LED lighting device, light devices,
21 cannot be function or tested without driver.

22 Q. All right. Now, there's no indication about a
23 driver at all in the Ibbetson report; correct?

24 A. There's default indication because these lamps
25 must use a driver. Just like there's no indication these

1 lamps using specific type of electricity; this is a
2 default. The driver is a part of the lamp.

3 Q. Well, all we actually see in Figure 15 is those
4 clips, those red and black clips; correct?

5 A. Yes, but these clips are connected to the driver
6 that you do not see.

7 Q. So you believe that the clips are connected to a
8 driver, but you don't know what those clips are connected
9 to; correct?

10 A. I do know. As a POSA, the LEDs cannot function
11 without a driver.

12 Q. The LEDs receive DC current; correct?

13 A. From the driver, yes.

14 Q. Okay. And you understand from Dr. Shackle's
15 testimony yesterday that there are drivers that simply
16 provide DC power, they're not connected to a wall plug;
17 correct?

18 A. Not in United States. Not in the ANSI
19 definition of driver.

20 ANSI definition of driver includes power supply
21 with AC to DC conversion, and regulated current to output
22 to LEDs.

23 Q. But there's no --

24 A. In other countries --

25 Q. Sorry. Go ahead.

1 A. -- or in other industry, such as automotive, you
2 don't need a converter, they also call that driver.

3 But in general lighting industry, driver must
4 include power supply from AC to DC conversion.

5 And this figure and as demonstrated in the
6 laboratory, these LED tested for achieving these kind of
7 numbers must have a driver.

8 Q. But there's no indication in the document itself
9 that such a driver exists. That's -- you're basing that on
10 what you think a POSA would know; correct?

11 A. I base on the concept of this report is a DOE's
12 report of a lamp level of achievement. The lamp in the
13 DOE's mind, in the industry's mind, in the entire field's
14 mind, the lamp is connected to the AC power -- AC wall
15 plug.

16 Q. So let me do this: Let's turn to your
17 definition of lamp. I'd ask if you could take a look at
18 RDX-2, one of your demonstratives, in particular, number
19 20.

20 Do you recall preparing this demonstrative,
21 Dr. Jiao?

22 A. Yes.

23 Q. You testified about this demonstrative
24 yesterday; correct?

25 A. Yes.

1 Q. In fact, this is a disclosure from the '531
2 Patent specification; correct?

3 A. Correct.

4 Q. It refers to Cree LED packages as lamps;
5 correct?

6 A. Incorrect.

7 Q. Okay. Let me see if I can highlight the
8 sentence that says, "The phosphor-converted LEDs, 30, are
9 Cree XLamps from Cree Incorporated."

10 Did I read that correctly?

11 A. You read it correctly. I also testified
12 yesterday, Cree Lamp, if you pay attention, the "Lamp" is
13 capitalized. Cree Lamps are the trade names of the Cree
14 LED die. It's not a generic term of the lamp.

15 If you look at my testimony, the record, I
16 stated Cree XLamp is a trademark for Cree LED blue die.

17 Q. So this is an instance where Cree uses the word
18 "lamp" to describe a blue die; correct?

19 A. That's their trademark.

20 Q. All right. Let me go back quickly to JX-151,
21 this is Ibbetson. If we could go to page 17, in
22 particular, Table 2.

23 I ask you, Dr. Jiao, do you see the row that's
24 labeled "CCT"?

25 A. Yes.

1 Q. Is that the same correlated color temperature
2 that we were speaking about with respect to the Fini
3 reference?

4 A. It is.

5 Q. You would agree that those ranges, 5850, 5900
6 and 5950, are within a cool white range?

7 A. It is correct.

8 Q. All right. That's not warm white; correct?

9 A. Correct.

10 Q. Now, switching gears for a moment, Dr. Jiao, do
11 you agree that a lighting device has a theoretical maximum
12 lumens per watt value; correct?

13 A. I didn't say agree.

14 Q. You do not believe that it has a theoretical
15 maximum lumens per watt value?

16 A. Can you repeat your question?

17 Q. Sure.

18 I said, you agree that a lighting device has a
19 theoretical maximum lumens per watt value; correct?

20 A. I did not say I agreed. I never said I agreed.

21 Q. Okay. So do you disagree with that statement?

22 A. The statement, you're using words "lighting
23 device," which means the light source itself to be
24 integrated into lighting device to achieve maximum efficacy
25 value.

1 I do not know what that value is.

2 Q. So let's turn to your opening expert report,
3 Dr. Jiao. In particular, if we could go to Paragraph 302.
4 Let me know if you agree with what's written here.

5 In Paragraph 302, it says "Because white light
6 is a combination of monochromatic light, there is no single
7 theoretical maximum efficacy for white light. Rather, it
8 depends on the particular spectral distribution used to
9 produce white light. That said, it is generally understood
10 that LEDs theoretically could produce white light at a
11 maximum of 300 lumens per watts."

12 Did I get that right?

13 A. Did you. I used the word "could."

14 Q. All right. Now, you were present for
15 Mr. Moskin's opening statement; correct?

16 A. Yes.

17 Q. And you heard him reference a theoretical
18 efficiency limit of upwards of 300 lumens per watt; is that
19 correct?

20 A. I don't remember the number he was using. I did
21 remember Dr. Wetzel's testimony.

22 Q. Understood. I was actually asking about
23 Mr. Moskin's testimony.

24 A. Right, but I -- I'm sorry. I do not remember
25 the precise number he was quoted.

1 Q. All right.

2 MR. ERWINE: Your Honor, I have a few more
3 topics. Would this be -- I know we're at 10:45. I'm happy
4 to keep going. I just figured this might be the time for
5 the break.

6 JUDGE CHENEY: Okay. Let's take our morning
7 break. We'll be off the record for 15 minutes.

8 Dr. Jiao, please don't discuss your testimony
9 while we're on break.

10 THE WITNESS: Okay.

11 (Whereupon, the morning recess was taken,
12 10:46 a.m. - 11:00 a.m.)

13 JUDGE CHENEY: We're back on the record in the
14 1213 Investigation.

15 Before the break, we were hearing the
16 cross-examination of Dr. Jiao, who is RAB's technical
17 expert on the '819 and '531 Patents.

18 Please continue, Mr. Erwine.

19 MR. ERWINE: Thank you, Your Honor.

20 BY MR. ERWINE:

21 Q. Dr. Jiao, welcome back.

22 I want to ask you a few questions about the
23 Medendorp reference.

24 Mr. Jay, if you could pull up RDX-0002.64.

25 Dr. Jiao, do you recall testifying about the

1 Medendorp reference this morning?

2 A. Yes.

3 Q. Do you recall your testimony that, according to
4 you, Medendorp anticipates all the asserted claims of the
5 '531 Patent?

6 A. That is correct.

7 Q. Your understanding is that Medendorp discloses
8 lumens per watt values that range from 60 to 85 lumens per
9 watt; is that correct?

10 A. That is correct.

11 Q. Are you familiar with dependent Claim 11 of the
12 '531 Patent?

13 A. You may have to pull it up to let me read --

14 Q. Sure.

15 Mr. Jay, if you could pull up JX-1, and in
16 particular, Claim 11.

17 Do you see that Claim 11 refers to a wall plug
18 efficiency of at least 110 lumens per watt?

19 A. Yes.

20 Q. Is it still your view that Medendorp anticipates
21 Claim 11?

22 A. I think my statement is 85 lumen per watts, and
23 according to this patent, that would be the first claim for
24 381.

25 Q. Okay. I just wanted to make sure I understand.

1 It's still your position that Medendorp
2 anticipates Claim 11 of the '531 Patent?

3 A. Well, by looking at the figures, it's not 110.
4 It's 85 lumen per watts.

5 Q. Okay. And let me ask again.

6 Is it still your opinion that Medendorp
7 anticipates Claim 11 of the '531 Patent?

8 A. No.

9 Q. All right. If you could also look at Claim 12
10 of the '531 Patent.

11 And, Mr. Jay, perhaps you could pull that up as
12 well.

13 Do you see that Claim 12 refers to a wall plug
14 efficiency in the range of from about 100 to about 113.5
15 lumens per watt of said electricity?

16 A. Yes.

17 Q. Now, earlier, you had testified that Medendorp
18 anticipates Claim 12 of the '531 Patent.

19 Do you still hold that opinion?

20 A. If you look at my testimony, what did I say
21 about this particular claim?

22 Q. My understanding is that you said that Medendorp
23 anticipates all asserted claims of the '531 Patent; is that
24 correct?

25 A. I'm -- if that was the statement, what I

1 believe -- I believe that was a question.

2 What I meant was Claim 1.

3 Q. Thank you very much.

4 Dr. Jiao, let's turn now to the Narukawa
5 reference that you talked about this morning.

6 Do you recall speaking about that?

7 A. Yes.

8 Q. You testified this morning that the Narukawa
9 reference discloses white LED packages with efficacies of
10 up to 174 lumens per watt; is that right?

11 A. That is correct.

12 Q. In your opinion, a person of skill in the art at
13 the time of the invention would have been able to build a
14 lighting device that had a wall plug efficiency of 119
15 lumens per watt using Narukawa's LED package; is that
16 right?

17 A. I don't recall where the 119 number comes from.

18 Q. Well, let's take a look at your expert report,
19 Dr. Jiao. If we could look at your opening report,
20 Paragraph 493.

21 Do you see the reference in Paragraph 493 to a
22 wall plug efficiency of 119 lumens per watt?

23 A. Yes. That was based on the 70 percent of loss
24 estimate.

25 Q. That's the loss estimate that you spoke about

1 earlier this morning; is that right?

2 A. The loss estimate combining optical, thermal,
3 electrical.

4 Q. All right. You agree that Narukawa does not
5 disclose how long the LED package was running before the
6 measurements were taken that show the 174 lumens per watt;
7 correct?

8 A. You mean long in terms of lumen depreciation or
9 long in terms of -- what do you mean long?

10 The measurements were taken.

11 Q. The disclosure --

12 A. There's no disclosure when the measurements was
13 taken.

14 Q. You expressed no opinion as to whether the --
15 Narukawa's measurement was taken at thermal stability;
16 correct?

17 A. But that was LED-level measurements, and any LED
18 manufacturers would disclose their measure the lumens per
19 watt efficacy. And typically, they do not disclose the
20 measurement method -- method of measurement.

21 At that time, again, there's no standard.

22 Q. Okay. So at this point, we wouldn't know;
23 correct?

24 A. We wouldn't know is based on their reported
25 number, and no one disclosed at that time a method of

1 measurements.

2 Q. Excellent. Now, I asked if we could pull up
3 Figure 1 of Narukawa, and that's RX-38.2.

4 Mr. Jay, if you could focus on Figure 1.

5 Let me know when you see that, Dr. Jiao.

6 A. Yes.

7 Q. Do you see the reference in the lower right
8 corner, the "at pulse"?

9 A. Yes.

10 Q. I think I said -- yeah. Do you see that?

11 A. I do.

12 Q. Now, that indicates that Narukawa measured the
13 LEDs under something called a pulsed operation; is that
14 right?

15 A. That is correct. That's one of the standard
16 methods today. You can operate LED measured in pulse
17 operation, yes.

18 Q. And specifically Narukawa discloses that the
19 LEDs were measured under pulsed operation having a duty
20 cycle of 1 percent; is that correct?

21 A. That is very commonly used, yes, correct.

22 Q. That means the current was supplied in pulsed
23 intervals; is that right?

24 A. Yes. The pulse method is very commonly used.
25 And the current itself is -- average current is -- pulse

1 itself is applied to the current, correct.

2 Q. So it's like a square wave, the current is
3 turned on and off; is that correct?

4 A. Usually. Usually, yes.

5 Q. All right. Let's turn back to some of your
6 testimony from yesterday about some of the CSA prototypes
7 that were tested.

8 Now, in your opinion, Dr. Jiao, the lighting
9 device that's described as the first embodiment in the '819
10 Patent could not achieve the claimed wall plug
11 efficiencies; correct?

12 A. That is my opinion.

13 Q. All right. Let me ask you a few more questions
14 about that.

15 The first embodiment identifies a specific model
16 of LED die used, namely, that C460XT290 blue LED that comes
17 from Cree Incorporated; is that right?

18 A. Correct.

19 Q. And in your opinion, the prototype that was
20 tested by CSA in February of 2006, which reported that 53.5
21 lumens per watt, according to you, that corresponds to the
22 first embodiment that's described in the '819 Patent;
23 correct?

24 A. According to my opinion, that is not first
25 embodiment.

- 1 Q. Got it.
- 2 Mr. Jay, if you could pull up RDX-2.49.
- 3 Do you recall preparing this demonstrative,
- 4 Dr. Jiao?
- 5 A. Yes.
- 6 Q. Do you recall testifying about it yesterday?
- 7 A. Yes.
- 8 Q. This is the spreadsheet that, according to you,
- 9 shows which LED dies were used in the February 2006
- 10 prototype; correct?
- 11 A. That is correct.
- 12 Q. The spreadsheet indicates that XT-24 was used in
- 13 the February 2006 prototype; correct?
- 14 A. Correct.
- 15 Q. Now, in your opinion, the XT 24 is the Cree XT
- 16 LED die disclosed in connection with the first embodiment
- 17 of the '819 Patent, namely, that C460XT290; correct?
- 18 A. That is correct.
- 19 Q. But this spreadsheet also indicates that XT-27
- 20 LED dies were used; correct?
- 21 A. That's true.
- 22 Q. In your opinion, the XT-27 is not related to
- 23 that C460XT290 model number; correct?
- 24 A. Correct, based on my understanding of the data
- 25 sheet.

1 Q. So is it still your view that the February 2006
2 prototype is the first embodiment?

3 A. Well, partially used that particular LED parts.
4 So therefore, they only disclosed one part. If the some
5 parts matches, then this could be. I think that was my
6 opinion. This could be the first embodiment.

7 Q. But you're not sure?

8 A. I'm not sure because it discloses more than one
9 LED die was used. More than first embodiment disclosed.

10 Q. Thank you, Dr. Jiao.

11 I think you indicated that you were here for
12 Mr. Negley's testimony; is that right?

13 A. Yes.

14 Q. Did you hear Mr. Negley testify that in his view
15 Figures 4 and 5 of the '819 Patent relate to the prototype
16 that was tested at CSA in April of 2006?

17 A. Yes.

18 Q. You agree that Figures 4 and 5 of the '819
19 Patent depict what's referred to as the first embodiment;
20 correct?

21 A. I'm sorry. The figure -- I'm sorry. If you
22 don't mind pulling up that particular figure.

23 Q. Absolutely.

24 If you could pull up, Mr. Jay, the Figures 4 and
25 5 of the '819 Patent. I believe it's JX-2.

1 A. Correct. Yes.

2 Q. Just so the record is clear, you agree that the
3 Figures 4 and 5 of the '819 Patent depict what's referred
4 to as the first embodiment?

5 A. Yes.

6 Q. But in your direct examination, you testified
7 that the prototype that was tested by CSA in April of 2006
8 cannot be the first embodiment of the '819 Patent; is that
9 right?

10 A. That is my opinion.

11 Q. As the basis for that opinion, you testified
12 that the XT-31 LED die used in the April 2006 prototype
13 cannot be the C460XT290 die identified in connection with
14 the first embodiment in the '819 Patent.

15 Did I get that right?

16 A. That is my opinion.

17 Q. All right. Let's take a look at the data sheet
18 that you cited in support of that, which is JX-159.

19 Mr. Jay, if we could kind of focus on the middle
20 part that's in blue.

21 Now, Dr. Jiao, you identified that the data
22 sheet only identifies up to XT-24, but not XT-31; is that
23 right?

24 A. Correct.

25 Q. Now, you agree that an XT-21 has an optical

1 power of 21 milliwatts; is that right?

2 A. XT-21 is a bin wider than XT-24. If you
3 understand the binning concept, you would know that XT-21
4 means, and within that bin, you have the optical output
5 power that's lower as 21. The upper bound is 24.

6 Q. All right. What would that -- what would that
7 be for XT-24?

8 A. The XT-24 is the highest bin, which means in the
9 distribution of producing this die, if you happen to sort
10 them -- we call that binning, even according to Mr. Negley,
11 that's poor sound distribution or normal distribution.
12 Whatever distribution is, 24 is the highest this model can
13 produce.

14 If in order to purchase -- obtain 24 milliwatts
15 bin, it would be a very narrow portion of the entire
16 distribution with this die.

17 It's very narrow, very small portion of that die
18 can produce 24, highest.

19 Q. So from that bin, you could get a -- an optical
20 power of greater than 24 milliwatts; correct?

21 A. Generally speaking, the data sheets give you the
22 upper limits per se.

23 Q. Although it's not shown here, would an XT-31 bin
24 have an upper limit of 31 milliwatts?

25 A. We don't know. That could be, but it may not

1 be.

2 Q. Okay.

3 A. Completely different die.

4 Q. All right. Let's take a quick look back at JX-2
5 in the '819 Patent.

6 In particular, Mr. Jay, if you could go to
7 column 16, lines 39 to 45.

8 Dr. Jiao, I believe you testified about this
9 language yesterday. Let me know if you see that.

10 Do you see the reference or the statement that
11 says, "Namely, a Cree XT LED, C460XT290 die with a peak
12 wavelength range of from about 450 nanometers to about 465
13 nanometers, and optical power greater than 24 milliwatts"?

14 Did I read that correctly?

15 A. I do.

16 Q. So here the first embodiment is disclosing using
17 a Cree XT LED die having an optical power greater than 24
18 milliwatts; correct?

19 A. Yes, and defines that's the highest bin of that
20 particular model of die.

21 Q. You agree that the XT-31 is a Cree XT LED;
22 correct?

23 A. It is -- it's unclear what exactly XT-31 is. It
24 does not match this particular model number. And even
25 Mr. Negley testified, he doesn't recall.

1 Q. But you would agree that based on the data sheet
2 you looked at, that an XT-31 would have an optical power
3 greater than 24 milliwatts; correct?

4 A. Yes. I also agreed that's not the same die --
5 may not be the same die.

6 Q. So the XT-31 used in the April 2006 prototype
7 would meet the description of a Cree XT LED identified in
8 the '819 Patent in connection with the first embodiment;
9 correct?

10 A. Incorrect.

11 Q. You agree that it would meet the requirement of
12 having an optical power greater than 24 milliwatts;
13 correct?

14 A. Greater than 24 milliwatts, but it doesn't match
15 the model number disclosed.

16 Q. You're saying it doesn't because it doesn't have
17 the C460XT290 model number, that it couldn't meet the
18 qualification in the '819 Patent?

19 A. That's correct.

20 MR. ERWINE: Thank you very much, Dr. Jiao. I
21 have no further questions.

22 JUDGE CHENEY: I have just a couple of
23 questions, Dr. Jiao.

24 I recall you giving some testimony about LED
25 drivers and their efficiencies; is my recollection correct?

1 THE WITNESS: Yes.

2 JUDGE CHENEY: What is an LED driver?

3 THE WITNESS: According to the industry
4 definition, ANSI -- in ANSI/IES RP-16, LED driver
5 fundamentally is between the LED and to the wall plug. An
6 LED driver consists of a power supply converted the power
7 from AC to DC and regulate the current to operate the LED.
8 LED driver is serving as -- LEDs are serving as load to the
9 driver, and driver is connected to the AC plug.

10 That's the definition.

11 JUDGE CHENEY: Is there ever an LED driver
12 between a power converter and the LED?

13 THE WITNESS: That's not according to the
14 industry definition. Power supply is part of the driver,
15 or power converter is part of the driver.

16 JUDGE CHENEY: Is there such a thing as an LED
17 driver that has a DC input?

18 THE WITNESS: Automotive lighting, yes.
19 Automotive lighting, all the power is DC. LED drivers only
20 use DC. Therefore, the drivers for automotive lighting
21 devices, they're DC to DC operation.

22 General lighting, in the United States in
23 particular, all drivers are connected to the AC plug.

24 JUDGE CHENEY: That's very helpful. Thank you.
25 Can we pull up Dr. Jiao's demonstrative exhibit

1 RDX-0002.053?

2 While that's coming up, I have a question about
3 drivers one more time. You gave an example of automotive
4 circuits that have DC power driving DC LEDs. What about an
5 LED flashlight, a handheld flashlight, would that have a DC
6 driver in it?

7 THE WITNESS: That's correct. Anything that is
8 not connected to the wall plug. ANSI standards doesn't
9 include a flashlight, if you have a handheld device, but
10 you have to have some electronic devices to regulate LEDs.
11 If it's a very cheap handheld, you probably don't. Just
12 connect to the battery.

13 JUDGE CHENEY: What about a battery-operated --
14 lithium-ion battery-operated piano lamp?

15 THE WITNESS: Usually, any battery-operated
16 devices, they do have current regulation devices, if the
17 LEDs are -- if you have used more LEDs or high-power LEDs.
18 If it's very simple device, you can just simply connect the
19 battery to LED directly without regulations.

20 JUDGE CHENEY: Okay.

21 Here on JX-0150.0066, which is shown in your
22 demonstrative slide 53, does Figure 65 from this Fini
23 technical report show the current that achieved the
24 reported 78 lumens per watt efficacy?

25 THE WITNESS: Yes.

1 JUDGE CHENEY: Where is that on the chart?

2 THE WITNESS: If you look at the right dot, and
3 each dot indicates different current. If you follow the
4 dot -- the very last one was tested at 50 milliamps, it's
5 75 -- 78.

6 JUDGE CHENEY: So the efficacy is shown on a
7 y-axis on the right side of Figure 65; is that right?

8 THE WITNESS: Correct.

9 JUDGE CHENEY: Is it your understanding that the
10 blue square on the far right represents the current at
11 which the 78 lumens per watt was achieved?

12 THE WITNESS: No. The blue square underline
13 reflecting -- actually a diamond shape, reflecting the
14 flux, the luminous flux value achieved for this lamp.

15 So in the lower current, that would be the far,
16 far left one, that would be the total flux, luminous flux
17 produced by this lamp at the 50 milliamp.

18 JUDGE CHENEY: I see. So the red line
19 represents the efficacy. The blue line represents the
20 lumens?

21 THE WITNESS: Luminous flux, yes.

22 JUDGE CHENEY: Okay. Each of the vertical
23 lines, the spacing between those lines represents how many
24 milliamps?

25 THE WITNESS: That's correct.

1 JUDGE CHENEY: What is the numerical value of
2 the number of milliamps between each of the vertical lines?

3 THE WITNESS: It's probably 50, 150, 100, 150,
4 somewhere maybe 40 to 50 milliamps. I'm assuming. So if
5 you look at 0 to 200, how many increments, one, two, three,
6 four, five, so that would be 40 milliamps per increment.

7 JUDGE CHENEY: Okay. So we see a red circle
8 just past 40 milliamp line on the far left of the figure,
9 so that's what represents the 50 milliamp.

10 Is it appropriate to call that the 50 milliamp
11 driver current?

12 THE WITNESS: The 50 milliamp is the operating
13 current to the LEDs. You can also say it's driver output
14 current that operating the LEDs.

15 JUDGE CHENEY: Okay. I don't see any data
16 marked on the chart to the left of that red dot around 50
17 milliamps, do you?

18 THE WITNESS: I do. The very last one is around
19 50 milliamps or about 78 lumens per watt. The very last
20 one, if you look at the chart.

21 JUDGE CHENEY: Do you see any data to the left
22 of that 50 milliamps?

23 THE WITNESS: No. As I testified, these
24 particular LED fixtures, they put it together, they only
25 tested at 50 lumen. Lower is the one they tested 50

1 milliamp.

2 But I also stated, the test point is the
3 reference to generate that trend, that solid line.
4 Therefore, the solid line is used for the POSA to reference
5 the relationship between efficacy and the current. But for
6 the reduced current, efficacy can further increase.

7 JUDGE CHENEY: What changes would need to be
8 made to the driver to lower the current?

9 THE WITNESS: Usually, the driver current change
10 is rather simple. You can just tune down the current from
11 the driver. From the output, you can tune the driver.

12 JUDGE CHENEY: But it would require changing the
13 hardware on the driver?

14 THE WITNESS: Most of the drivers are designed
15 in such a way, you can -- you can change the operating
16 current. You can set -- you can do two things.

17 You can preset a regulated current, or you can
18 continue to reduce the regulated current. Both are
19 applicable -- both are feasible.

20 JUDGE CHENEY: You say they're feasible. What
21 would you need to do; how does that change happen?

22 THE WITNESS: The change happens in the driver
23 design. Most of the driver design, it should have the
24 ability to have a multi-level of current driving.

25 You also have a driver can be easily designed

1 into a so-called tunable, which means by digitally or
2 dialing the current down to reduce the LED operating
3 current.

4 JUDGE CHENEY: Do we know if the driver in this
5 lamp in this report was tunable?

6 THE WITNESS: In this report, it has to be in
7 the way, because you certainly see these drivers. This --
8 the driver driving this particular light fixture were
9 operated in multiple current. It is my observation, this
10 driver is changeable for inputting current. You can see
11 they collect the 8 points, and I certainly believe they can
12 collect more points, if they wish.

13 JUDGE CHENEY: Does a tuning mechanism have any
14 limits?

15 THE WITNESS: The only limit -- it can turn to
16 zero. The only limit is for the performance requirement
17 that if you want to dim -- in some cases, dim the LEDs, in
18 today's regulation or even previously, the regulations, you
19 typically have to dim to at least 10 percent, which means
20 you can't just -- you can't dim it to 50 percent, and the
21 driver doesn't work. Most of the drivers can go below 10
22 percent of light output.

23 JUDGE CHENEY: Okay.

24 So we know that this particular fixture can
25 produce at least 500 lumens. Does that mean it can't

1 produce less than 50 lumens?

2 THE WITNESS: This chart almost shows, if you
3 look at the last blue dot, it's almost 50, a little more
4 than 50. The last blue diamond shape on the left.

5 JUDGE CHENEY: So is that the limit?

6 THE WITNESS: I'm not saying this is the limit.
7 This is demonstration. This driver is operable in
8 different currents, in different -- different lumen output,
9 and associated efficacy. This demonstrated this driver is
10 capable to do a pretty wide range of driving current.
11 Whether that's the limit, I -- in my opinion, it's not,
12 because they certainly use the solid line to demonstrate.

13 JUDGE CHENEY: We can put this demonstrative
14 away. In fact, we don't need any more demonstratives.

15 Were you here at the hearing when we heard
16 Dr. Wetzel testify about theoretical limits in wall plug
17 efficiencies for lighting devices?

18 THE WITNESS: I was here, yes.

19 JUDGE CHENEY: Do you recall him saying that
20 such a limit would be around or between 2 to 300 lumens per
21 watt?

22 THE WITNESS: I heard he said three different --
23 two different range, and one of them was incorrect.

24 JUDGE CHENEY: What is your opinion on the
25 correct theoretical limit or wall plug efficiencies for an

1 LED lamp?

2 THE WITNESS: Your Honor used the word lamp.
3 Let me first go into the concept of -- the physiological
4 concept of converting radiated power to luminous flux.
5 There is a conversion factor, 683.

6 That 683 is one watt of radiated power equal to
7 683 lumens at 555 nanometer, for human eyes, the most
8 sensitive response. The one watts equal 683 lumen is the
9 conversion factor artificially chosen by, you know, a group
10 of people to determine that's the highest number that
11 watt-to-lumen conversion is.

12 So meaning corresponding to the human eye's
13 sensitivity where the most sensitive wavelength goes close
14 to greenish is 555 nanometers. If the light is emitted --
15 radiated power is emitted in that particular wavelength,
16 that number is 683 lumens.

17 So that's the physiological combined with the
18 physics conversion factor.

19 So the physics limit is if you have any emitter,
20 LED or other, 100 percent electrical input is converted 100
21 percent optical output, radiated power, 1 watts to 1 watts,
22 that 1 watts happens to be producing 555 nanometers of
23 light, then the highest number you can convert is 683
24 lumens per watt with a single color.

25 That's the theoretical -- what we call the

1 theoretical, which, really, artificially we have chosen
2 683. That theoretically is 100 percent electrical input,
3 becomes 100 optical input, happens to be one particular
4 wavelength, that is 683 lumens.

5 JUDGE CHENEY: So is that opinion something that
6 you agree or disagree with Dr. Wetzel about?

7 THE WITNESS: He said it's some kind of range.
8 There is no range. There is no 600 to 700. It's a number.
9 It's a conversion number.

10 JUDGE CHENEY: Okay. You agree that the number
11 that you have identified, 683, is in the range that
12 Dr. Wetzel testified about?

13 THE WITNESS: Again, the conversion is not a
14 range. It's --

15 JUDGE CHENEY: Do you disagree that it's in the
16 range that Dr. Wetzel testified about?

17 THE WITNESS: Yes. Sure.

18 JUDGE CHENEY: I'm sorry. Do you agree or
19 disagree?

20 THE WITNESS: Yes -- well, the number is in that
21 range, but --

22 JUDGE CHENEY: Okay.

23 THE WITNESS: -- it's -- conversion is not
24 range. Conversion is define the number.

25 JUDGE CHENEY: Okay. So Dr. Wetzel also gave

1 another range, 200 to 300. Do you agree or disagree with
2 his opinion there?

3 THE WITNESS: Well, there's no agreed-upon
4 theoretical limit for white light. For the reason that
5 white light is perceived white. It really depends upon how
6 white is white.

7 For the reason for that, you can do theoretical
8 calculation by combining single spectrum, and to achieve
9 much higher lumens per watt number versus if you are using
10 broader phosphor converted, a broader spectrum, and that
11 lumens per watt number is lower.

12 It's from 200, 300 -- in my report, I mentioned
13 it can be 300 lumens per watt. That's the only word I
14 said. There's no agreed upon what should be the
15 theoretical limit for LED lamp level.

16 JUDGE CHENEY: Thank you. That's all the
17 questions I have.

18 Does anyone have redirect for Dr. Jiao?

19 MR. MOSKIN: Just a few questions, Your Honor.

20 JUDGE CHENEY: Please proceed when you are
21 ready.

22 MR. MOSKIN: Thank you.

23 REDIRECT EXAMINATION

24 BY MR. MOSKIN:

25 Q. Let's start by bringing up a section of the '531

1 Patent starting at column 21, line 32.

2 Dr. Jiao, do you recall that Mr. Erwine pointed
3 you to certain lumen outputs at the LED package level in
4 the '531?

5 Do you recall that?

6 A. Yes, I do.

7 Q. Now, are these lumen output numbers sufficient
8 to build, in your opinion, a BSY+R device like the one
9 tested by NIST?

10 A. Well, the test report shows the number. But if
11 I look at all of these descriptions, in my opinion, there's
12 two things caught my eye.

13 One is Mr. Erwine pointed out all of these CIE
14 diagram coordinates numbers. If you can highlight all of
15 them. That describes the BSY color boundaries. And these
16 BSY color boundaries is a very broad color space of
17 yellowish light.

18 Given that as it may, the yellowish light, which
19 is the person of ordinary skill in the art would not know
20 which point to pick it up other than knowing this big color
21 space.

22 Knowing that, if you have that particular light
23 produces 108 to -- 108.2 or 112.6, that's only the lumen
24 amount number. That one doesn't disclose anything for
25 efficacy.

1 So this disclosure doesn't describe, even with a
2 yellow light, how much efficacy is needed in order to
3 produce that highest 113.5 lumens per watt.

4 That's where I argued the enablement.

5 Q. So we can take down that slide.

6 Mr. Erwine asked you some questions about the
7 testing methodologies used by Mr. Nakamura and
8 Mr. Ibbetson.

9 And first of all, Mr. Nakamura is, I think you
10 mentioned, is a Nobel laureate; correct?

11 A. Yes.

12 Q. I think you testified that the purpose of the
13 report was to demonstrate efficacy of a fixture using the
14 SPE technique; is that correct?

15 A. That's correct.

16 Q. Do you have any opinion whether it would have
17 been reasonable for Mr. Nakamura to have conducted testing
18 for efficacy in a manner that was reasonable and reliable
19 and was thermally stable?

20 A. Well, in order to establish the report that
21 being acceptable by Department of Energy, and my
22 understandings of all of these reports, including the test
23 results, and should be done as much as objective as
24 possible without the industry standards, that's the best
25 they could do.

1 Q. So the same question is to the Ibbetson report
2 submitted to the Department of Energy.

3 Do you have any reason to believe that
4 Mr. Ibbetson tested the device shown or the devices shown
5 in an inherently unreliable way?

6 A. No, I have no reason. Again, the DOE report
7 tends to have a pretty -- pretty sophisticated review
8 process, and I don't think anybody who obtained DOE funding
9 provided a report will fabricate any result or improperly
10 produce any result.

11 Again, without industry standard in that time
12 frame, and each researchers or whoever contributed a
13 report, in my opinion, they probably did the best they
14 could.

15 Q. Okay. Now, one last series of questions. It
16 will be short.

17 Mr. Erwine asked you if -- at the beginning --
18 sort of early in your testimony on cross-examination
19 whether the asserted claims of the two lumen per watt
20 patents are enabled as to the BSY+R approach.

21 I think you said initially they were not, and I
22 thought I heard you say that they were. I want you to
23 clarify.

24 Is it your opinion that the asserted claims of
25 the '819 and '531 Patents would enable a person of ordinary

1 skill to practice the BSY+R approach?

2 A. In my opinion, they still have a very critical
3 missing content. I think in my testimony saying that the
4 BSY is such a wider -- wide color space, R, the --
5 combining them, I still believe they're missing the recipe
6 of color content and efficacy of each.

7 So I should clarify, it's not enabled.

8 MR. MOSKIN: Thank you. I have no further
9 questions.

10 JUDGE CHENEY: Okay. Any further cross?

11 MR. ERWINE: No, Your Honor.

12 JUDGE CHENEY: Thank you so much, Dr. Jiao.
13 Thank you so much for giving us so much of your time across
14 two days.

15 Your testimony really helped me understand the
16 case better.

17 You may step down.

18 THE WITNESS: Thank you.

19 JUDGE CHENEY: Will RAB call its next witness.

20 MR. MOSKIN: RAB will. It's Dr. Akemann. I
21 think we just need a moment to get him set up at his -- at
22 the workstation -- computer workstation.

23 My colleague, Mr. Hickerson, I believe, will
24 be -- excuse me, Mr. Montei would be conducting that
25 examination.

1 JUDGE CHENEY: Welcome to the podium,
2 Mr. Montei.

3 Let's go off the record for just a moment.
4 (Off the record.)

5 JUDGE CHENEY: Back on the record after taking a
6 short break to deal with some audio issues.

7 We are now moving on to RAB's next witness,
8 Dr. Akemann.

9 Doctor, if you will please raise your right
10 hand, I will administer the oath.

11

12 MICHAEL P. AKEMANN, Ph.D.,
13 a witness, having been first duly sworn, was examined and
14 testified as follows:

15 THE WITNESS: I do.

16 JUDGE CHENEY: Thank you. Please proceed with
17 your examination, Mr. Montei.

18 DIRECT EXAMINATION

19 BY MR. MONTEI:

20 Q. Dr. Akemann, thank you for being here today.

21 Can you let everyone know where you are
22 testifying from currently?

23 A. I'm in the Washington, D.C. offices of Foley &
24 Lardner in what I believe we're referring to as the witness
25 room.

1 Q. In addition to any electronic exhibits that we
2 may go over today, do you have any hard copy materials with
3 you?

4 A. No, I didn't bring anything to the witness room.
5 I do have a hard copy of my expert report across the hall
6 should it be necessary.

7 Q. Dr. Akemann, can you briefly describe your
8 educational background?

9 A. Certainly. I have a BA in economics and
10 political science from the University of California at San
11 Diego and a M.A. and A Ph.D. in economics from UCLA.

12 Q. Can you briefly describe your experience
13 performing economic and financial analysis, particularly as
14 it relates to the economic prong of the domestic industry
15 requirement?

16 A. I have been conducting economic research and
17 consulting for about the last 20 to 25 years since getting
18 my Ph.D.

19 Much of my work has focused on patent matters,
20 including district court litigations, and then over the
21 last five or seven years, several matters here before the
22 ITC.

23 At least a few of those matters have involved
24 studying the economic prong of the domestic industry issue.

25 Q. Thank you.

1 Could we call up RX-852, please?

2 Dr. Akemann, do you recognize this document?

3 A. I do. It's a copy of my CV.

4 MR. MONTEI: Your Honor, as previously discussed
5 in the context of Mr. Bakewell's direct examination,
6 Dr. Akemann, like Mr. Bakewell, subject to the parties'
7 stipulation regarding expert qualifications, in view of his
8 testimony and that stipulation, we would offer him as an
9 expert in economic and financial analysis as it relates to
10 the economic prong of the domestic industry requirement.

11 JUDGE CHENEY: Based on the stipulation and
12 hearing no objection, Dr. Akemann will be accepted as an
13 expert in the fields tendered.

14 BY MR. MONTEI:

15 Q. Dr. Akemann, you were retained on behalf of RAB
16 Lighting in this investigation; correct?

17 A. That's correct.

18 Q. Could you provide a high-level summary of your
19 assignment?

20 A. My basic assignment was to review and analyze
21 the expert report of my counterpart, Mr. Bakewell, and
22 offer some opinions related to his analysis of the economic
23 prong of the domestic industry requirement.

24 Q. Are you familiar with the testimony offered by
25 Mr. Bakewell regarding the economic prong of the domestic

1 industry requirement?

2 A. I am. I had a chance to review the transcript
3 when I arrived in DC earlier this week.

4 Q. Would you mind giving us a brief summary of the
5 opinions you've made in this investigation?

6 A. I have two broad sets of opinions.

7 The first relate to the '449 Patent. Based on
8 my own contextual analysis of that issue, I concluded that
9 the investments in domestic industry products related to
10 the '449 Patent are not significant.

11 The second broad set of opinions relates to
12 Mr. Bakewell's analysis of all of the patents at issue
13 here, and I concluded that there were some important
14 conceptual problems with the way that he considered and
15 analyzed the data in context.

16 Q. At a high level, can you briefly describe what
17 your opinions are based on?

18 A. My opinions are based on the usual set of things
19 that I would consider in a case such as this, that would
20 include deposition testimony, and exhibits, documents and
21 data produced by the parties. Third-party industry
22 information that I gathered on my own, and some of which
23 perhaps was also produced by the parties.

24 I also conducted interviews with RAB Lighting's
25 technical experts to get some background information

1 regarding the patents at issue in this investigation.

2 Q. Thank you.

3 I'd like to move to a discussion of the economic
4 prong in this investigation, Dr. Akemann, in more detail.

5 Did Mr. Bakewell reach any conclusion with
6 respect to subsection C of the domestic industry
7 requirement?

8 A. No, he did not.

9 Q. Moving on to the some R&D activities
10 particularly relating to the '449, '531 and '819 Patents,
11 does Mr. Bakewell say anything about foreign research and
12 development of domestic industry products?

13 A. Both in his report and in his testimony earlier
14 in the week, I think he acknowledged that there may have
15 been some foreign activity, but at least in his report, he
16 said that everything is currently happening in the US.

17 Q. What is your understanding of foreign R&D
18 activities pertaining to the '449, '531 and '819 Patents?

19 A. My understanding is that there is evidence in
20 this case that at least some of the R&D activity related to
21 those three patents occurred overseas, and in particular,
22 in Hong Kong.

23 Q. With respect to the '531 and '819 Patents in
24 particular, are you aware of any evidence relating to
25 specific development activities occurring in Hong Kong?

1 A. I am. With respect to those two patents, one of
2 the named inventors is listed as resident in Hong Kong. I
3 believe that was Mr. Tony Van de Ven, if I'm pronouncing
4 his last name correctly.

5 I also saw, in addition to the patents, an
6 announcement by an entity called LLF, which has some
7 operations in Hong Kong, regarding the prototype or product
8 development activities that appear to relate to those two
9 patents. Those were also done, it seems, in Hong Kong.

10 Q. With respect to these three patents, would an
11 understanding of the R&D activities and related investment
12 that occurred in Hong Kong have been relevant context for
13 Mr. Bakewell to consider in forming his opinions in this
14 investigation?

15 A. I think it would have been relevant. We can see
16 some US activities, R&D activities related to those three
17 patents. But with respect to the '449, for example, those
18 amounts are not very large, and they occurred fairly
19 distant in time. I think it would have been relevant
20 context to consider how those amounts compared, for
21 example, to whatever activities took place in Hong Kong.

22 Q. In addition to the relevant investment amounts,
23 would it have been prudent for Mr. Bakewell to consider
24 what aspects of the products practicing these three patents
25 were designed in Hong Kong relative to what aspects of

1 those products were designed here in the United States?

2 A. I think it would have been relevant context.
3 For example, Mr. Bakewell focuses in part on the current
4 profitability of some of the domestic industry products.
5 It would have been, I think, relevant to understand what's
6 currently driving the profitability, and in particular,
7 what R&D activities related to different features of the
8 product might be relevant for considering current
9 profitability, as an example.

10 Q. I'd like to move to talk a little bit about Cree
11 Lighting's claimed investments and the DI products relating
12 to the '449 Patent in particular.

13 Dr. Akemann, under what subsection is Cree
14 Lighting's claimed investments relating to the '449 Patent
15 occurring?

16 A. Those are occurring under sub-prong B.

17 Q. In your understanding, sub-prong B relates to
18 labor and capital; is that right?

19 A. That's correct. And specifically here, we're
20 focused on production labor and capital, and R&D labor and
21 capital together.

22 Q. Do you recall the names of the product that Cree
23 Lighting alleges practiced the '449 Patent?

24 A. Yes, there are three product families at issue.
25 The CRT downlight -- or excuse me, the CR downlight, the

1 CRT, and the DDS product families.

2 Q. Thank you.

3 If I refer to those products collectively as the
4 '449 DI, or domestic industry, products will you understand
5 that I'm referring to those three products that you just
6 identified?

7 A. I will, and that will be useful shorthand.

8 MR. MONTEI: Your Honor, at this point, given
9 that we will be discussing Cree Lighting financial
10 information that's been designated, I'd like to move on to
11 the Cree Lighting confidential record.

12 JUDGE CHENEY: Let's go on to the Cree
13 confidential record. If you're not authorized to view Cree
14 confidential information, please move yourself into the
15 breakout session.

16 (Whereupon, the trial proceeded in confidential
17 session.)

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 JUDGE CHENEY: We're back on the public record
3 now after completing the direct examination of Dr. Akemann,
4 who is RAB's economic expert.

5 Will there be cross-examination of Dr. Akemann?

6 MR. LASHER: There will be, Your Honor.

7 This is Mr. Lasher.

8 JUDGE CHENEY: Okay. We will conduct that
9 cross-examination after lunch.

10 We'll now take a one-hour recess. We're off the
11 record.

12 (Whereupon, the lunch recess was taken at 12:32
13 p.m.)

14

15

16

17

18

19

20

21

22

23

24

25

1 A F T E R N O O N S E S S I O N

2 (1:31 p.m.)

3 JUDGE CHENEY: Back on the record now in the
4 1213 Investigation.

5 Before our lunch break, we finished up the
6 direct examination of RAB's economic expert, Dr. Akemann,
7 and Cree indicated it had some cross-examination. I see
8 Mr. Lasher at the podium.

9 Please proceed when you are ready, Mr. Lasher.

10 MR. LASHER: Thank you, Your Honor.

11 CROSS-EXAMINATION

12 BY MR. LASHER:

13 Q. Good afternoon, Dr. Akemann. It's nice to see
14 you again.

15 A. Good to see you, Mr. Lasher.

16 Q. Thank you.

17 Before we go into the details of your slides,
18 I'd like to make sure we're on the page -- same page
19 conceptually on a couple of things.

20 Do you understand that the research and
21 development expenditures in this investigation that were
22 analyzed by Mr. Bakewell were done so under sub-prong B;
23 correct?

24 A. I do understand that.

25 Q. I think you noted in your direct examination

1 that you had been -- that you testified in a number of ITC
2 investigations previously; correct?

3 A. That's correct.

4 Q. All right. So you understand that under
5 sub-prong B, investments in labor and capital only need to
6 relate to the DI products as a whole, and not specific
7 patented features; correct?

8 A. I have a general understanding of that issue,
9 but I'm not a lawyer, so I'm not certain I have a complete
10 understanding.

11 Q. Okay. Does that sound familiar to you, that
12 concept?

13 A. It does.

14 Q. Okay. Thank you.

15 Let's go to your slides right now.

16 MR. LASHER: Your Honor, at this point, I'd like
17 to go on the Cree Lighting CBI. This is internal
18 investment data.

19 JUDGE CHENEY: Okay. Let's go on the Cree
20 confidential record. If you're not authorized to hear that
21 information, please remove yourself to the breakout
22 session.

23 (Whereupon, the trial proceeded in confidential
24 session.)

25

1 O P E N S E S S I O N

2 BY MR. LASHER:

3 Q. Dr. Akemann, you had a slide to that effect. I
4 won't bring up that slide so we can stay on the public
5 record.

6 But you had a slide that referred to multiple
7 ways why you believe the '449 Patent investments were not
8 significant; correct?

9 A. That's correct.

10 Q. Okay. I'll come back to '449 in a minute, but
11 before doing so, for the remaining four patents, you've not
12 offered an affirmative opinion that the domestic
13 investments associated with these patents are not
14 significant; correct?

15 A. That's correct.

16 Q. All right. Dr. Akemann, I am not sure if you
17 were at the hearing on Monday or -- I couldn't tell from
18 your testimony, but did you review or attend the hearing on
19 Monday?

20 A. I was not able to attend live, but I have had a
21 chance to review the transcript from that day.

22 Q. Were you able to review the transcript from
23 yesterday, by any chance?

24 A. Portions of it. I did not review the entire
25 transcript.

1 Q. Okay. So in addition to the trial transcript,
2 you also reviewed Mr. Wilcox's deposition transcript and
3 other Cree Lighting employees' deposition testimony in this
4 case; correct?

5 A. That's correct.

6 Q. You have no reason to believe that the Cree
7 Lighting witnesses provided inaccurate or untruthful
8 testimony; correct?

9 A. That's correct. I'm not disputing the factual
10 accuracy of statements they may have made.

11 Q. Along those same lines, you'd agree with me that
12 the Cree Lighting witnesses had more factual knowledge
13 about Cree Lighting's business than you; right?

14 A. I would agree with that, yes.

15 Q. Cree Lighting employees had more factual
16 knowledge than you about Cree Lighting's production
17 facility, and costs as well; correct?

18 A. I would assume that's correct, yes.

19 Q. And Cree Lighting's employee have more factual
20 knowledge than you about employee counts, and the
21 employees' roles at Cree Lighting; correct?

22 A. I would assume that's correct, too.

23 Q. Okay. Cree Lighting's employees have more
24 factual knowledge than you about Cree Lighting's research
25 and development projects, and costs as well; correct?

1 A. That's correct.

2 Q. All right. Finally, Cree Lighting's employees
3 have more factual knowledge than you about Cree Lighting's
4 business strategy; right?

5 A. I think that's probably correct as well.

6 Q. Okay. So let's turn to our discussion of the
7 '449 Patent.

8 You would agree with me that there is no minimum
9 monetary expenditure that a Complainant must demonstrate to
10 qualify as a domestic industry; correct?

11 A. That's certainly my understanding of the legal
12 framework, and how, I guess, the Commission has in the past
13 addressed these issues. I'm not aware of any legal
14 minimum.

15 Q. Okay. You also understand that there's no
16 requirement that a Complainant manufacture products
17 domestically at all to establish a domestic industry;
18 correct?

19 A. Again, that's correct, to my general
20 understanding, yes.

21 Q. It's also your understanding that a Complainant
22 may base its domestic industry on domestic labor and
23 capital for research and development solely; correct?

24 A. As far as I know that's correct, yes.

25 MR. LASHER: Your Honor, I'd like to go back on

1 the Cree Lighting CBI record.

2 Again, it's internal both investment data and
3 sales data.

4 JUDGE CHENEY: Okay. We're back on the Cree
5 confidential record.

6 (Whereupon, the trial proceeded in confidential
7 session.)

8

9

10

11

12

13

14

15

16

17

18

19

20

21

22

23

24

25

1 O P E N S E S S I O N

2 BY MR. LASHER:

3 Q. Dr. Akemann, on direct, I believe you had some
4 discussion with counsel about comparing foreign and
5 domestic investments.

6 Do you remember that?

7 A. I do.

8 Q. You would agree with me that the Commission
9 doesn't require a Complainant to offer a comparison between
10 its foreign investments and domestic investments; correct?

11 A. To my understanding, that's correct. There's no
12 requirement that the Commission consider that evidence.

13 Q. Okay. I believe you also had a brief discussion
14 with counsel about some component sourcing and sampling.
15 This is near the end of your direct.

16 Do you recall that?

17 A. I do.

18 Q. I believe counsel asked you about Mr. Bakewell's
19 selection of the sample SKUs.

20 Do you remember that?

21 A. I remember the general line of questioning, yes.

22 Q. Okay. But you understand that the sample SKUs
23 were not, in fact, selected by Mr. Bakewell or his team;
24 isn't that right?

25 A. That is my understanding, and I didn't mean to

1 suggest in my direct testimony that he had done so.

2 Q. Okay. Well, one sample was selected as the
3 highest volume SKU from a particular family, and the second
4 sample was selected by RAB's counsel's team; correct?

5 A. I'm not sure specifically. I wasn't involved in
6 any of that aspect of this investigation.

7 Q. Okay. All right. Let's switch gears slightly.
8 You would agree with me that in analyzing the
9 significance of the '449 investments it's relevant to
10 consider the location of where the '449 products were
11 designed; correct?

12 A. I think that's a factor that one should consider
13 in context, yes.

14 Q. Okay. You read -- did you read Mr. Wilcox's
15 testimony when he stated that all the detailed work
16 involved in the engineering details for and the rest of the
17 R&D realization for all of the '449 products over multiple
18 generations was done by the team in Durham, North Carolina?

19 A. I do recall Mr. Wilcox's testimony generally on
20 those issues. I don't know that I recall all those
21 specific details that you just enumerated, but that's
22 consistent with my recollection of his testimony.

23 Q. Again, you don't dispute the accuracy of
24 Mr. Wilcox's testimony; correct?

25 A. I'm not debating the accuracy of that testimony,

1 that's correct.

2 Q. Okay. Would you agree with me that for
3 companies like Cree Lighting and RAB, that at least do some
4 contract manufacturers overseas, it's important for those
5 companies to develop and engineer the products in the
6 United States?

7 A. I'm not sure what you mean by important for them
8 to do so. Could you clarify that question?

9 Q. Well, let's look at some testimony from
10 Mr. Wilcox from Monday. This is at pages 94 and 95,
11 starting at line 20.

12 So Mr. Wilcox was asked, "Do you know why Cree
13 Lighting used its team in Durham to engineer, develop and
14 commercialize those products?"

15 Mr. Wilcox responded, "We found through the
16 years that having the expertise of all the different
17 aspects of, you know, LED, optical and system design that
18 we developed in the States led to the best results even if
19 we ended up manufacturing a few of the more commoditized
20 products overseas, that we got the best results with those
21 teams doing the work on those products."

22 Do you see that, Dr. Akemann?

23 A. I do.

24 Q. Okay. Now, can we pull up Mr. Barna's testimony
25 from yesterday? This is page 596, starting at page 5.

1 And he said -- or the question was, "So you
2 can't speak to whether or not it's important for RAB to
3 have US-based engineering resources even if its products
4 are manufactured overseas?"

5 His answer was, "Oh, sure, sure. Thanks for
6 clarifying. I don't think it matters where the products
7 are manufactured. As a matter of fact, we do manufacturing
8 in New Jersey using our union workforce. Engineering
9 capabilities in the US are of critical importance to RAB."

10 Do you see that?

11 A. I do.

12 Q. So would you agree that for companies like Cree
13 and RAB who do at least some contract manufacturing
14 overseas, it's still important for them to have their
15 engineering resources in the US?

16 A. Yeah. That's consistent with my understanding,
17 that both companies here find business advantages to doing
18 R&D in the US. I agree with that.

19 Q. Okay. Let's turn to your opinion that Cree
20 Lighting's investments have been declining. Okay?

21 A. Okay.

22 Q. Now, as an initial matter, you understand that
23 the Commission normally determines whether domestic
24 industry requirement is satisfied at the time the complaint
25 is filed; correct?

1 A. That's correct, and considers data up to that
2 point.

3 Q. You also understand that the Commission may
4 consider new, relevant and timely disclosed evidence after
5 the filing of the complaint; correct?

6 A. I'm aware that in some circumstances, the
7 Commission can choose to consider later information.

8 Q. Well, in some of your slides, you included
9 post-complaint data; correct?

10 A. I did.

11 Q. All right. Let's take a look at one of those
12 slides.

13 Let's pull up RDX-008C.8.

14 A. This has confidential information.

15 Q. I'm sorry, yes. Thank you so much.

16 MR. LASHER: Your Honor, this is -- we'd like to
17 go on the Cree Lighting CBI record. As Dr. Akemann pointed
18 out, this does have Cree Lighting internal data.

19 JUDGE CHENEY: Okay. Let's go on the Cree
20 confidential record.

21 (Whereupon, the trial proceeded in confidential
22 session.)

23

24

25

1 O P E N S E S S I O N

2 JUDGE CHENEY: Back on the public record now
3 after finishing the cross-examination of Dr. Akemann.

4 I didn't have any questions for the expert, and
5 there was no redirect.

6 So RAB, do you have another witness to call?

7 MR. MOSKIN: Your Honor, we do. Dr. Jack
8 Josefowicz, who is being set up in front of the computer
9 terminal, just vacated, I believe, by Dr. Akemann.

10 JUDGE CHENEY: Okay. Let's go off the record
11 while we get set up.

12 (Off the record.)

13 JUDGE CHENEY: Okay. Let's go back on the
14 public record.

15 We're back on the public record now after
16 getting things situated for our next witness, which is
17 Dr. Josefowicz.

18 Dr. Josefowicz, will you please raise your right
19 hand so I can administer the oath.

20 JACK JOSEFOWICZ, Ph.D.,
21 a witness, having been first duly sworn, was examined and
22 testified as follows:

23 THE WITNESS: I do, Your Honor.

24 JUDGE CHENEY: Thank you.

25 Please proceed with your examination,

1 Mr. Moskin.

2 MR. MOSKIN: Thank you, Your Honor.

3 DIRECT EXAMINATION

4 BY MR. MOSKIN:

5 Q. Could you please state your full name for the
6 record?

7 A. Jack Josefowicz.

8 Q. Dr. Josefowicz, have you prepared a set of
9 demonstrative exhibits to help illustrate some of your
10 testimony today?

11 A. I have.

12 Q. Can you let us know whether the demonstrative
13 exhibits being shown now, RDX-006, are those exhibits that
14 I just mentioned?

15 A. Yes, they are.

16 Q. Let's also pull up RX-854, and I'll ask you
17 whether this is your curriculum vitae.

18 A. It is.

19 Q. Okay. Does this accurately -- well, let's go to
20 the demonstrative slide 2, and as summarized here, is this
21 a fair summary of relevant experience of yours?

22 A. Yes, it is.

23 Q. Okay. Does your -- the entire CV reasonably --
24 or is it a reasonably accurate summary of your background
25 and qualifications?

1 A. Yes, it's up to date.

2 Q. Okay. Dr. Josefowicz, have you ever designed
3 streetlights?

4 A. Yes, I have.

5 Q. Could you briefly describe your work doing such
6 design work?

7 A. Yes. I was involved with a startup of a company
8 that is listed here, LED Roadway Lighting Limited.

9 I was in at the very start of this startup, with
10 four people, and brought it to 200 people in manufacturing
11 and shipping LED streetlights around the world.

12 I led all of the technical design elements that
13 went into the streetlight including the fixture, the
14 electronics and the optics for the LEDs.

15 MR. MOSKIN: Your Honor, pursuant to the
16 parties' stipulation regarding expert qualifications, I'd
17 like to offer Dr. Josefowicz at this point as an expert in
18 optics and lens design.

19 JUDGE CHENEY: Based on the stipulation, and
20 hearing no objection, Dr. Josefowicz will be accepted as an
21 expert in the fields tendered.

22 BY MR. MOSKIN:

23 Q. If I may, Dr. Josefowicz cut out briefly there.
24 I'm just going to ask somebody to have our technical person
25 check his sound.

1 It's fine now, but I don't want, you know,
2 further interruptions, so --

3 JUDGE CHENEY: Let's go off the record for a
4 moment.

5 MR. MOSKIN: I can proceed, Your Honor. I think
6 we can -- I just wanted to let you know I was having a
7 little problem with the audio. I wanted to make sure we
8 don't have any further interruptions.

9 JUDGE CHENEY: Okay. Please proceed,
10 Mr. Moskin.

11 BY MR. MOSKIN:

12 Q. Thank you.

13 Dr. Josefowicz, are you a lawyer?

14 A. I'm not.

15 Q. In your testimony today, are you providing any
16 legal opinions?

17 A. I'm not.

18 Q. Okay. Did the attorneys for RAB describe to you
19 any relevant legal standards to assess infringement and
20 validity?

21 A. They did.

22 MR. MOSKIN: There does seem to be some network
23 connectivity problem. We should go off the record briefly.

24 JUDGE CHENEY: Okay.

25 MR. MOSKIN: Dr. Josefowicz, can you hear me?

1 THE WITNESS: I can hear you.

2 MR. MOSKIN: All right. Good. Maybe we're all
3 right now.

4 BY MR. MOSKIN:

5 Q. My question to you, Dr. Josefowicz, was whether
6 RAB's lawyers described to you any relevant legal standards
7 to assist in your -- your opinions regarding infringement
8 and invalidity?

9 A. Yes, they did.

10 Q. Showing you now what's in your demonstrative
11 exhibits, slide 3, does this summarize your understanding
12 of what is meant by the level of ordinary skill in the art?

13 A. Yes, it does.

14 Q. Okay. Did you apply that level of
15 understanding -- or that understanding of the level of
16 ordinary skill in the art in developing your opinions
17 regarding the '570 Patent?

18 A. I did do that.

19 Q. Let's pull up slide 4. We heard some testimony
20 from Dr. Lebby about standard illumination output
21 distribution, in particular levels T2, T3 and T4.

22 Would a person of ordinary skill in the art
23 working on roadway design, in your opinion, be familiar
24 with such standard illumination output distributions?

25 A. Yes, they would.

1 Q. Why is that?

2 Perhaps you can explain what we're looking at on
3 the slide as well.

4 A. Yes. It's important to understand -- to answer
5 this question, it's important for me to explain that what I
6 show here, which is that in 1983, the Illuminating
7 Engineering Society of North America, which is a globally
8 recognized organization that sets standards and
9 specifications for lighting, including street lighting,
10 published the distribution set we're looking at
11 schematically here, Type 1 through Type 5.

12 They were explicitly formulated for primarily
13 streets, highways, and parking lots.

14 The Type 1 is a distribution that would be
15 appropriate for two-lane road, a narrow road so that if you
16 think about it as kind of a rectangular in shape, it's the
17 longest rectangle.

18 Type 2 would be for a wider -- a wider road,
19 maybe a three-lane road. Type 3 for an even wider, maybe
20 four-lane road, and so on.

21 What's important also to understand is that
22 after these specifications for types of distribution were
23 published, essentially all of the world's manufacturers of
24 streetlights needed to provide evidence that their
25 streetlights produced one or other of these distributions.

1 So, for example, if you were intending to sell a
2 light or providing a response to a request for quote, for a
3 community of 50,000 lights, you would have to provide
4 evidence that your light would produce one of these types
5 of distributions.

6 And there were testing methods for the light
7 that would analytically measure the output from the light
8 and its distribution to identify the type of distribution
9 that we're looking at here.

10 Q. So would these distribution patterns or
11 standards be well known to designers of lighting devices
12 for -- roadway lighting devices in particular?

13 A. Yes, it would be not only that they would be
14 well known, but it would be required that they understood
15 them.

16 Q. Is it implicit in these standards that lens
17 designers would need to be able to distribute light from a
18 light-emitting source to particular directions where it was
19 needed?

20 A. Yes. And it would be essential that they
21 understood the relationship between the lens design and
22 what it would produce. If it was a streetlight, it would
23 need to be one of these distributions.

24 And as I said, these would normally be part of a
25 series of specifications that a streetlight manufacturer

1 would provide potential customers, or they -- or for the
2 most part, they wouldn't be included.

3 Q. When you say, "they wouldn't be included," they
4 wouldn't be included in the bidding process?

5 A. That's right.

6 Q. Okay. Let's pull up the next slide, 5, and I'd
7 like to ask you whether in considering your opinions
8 regarding the validity of the asserted claims of the '570
9 Patent, did you understand that claims of an issued patent
10 are presumed to be valid, and must be shown invalid by
11 clear and convincing evidence?

12 A. I do understand that.

13 Q. Looking at the cover page of the '570 Patent, is
14 that the patent that you looked at in connection with this
15 case?

16 A. That is the patent --

17 Q. Okay.

18 A. -- I looked at.

19 Q. Right. I would like first to discuss your
20 opinions concerning any technical analysis you did.

21 Were you asked to do a technical analysis of the
22 '570 Patent?

23 A. I was asked to look at this patent from a
24 technical point of view, yes.

25 Q. Do you understand that the term "preferential

1 side" -- let's go to, I guess, slide 6 -- has been
2 construed in this case as having a specific meaning?

3 A. Yes, I do.

4 Q. The meaning shown here is, "An off-axis
5 direction with respect to the emitter axis to which a
6 majority of the light is distributed."

7 Is that what you understand is how preferential
8 side has been construed?

9 A. I understand it that way.

10 Q. Yes. Is that the interpretation or construction
11 of this phrase that you used in formulating your opinions?

12 A. It is.

13 Q. Do you have a general sense of what the earliest
14 priority date of the '570 Patent is?

15 A. Yes. I understand it to be May 23, 2008.

16 Q. Okay. Let's pull up slide 9.

17 And do you have an understanding of what claims
18 are being -- of the '570 Patent are being asserted against
19 RAB in this case?

20 A. Yes. As listed on this slide, it's Claims 1, 3,
21 4, 5 and 10.

22 Q. Okay. Let's go back to slide 7. Yes. Or let's
23 go to slide 7. Yeah.

24 Do you understand what is -- can you briefly
25 summarize, you know, what's shown here, as claimed in the

1 '570 Patent?

2 A. This is a cross-section of the lens that is the
3 sole embodiment of this patent. To me, this is -- I
4 would -- the term I would use for this lens is it's a
5 free-form lens, meaning it doesn't -- it's not a lens that
6 would be termed classical optics, according to the
7 terminology in physics, but classical optics lens like a
8 bispherical convex lens or a lens like that, but it's a
9 free-form lens clearly designed using computer-aided
10 design.

11 Q. Why do you say it is clearly designed using
12 computer tools?

13 A. Because it's extremely complicated, and no human
14 being could design all of these complex lens shapes and
15 surfaces without the assistance of computer and ray trace
16 analysis to determine how all of this complexity translates
17 into a distribution that it produces on a surface.

18 In this case, you also see, I just should add,
19 that there is an emitter which in the patent '570 is a
20 light-emitting diode, LED.

21 Q. Okay.

22 A. And there is an emitter axis also present, which
23 appears to be at the central part of the LED device which
24 is annotated by a number 3.

25 Q. Okay. Now, do you understand -- well, first of

1 all, did you listen to Dr. Lebbby's testimony the other day,
2 I think it was Monday?

3 A. I did.

4 Q. Do you understand that Dr. Lebbby testified that
5 RAB's LOTBLASTER and TRIBORO products infringed the '570
6 Patent?

7 A. Yes, I did hear him say that.

8 Q. Do you agree with him?

9 A. I don't.

10 Q. Do you have an opinion whether RAB's products
11 infringe or do not infringe the '570 Patent?

12 A. My opinion is they don't infringe on the '570
13 Patent, and they don't comply with all the limitations in
14 the '570 claims.

15 Q. Are there principal limitations you believe that
16 they do not comply with?

17 A. Yes. The front and back sector.

18 Q. Okay. Let's look at slide 11.

19 Does this slide depict some of the examples of
20 RAB's LOTBLASTER and TRIBORO products?

21 A. They do.

22 Q. Have you looked at other photographs and
23 engineering drawings for these products?

24 A. I have.

25 Q. Can you briefly describe what -- pertinent

1 features of the two products?

2 A. Yes.

3 Well, as noted below, at the bottom of the
4 slide, these are able to produce IES Type 2, Type 3 and
5 Type 4 that we discussed earlier.

6 In particular, they have characteristic
7 streetlight design features. You see, what we're looking
8 at is slightly from the top view. We see at the front of
9 both lights, you have a thin structure, which is a passive
10 cooling thermal management system for the LED light engines
11 that would sit below, on a plane below the fins, looking
12 out and down from the fixture. The optics, the lenses that
13 we're talking about here, would be over the LEDs on the
14 other side.

15 Typically, the design of these features a
16 compartment at the back that has these two circles, and
17 what looks like a cylinder on the right one. Underneath
18 that surface, typically resides the power supply driver,
19 which converts AC input to the light to DC current for the
20 LEDs.

21 Q. All right. And you noted that these -- the RAB
22 products are made to comply with Type 2, Type 3, and Type 4
23 IES distributions.

24 Does that tell you anything about the intended
25 applications or uses for these products?

1 A. These would -- I assume these would be
2 streetlight or roadway light fixtures, would be the
3 application.

4 Q. Let's go to slide 12.

5 I believe Dr. Lebbly has supplied these figures
6 to show the RAB products, and I believe how they meet the
7 preamble of Claim 1 being a lens for distribution of light,
8 predominantly toward the preferential side, from a light
9 emitter, having an emitter axis and defining an emitter
10 plane.

11 Do you agree that that's what these show?

12 A. Yes. These are photometric report files for
13 how, for example, the one on the left, the Type 2 LED light
14 fixture, and its lenses and its LED emitter, produce a
15 distribution on a roadway. This photometric graph features
16 isocandela lines that are denoted by numbers here, 2, 1, .5
17 and .25. Each line has sequentially lesser illuminants or
18 intensity. And we can follow the isocandela line so that
19 we can understand the uniformity.

20 But, you know, the IES Type 2 distribution has
21 specific callouts for intensity that's required to be met,
22 for a Type 2 distribution or Type 3, the one beside it, and
23 the one on the right.

24 So these are -- as I spoke to the IES
25 distributions earlier, these are something that's part of

1 the specification package for an LED luminaire such as
2 these that would be used by the potential client to
3 determine which manufacturer or which product they wanted
4 to purchase because this is a very important comparison
5 specification when somebody's doing an assessment of which
6 manufacturer they're going to go with.

7 Q. But in short, you agree with Dr. Lebby, that the
8 RAB lenses are designed to distribute light predominantly
9 toward a preferential side from a light emitter?

10 A. Yes. To that point, one more clarification.

11 There's a dot there. The dot represents where
12 the luminaire is located.

13 Q. Okay. Thank you.

14 A. So --

15 Q. Let's --

16 A. So I was just going to say that if the luminaire
17 is located on the line there, which is the side of the
18 street labeled zero, at a height of 35 feet, which is
19 called out as the mounting height, then in order to produce
20 this type of distribution, by definition of this -- of this
21 distribution, you would need to redistribute the light from
22 the emitter, the LED emitter, towards the street side in a
23 very special way in order to get this kind of uniformity.

24 Q. All right. Let's pull up slide 13.

25 And Dr. Lebby supplied this teardown photograph

1 in his report to show that RAB lenses distribute light from
2 a light emitter having an emitter axis.

3 Would you also agree with Dr. Leiby in this
4 respect?

5 A. Yes, I agree.

6 Q. Okay. Let's call up slide 14.

7 Dr. Leiby has supplied these illustrations to
8 show that the RAB products meet Claim 1A, the feature that
9 it have an outer surface configured for refracting emitter
10 light predominantly toward a preferential side.

11 Would you also agree with Dr. Leiby that's
12 what's shown here?

13 A. I agree.

14 Q. Great.

15 Let's move on to the -- oh, still -- I'm sorry.
16 Don't move on.

17 Dr. Leiby's also supplied these illustrations to
18 show, I believe, that RAB products have, as recited in
19 Claim 1B, a refracting inner surface configured for
20 refracting light from the emitter, the refractor inner
21 surface then comprising, which we'll go on to describe.

22 Do you agree with Dr. Leiby that that's what's
23 shown here?

24 A. It is. I agree.

25 Q. Okay. Let's turn to talk about the front

1 sector, and move to the next slide, 15.

2 Okay. Yes. So Dr. Lebbby testified that RAB
3 emitters have a front sector centered on the preferential
4 side. And according to Dr. Lebbby, that's where the front
5 sector is, and the T2, T3, T4 products, is -- what has
6 Dr. Lebbby identified as the front sector in his drawings?

7 A. Dr. Lebbby's definition for what the boundary is
8 for the front or preferential side sector of the lenses
9 versus the non-preferential back sector side of the lens,
10 according to Dr. Lebbby, is based on a vertical line that
11 comes off the emitter axis.

12 So in this case, the blue line would be aligned
13 with the emitter axis. The emitter axis, I assume he meant
14 the center point of the LED.

15 Q. And is the front sector simply what is
16 highlighted in green to the right of the emitter axis?

17 A. Yes.

18 Q. As defined by Dr. Lebbby?

19 A. As defined by Dr. Lebbby, the part of the lens to
20 the right of that emitter axis demarcation is the front
21 sector. And --

22 Q. Okay.

23 A. Yeah.

24 Q. I'm sorry. I didn't mean to cut you off.

25 A. No, that's -- that's right. The green line on

1 the lens shows the front sector part of the lens.

2 Q. Okay. Let's go, then, to the next slide, 16,
3 that -- which requires a back sector centered on the
4 non-preferential side radially opposite the preferential
5 side, and having a surface configuration differing from the
6 surface configuration of the front sector.

7 Just according to Dr. Leby, where is the back
8 sector in these three, T2, T3 and T4, lenses?

9 A. So with reference to what I just explained,
10 Dr. Leby's definition is for the demarcation line, then
11 the same demarcation line would be the dividing point for
12 the back sector, as he showed in these slides that he
13 presented.

14 Q. And that's highlighted in pink; correct?

15 A. Yes. Except that he only -- he only has a
16 demarcation of part of the lens, which I don't understand
17 if -- the definition.

18 Q. Okay. We'll come back to that.

19 A. Yeah.

20 Q. Just to be clear as to your understanding, what
21 has Dr. Leby used as the defining condition for -- or
22 boundary condition to delineate or distinguish between the
23 front and back sectors?

24 A. So the definition for the demarcation point or
25 line or plane for the front sector and back sector is that

1 which comes off the emitter axis.

2 Q. Thank you.

3 Applying this interpretation of using the
4 emitter axis as the boundary condition for the front as
5 against the back sector, do you understand that Dr. Lebbby
6 contends that the accused products infringe Claim 1?

7 Do you understand that?

8 A. Yes, I do.

9 Q. Do you agree that the RAB products have a back
10 sector as required by Claim 1?

11 A. No, I don't.

12 Q. So is it your opinion that the feature back
13 sector as understood, in light of the '570 Patent, is not
14 present in any of the RAB products; is that correct?

15 A. Correct.

16 Q. Let's pull up the slide 17, which shows Figure 6
17 from the patent -- from the '570 Patent.

18 I'd like to ask you to explain what the '570
19 Patent itself says about the front versus the back sector.

20 Have you annotated here in Figure 6 of the '570
21 Patent to help distinguish between the two?

22 A. Yes, I have. I have colored the front sector
23 this -- I don't know what you see, but it's blue here for
24 me.

25 Front sector is also marked as number 20 in

1 Figure 6, and back sector is marked 30, and it looks orange
2 for me. It's those arcs between 323 and 322, the back
3 sector. Further --

4 Q. Okay. Go ahead.

5 A. I want to explain so we all understand what this
6 is.

7 This is an expanded view, a top view, of half of
8 the lens. So there would be another half of this lens
9 below the line marked 4.

10 Q. And in this view, is the emitter axis, which you
11 defined here as the z-axis, is this coming straight out at
12 us?

13 A. Yeah, so in the lower right-hand corner, I have
14 an x, y, z Cartesian coordinate marked out. So we should
15 understand this to mean that x and y are in the plane that
16 we're looking at, and z is coming out towards us, out of
17 the plane, perpendicular to the plane.

18 So with that coordinate system defined, although
19 I have drawn a line in green referred to as emitter axis,
20 it's actually coming straight out towards us,
21 perpendicular. It's 90 degrees to the surface.

22 Q. Okay. As shown here, is the front sector
23 bounded by the emitter axis?

24 A. It is not.

25 Q. Okay. Does -- do you see a physical demarcation

1 or discontinuity here in Figure 6 between the front and
2 back sectors?

3 A. I do. And in the Patent '570 text, and also in
4 one of the claims, this interface between front sector 20
5 and back sector 30 is referred to as a juncture.

6 Q. Let's --

7 A. So -- so basically, from my perspective, having
8 experience with lens design, these would be -- I could
9 consider these two lens elements that have a juncture,
10 which is the line that you see between 30 and 20.

11 Q. Okay.

12 A. And that the arc subtended by 20 passes the --
13 the delineation here that I have for the emitter axis
14 towards the left side of the lens.

15 Q. Let's pull up slide 18, which is Figure 5 from
16 the '570 Patent, and ask you if this helps clarify what is
17 the distinction between or the relationship between the
18 front and back sectors as referenced in the '570 Patent?

19 A. Okay. So now we're looking at -- again, we're
20 looking at a top view, and this top view now has the entire
21 lens shown, including the bottom half that was left out
22 before. And that we're seeing the entire lens from the top
23 view.

24 So that same x, y, z coordinate space applies
25 here, and I've drawn a line that I annotate plane. It's

1 going through the emitter axis. So it's in the plane now.
2 So we can better understand the significance of the front
3 sector.

4 So the front sector, again, is colored blue, and
5 the back sector is colored in orange, or whatever it is,
6 the color you see -- different from blue.

7 And that you can see that the front sector, as
8 defined in the text, and in the diagrams, in the figures,
9 in Patent '570 have a front sector that looks like, in this
10 case, in two dimensions, a circle with a wedge taken out of
11 it.

12 And inside the wedge is the back sector that
13 fits inside the wedge and forms this juncture that's
14 referred to in Claim 19 for where the front and back
15 sectors meet.

16 '570 Patent, Claim 19 text refers to the meeting
17 point of those -- meeting surface of those two sectors as a
18 juncture.

19 Q. Let's move on to slide 19. I think it's the
20 final illustration I want to show you right now from the
21 '570 Patent. It's Figure 4.

22 What does this figure show about the
23 relationship between the front and the back sectors?

24 A. So we have, now, a cross-section view instead of
25 a top view.

1 Again, you can see the cross-section of the LED
2 marked point 3 in this figure. We have the emitter axis
3 coming through the center of the LED, coming up through the
4 cross-section that's annotated number 2, and it, as we can
5 clearly see, with sector 20 identified, again, in blue --
6 colored blue, it passes through the front sector, and the
7 front sector proceeds to be a part of the left side of this
8 lens, beyond the emitter axis and meets the back sector
9 further back, as we saw in the previous figures.

10 Q. All right. Let's look at some of the language
11 of the '570 Patent, and if we could call up slide 20.

12 I'd like to ask whether this -- any of the
13 descriptions of Figures 20 and 30 -- well, the descriptions
14 in the specifications of the patent help inform your
15 understanding what is the distinction between the front and
16 the back sectors?

17 A. Yeah. So as we saw in Figure 5, which is
18 referred to here, that the front sector, 20, that
19 blue-colored sector extends about the axis, 2, which is the
20 LED axis, as we saw in the figure, along an arc, 24, which
21 is in that figure also, annotated. And that the back
22 sector extends along an arc, 31.

23 So it passes the LED axis into the other side of
24 the lens beyond the emitter axis. That's what this is
25 referring to.

1 Then the text below it, for further clarity, it
2 states that the front sector preferably extends about the
3 emitter axis along an arc that is greater than the arc
4 along which the back sector extends.

5 So it's clearly -- that arc clearly passes the
6 demarcation line of the LED axis.

7 And in the preferred embodiment, it even states
8 that the inventive lens, the back sector arc is about half
9 the front sector arc, as we saw it is in the figure.

10 Q. Right. All right. Let's go back, then, to
11 Figure 6, which is slide 17, I think.

12 Is this consistent -- as you highlighted it, is
13 this consistent with the text of the specification that you
14 just read?

15 A. Yes, it is consistent.

16 Q. In your opinion, is this description consistent
17 with the -- what we just saw in Figures 4 to 6?

18 A. Sorry?

19 Q. In the text of the patent -- yeah. Is it
20 consistent with the figures that we were looking at a
21 moment ago?

22 A. Oh, I see. When you said figure, you cut out.

23 Q. Oh, I'm sorry.

24 A. Yes.

25 Q. In fact, doesn't it show that the -- that the

1 arcs are not bounded by -- simply by the emitter axis?

2 A. It shows clearly that the delineation between
3 the front sector and back sector is not bounded by the
4 emitter axis?

5 Q. Let's turn to slide 21.

6 Is this the language you were mentioning earlier
7 which the patent refers to a juncture?

8 A. Yes. This is what I was referring to.

9 Q. Okay. Let's go to slide 22.

10 These are images from Dr. Leby's report, and do
11 you recognize the images below as being Dr. Leby's
12 annotations from his --

13 A. I do. I do.

14 Q. Can you describe the difference between what's
15 labeled as the front sector in green and the back sector in
16 pink between these two figures as shown by Dr. Leby?

17 A. Yes. The definition for front sector and back
18 sector is simply erroneous here. It doesn't comply to the
19 figures or language that's used in Patent '570, neither in
20 the claims, nor in the text.

21 Q. In your -- as you understand it, has Dr. Leby's
22 interpretation included in the back sector part of what
23 actually is in the front sector?

24 A. Dr. Leby's interpretation does not comply with
25 the explicitly clear definition of where the back sector

1 and the front sector meet.

2 Q. All right. Let's turn to -- I didn't mean to
3 interrupt you.

4 A. Just a point that the definition that Dr. Lebbly
5 uses is shown here, that the emitter axis in Figure 3
6 determines where the front sector is, which he marked in
7 green, which it isn't, because as we saw in the previous
8 figures, that arc continues until it meets the back sector,
9 and he cuts that off here.

10 On this Figure 5 -- sorry. Were you going to
11 say something?

12 Q. Would you say there appears to be a pretty big
13 gap in there?

14 A. That's correct. There's --

15 Q. Okay.

16 A. And on the right, Figure 5, we can see where the
17 LED is. The axis for the LED would be coming -- that's the
18 top view that we looked at before -- would be coming
19 straight out at us from the center of this.

20 You know, we -- this figure has some circles and
21 a square, you know, imposed over it, but you can see at the
22 very center where the LED platform is, the base, and the
23 center of it, there's a red dashed line through it.

24 But that axis would be coming straight out at us
25 from the LED, yet Dr. Lebbly highlighted the part that he

1 referred to as the front sector, which is 20 that we saw in
2 the previous figures, and when asked, he agreed that this
3 was the front sector, which it clearly isn't.

4 Not only is it not the front sector, but it also
5 contradicts his interpretation of what he calls the front
6 sector in Figure 3 right beside it.

7 They're both in contradiction to each other.

8 Q. Thank you.

9 Let's go to the next slide, 23.

10 Do you recognize the image on the left from
11 Dr. Leiby's demonstrative earlier this week and the image
12 on the right from his report?

13 A. Yes, I do.

14 Q. Did you observe Dr. Leiby's presentation this
15 week -- earlier this week?

16 A. I did.

17 Q. Do you recall that Dr. Leiby was asked on
18 cross-examination whether his labeling the front sector in
19 green was accurate?

20 A. He said yes.

21 Q. Well, do you -- did you recall that he also said
22 that it could be -- that it could be more than what's shown
23 in -- shaded in green in Figure 5?

24 A. Yes. I understand he said, when asked, that it
25 could be more.

1 Q. Okay.

2 A. But he didn't say how much more.

3 Q. Okay. Let's turn to the next slide, 24.

4 What do these annotations on Figures 3 and 5
5 show in your opinion?

6 A. So I've taken these figures and annotated them
7 and colored them in to show what Patent '570 means, and
8 what the definition for the front sector -- front and back
9 sector are.

10 And if Dr. Leiby took the interpretation that's
11 written and shown in Patent '570 accurately, then the front
12 sector would be colored as I have shown in Figure 5, blue,
13 using the same color as I have throughout the slides.

14 And on the left where he used the emitter axis
15 to demarcate the front sector which he colored the arc in
16 green, to the right of the emitter axis and orange to the
17 left, that arc should continue as described in Patent '570
18 language to the back sector.

19 And it's not truncated, as Dr. Leiby did, using
20 the emitter axis as a demarcation line.

21 Q. Okay. And let's turn to the next slide.

22 Would you say that, under your understanding of
23 what the '570 Patent teaches, that that's different from
24 what -- Dr. Leiby's opinion?

25 A. It's absolutely different. They bear no

1 relation to each other.

2 Q. In your opinion, would a person of ordinary
3 skill understand that the back sector is bounded by the
4 emitter axis, or not?

5 A. I believe if a person who understands optics
6 read Patent '570 and understood it, they would understand
7 that -- two things.

8 They would understand that nowhere in Patent
9 '570 does it define the front sector to be referenced to
10 the emitter axis. There's no text that explains that
11 definition anywhere in the text.

12 And that indeed, that the front sector and back
13 sector are defined by a juncture that is not delineated by
14 the emitter axis.

15 Q. Do the terms "front sector" and "back sector"
16 have any accepted meaning, to your knowledge, to -- in the
17 field of lens design or the design of -- particularly, the
18 design of free-form lenses?

19 A. Well, in my opinion and my experience, free-form
20 lenses don't comply with classical optics in terms of, as I
21 said -- mentioned before, like you'd have a spherical
22 concave lens, a bispherical concave lens, where if you
23 shine parallel beams perpendicular to the plane of the
24 lens, that you get a focal point. All the rays become
25 focused to a focal point.

1 In a free-form lens, every point on the
2 refractive surface has its own focal point. And that's why
3 it's so effective. You can take light that's symmetric
4 from an LED and use a free-form lens to produce a wide
5 distribution with a controlled uniformity.

6 So unlike classical optics, free-form lenses
7 don't have a singular focal point, and typically, they
8 don't have a singular what I would call center, or a
9 singular front sector or back sector. You would have to
10 define them as they have been defined in Patent '570.

11 In Patent '570, the front sector and back sector
12 were defined relative to some lens elements that are part
13 of the design of the free-form lens.

14 Q. All right. Let's go to the next slide, 26.

15 And just to summarize your opinions regarding
16 infringement, is it your view that the RAB products can be
17 divided into a front sector -- the RAB lenses that is, can
18 be divided into a front sector and back sector as recited
19 in Claims 1 and 10 of the '570 Patent?

20 A. I believe that they do not comply to the
21 limitations in Claim 1 and 10 that have been stroked out.

22 And the reason -- sorry.

23 Q. Go ahead.

24 A. And the reason --

25 Q. Go ahead.

1 A. The reason that they don't comply is that
2 nowhere in the lenses that RAB designed, that we saw the
3 cross-sections of, is there a front sector and back sector
4 that come together as a juncture, that well-defined
5 juncture. They're just free-form lenses with continuously
6 varying surfaces.

7 Q. To confirm, I think you touched on this earlier,
8 can you achieve a type -- achieve an IES Type 2, 3 or 4
9 distribution without building a front and back sector into
10 a lens?

11 A. Yes, you can. And most lenses do without a
12 juncture.

13 Q. Let's pull up slide 27. I want to turn the
14 focus to your opinions regarding invalidity.

15 At the outset, could you briefly summarize any
16 problems you understand the teachings of '570 Patent
17 purported to solve?

18 A. Yes. They -- what is discussed in the '570
19 Patent is to be motivated to make improvements in
20 efficiency, as I've listed here, control and managing
21 trespass lighting.

22 I would add that efficiency of a luminaire is
23 something that motivates every -- has motivated every
24 designer, engineer, optics specialist, for many
25 generations, because it speaks to lumens, how many lumens

1 you can get out of the luminaire versus how many plug watts
2 you put into the luminaire.

3 It really is a matter of how efficiently can you
4 move light to where you want it without wasting any, as
5 well as other things that affect efficiency like power
6 supply efficiency and other things, but when it comes to
7 the optics, that's a major part of the efficiency.

8 So maximizing efficiency would be to put the
9 light where you want it, for example, in a streetlight, you
10 want the majority of the light or all of it, if you could,
11 to be in this uniform distribution, for example, if it's an
12 IES Type 2 distribution, you would want as much of the --
13 if you are using an LED as a light source, as much of the
14 LED light to be projected in a pattern that's defined onto
15 the street, and not waste any anywhere else, including, for
16 a streetlight, the house side of the street, because the
17 luminaire would be on -- typically, the pole would be on
18 the sidewalk or near the sidewalk, and the house would be
19 behind it.

20 You don't want to put any extraneous light to
21 the back or, as it's referred to in the industry, trespass
22 light, that would cause a lowering of efficiency.

23 Q. Were these -- I'm sorry, I didn't know -- I
24 didn't mean to cut you off if you're not done.

25 A. No, that's all.

1 Q. Okay.

2 A. That's all.

3 Q. Were these issues or concerns unique to the time
4 frame around 2008 or were these issues that were present in
5 the lighting industry from long prior to that?

6 A. Well, from the beginning of street lighting,
7 which takes us to the early 20th century, designers and
8 engineers were concerned about this. Of course, they
9 didn't have computers, so they had to design lenses by hand
10 using ray traces that they drew by hand, but they were --
11 they were concerned about this as they are today. No
12 different.

13 Q. Are these concerns also expressed in writing in
14 the IES standards that you were discussing previously?

15 A. Regarding the IES, I would say that decades
16 before the IES published the IES types of distributions,
17 that luminaire designers who actually produced luminaires
18 could use testing tools, one of which is a gonio
19 photometer, which was invented in the early 1900s. You
20 could use a gonio photometer -- let me just explain for
21 those who don't know what that is.

22 It's basically you put the luminaire in a
23 fixture, and then there's a very large swing arm that can
24 rotate around the luminaire in three dimensions, capturing
25 the light coming out of the luminaire in every direction,

1 in three dimensions, and collecting data for the output
2 intensity for that luminaire.

3 That is one way that those photometric graphs
4 that we looked at earlier that denote Type 2, Type 3, those
5 curves, those isocandela lines that we saw, could be
6 generated from data that you produce from a gonio
7 photometer.

8 So what I'm saying is in the mid-20th century,
9 although there weren't computers to design lenses or
10 luminaires that produced street lighting, they did have the
11 gonio photometer, and they could measure the distributions
12 that were produced by the luminaires that they manufactured
13 and designed.

14 So they had motivation to keep improving that,
15 but in 19 --

16 Q. If I may -- yeah, maybe you're coming to that.
17 If I may, Dr. Josefowicz, these were also expressed in the
18 1983 IES standards?

19 A. I was just going to mention that.

20 Q. Yes, I thought so.

21 JUDGE CHENEY: Well, let's look forward to the
22 exciting 1983 IES standards after our afternoon break.

23 Let's take 15 minutes.

24 MR. MOSKIN: Thank you.

25 JUDGE CHENEY: I'll remind you, Dr. Josefowicz,

1 don't discuss your testimony with anyone during the break.

2 We're off the record.

3 (Whereupon, the afternoon recess was taken,
4 3:02 p.m. - 3:18 p.m.)

5 JUDGE CHENEY: We're back on the record now in
6 the 1213 Investigation.

7 We are listening to the direct examination of
8 RAB's technical expert, Dr. Josefowicz, telling us about
9 the '570 Patent.

10 So let me just now turn the time back over to
11 Mr. Moskin.

12 MR. MOSKIN: Yes. I'm sorry for speaking over
13 you. I just wanted to speed it up.

14 BY MR. MOSKIN:

15 Q. To wrap up what we were just saying before the
16 break, are the -- the IES standards that you testified
17 about, is it fair to say they are effectively an embodiment
18 of the same concerns, the teachings of the '570 Patent that
19 you've identified?

20 A. They are.

21 Q. Okay. Let's move on to discuss the specific
22 prior art. And if we can call up Exhibit RX-759.

23 And I'd ask you, Dr. Josefowicz, if you can
24 identify what's shown here, which is -- I'll just state for
25 the record, is Europe Patent Application EP 1920973?

1 A. I do identify it.

2 Q. What is it?

3 A. It's Europe patent application, or prior art.
4 It's prior art.

5 Q. Is the named inventor, his last name Mandaluniz?

6 A. It is.

7 Q. If I refer to this reference simply as
8 Mandaluniz, you will know what I'm talking about?

9 A. I will.

10 Q. Let's go just briefly to slide 28, which is
11 simply the same cover. We don't really need to dwell on
12 this. Maybe move on to slide 29.

13 Claim 1 of the '570 Patent teaches -- or
14 recites, rather, a lens for distribution of light toward --
15 predominantly toward a preferential side from a light
16 emitter, having an emitter axis and defining an emitter
17 plane, and do you believe these features are present in the
18 Mandaluniz reference?

19 A. I agree that it does.

20 Q. Where are those shown here?

21 A. We have a light source being an LED, as we can
22 see demarcated by point 1. And it emits an output
23 distribution onto the lens, which is marked 3, point 3.
24 That lens has a number of lens elements of different kinds
25 on the inside surface and some on the outside surface,

1 marked 9.

2 The light from the LED is incident on the -- all
3 those various lens elements that are designed to bend the
4 light in a certain direction. As we can see from the four
5 ray traces that are in the patent application, that this
6 lens is designed to redistribute the light from the LED,
7 which is typically a Lambertian symmetric output
8 distribution to a surface, they call Plate 2.

9 So it does what Claim 1 -- the Claim 1
10 limitation suggests.

11 Q. Let's go to the next limiting feature of Claim
12 1, which in the next slide, 30, is an outer surface
13 configured for refracting emitter light predominantly
14 toward the preferential side, and do you believe that this
15 feature is found or limitation is found in Mandaluniz?

16 A. I agree.

17 Q. Is that also shown as the ray traces from --
18 item 9 in the drawing?

19 A. That's correct. Item 9 points to the outer
20 surface.

21 Q. Okay. Let's go to the next slide, 31, which
22 from the '570 Patent recites a refracting inner surface
23 configured for refracting light from the emitter, the
24 refracting inner surface comprising.

25 Is that shown in the Mandaluniz reference?

1 A. It is shown.

2 Q. That's at the interface labeled 4?

3 A. Or 8. The segment 8. You can also see how the
4 light is refracted by the dashed line through the lens.
5 You can see how the light is bent as it enters the lens,
6 and how it's bent when it exits the lens.

7 Q. Let's go to slide 32.

8 Does Mandaluniz, in your opinion, reveal a front
9 sector centered on the preferential side?

10 A. Well, as we spoke before, this is a free-form
11 lens; however, if one was going to define a front sector, I
12 could use Dr. Leby's interpretation, if I wanted, and make
13 the LED emitter axis the dividing line in this case.

14 But more specifically, in this case, for this
15 lens, there is an optical discontinuity. You see that
16 curved surface 6 that has a step in it, that's in line with
17 the LED axis, that could be a demarcation for a front
18 sector to back sector.

19 Q. Okay. Let's turn to slide 34 -- 33. Excuse me.

20 Do you see in the Mandaluniz reference a --
21 something satisfying Claim 1 of the patent, a back sector
22 centered on the non-preferential side radially opposite the
23 preferential side, and having a surface configuration
24 differing from the surface configuration of the front
25 sector?

1 A. Yes.

2 So as I just spoke to the front sector, the same
3 definition for the dividing point would be applied here to
4 the back sector, which I have colored in in red, and that
5 the surface lens elements on the inside surface of the back
6 sector, denoted in red or whatever color you see, is
7 different from the front sector, which is denoted in color
8 blue.

9 Those elements are different.

10 Q. Okay. Let's go to the next slide, 34, which
11 should be a comparison of the RAB product and the
12 Mandaluniz reference.

13 Do you recognize the RAB lens on the left, and
14 Mandaluniz on the right?

15 A. I do.

16 Q. Would you agree that if you were to use the
17 emitter axis to define the front and back sector, as
18 Dr. Lebbby does, that Mandaluniz would also show the same --
19 the same feature or limitation?

20 A. Yes. Yes.

21 Q. As one would want -- would one of ordinary skill
22 in the art, in your opinion, recognize in Mandaluniz the
23 elements necessary to be integrated into a free-form lens,
24 as shown in the '570 Patent?

25 A. Yes.

1 Q. Okay. As a person of -- would one such as
2 yourself, a person of ordinary skill in the art, could you
3 take the optical elements -- well, I'll just strike that
4 question.

5 That's fine. Let's move on.

6 Let's go on to slide 36.

7 Oh, excuse me. First, let's bring up RX-758.

8 And can you identify what's shown here?

9 A. Yes. So it's a US patent. Inventor is Parkyn
10 and Pelka.

11 Q. Okay.

12 A. It's prior art.

13 Q. Okay. We'll come to that.

14 Let's turn to slide 37, and the '570 Patent
15 recites in the preamble, "A lens for distribution of light
16 predominantly toward a preferential side from a light
17 emitter having an emitter axis and defining emitter plane."

18 Do you see those -- that feature or limitation
19 present in the Parkyn reference?

20 A. Yes, I do.

21 Q. Where is that?

22 A. We have a lens 90, refractive lens 90, and the
23 lens has two sectors. I would call them sectors.

24 They have been denoted in the patent, 90R for
25 right, and 90L for left. There's an optical discontinuity

1 between them. That's that vertical structure. And that
2 the 90R sector of this lens is convex in shape on the
3 outside surface, and it's a tighter, smaller radius of
4 curvature surface, which would have a bigger effect on
5 bending the incident rays from the LED up to it, through
6 the lens, to the preferential side as compared to the other
7 sector, 90L.

8 So you would effectively get preferential
9 radiation, in this case, to the right of what we're looking
10 at.

11 Q. Okay. Let's go to the next slide, 38. And the
12 '570 Patent also has a further limitation naming an outer
13 surface configured for refracting emitter light
14 predominantly toward the preferential side.

15 Is this feature present or limitation present in
16 Parkyn, as best you can tell?

17 A. Yes, it is.

18 Q. Where is that?

19 A. I have marked the outer surface and annotated
20 it, outer surface.

21 Q. Let's go on to slide 39.

22 The patent also recites a refracting inner
23 surface configured for refracting light from the emitter.

24 Is that feature or limitation present, as best
25 you can tell, in the Parkyn reference?

1 A. Yes, it is, and I've annotated it inner surface.

2 Q. Okay. Thank you.

3 Let's go to slide 40. Claim 1 of the '570
4 Patent recites front sector, centered on the preferential
5 side, and as you understand the term is being used by -- at
6 least by Dr. Leby, do you believe that Parkyn discloses
7 this feature?

8 A. Yes.

9 As in the previous example, emitter axis lines
10 up with optical discontinuity that you can see from the ray
11 trace shown. There's an optical discontinuity right along
12 the emitter axis that conveniently allows the separation
13 from the right sector to the left sector, according to
14 Dr. Leby's interpretation, which I think applies here, and
15 defines the right sector and the left sector.

16 Q. Let's go to slide 41.

17 In Claim 1, recites a back -- of the '570
18 recites a back sector centered on the non-preferential side
19 radially opposite the preferential siding and having a
20 surface configuration differing from the surface
21 configuration of the front sector, and at least applying
22 Dr. Leby's interpretation, do you believe that feature is
23 present in Parkyn?

24 A. Yes, it is.

25 Q. You have indicated so here on the slide?

1 A. The front sector, as I say, is to the right,
2 90R, and the back sector is 90L. And the demarcation
3 between them can be Dr. Lebbey's interpretation, the emitter
4 axis.

5 Q. So you see those sectors have a different
6 surface configuration from one another?

7 A. Yes. Yes, they do. I mentioned that the radius
8 of curvature is quite different for both the left and the
9 right.

10 Q. Okay. In your opinion, would a person of
11 ordinary skill be able to take optical elements, such as
12 those shown by the Parkyn reference, which they call it two
13 dissimilar halves, and incorporate them into an asymmetric
14 lens?

15 A. I believe they could.

16 Q. Let's go to slide 42 -- excuse me. Before we do
17 that, let's pull up Exhibit RX-733, and can you identify
18 this?

19 A. Yes. It's a US patent, Minano, et al.,
20 7,377,671. I'm familiar with it.

21 Q. I also want to call your attention to the --
22 one, two, three, four -- fifth listed inventor, William
23 Parkyn.

24 Do you recognize that name from the patent we
25 were just looking at?

1 A. Yes, he was the inventor in the two-back
2 previous example that we were looking at.

3 Q. Okay. Let's go to a slide, I think -- figure
4 28. That's right. Thank you.

5 Can you give a brief description of what is
6 shown in the Minano reference?

7 A. Yes. Well, we have what I would call a first
8 lens. I know Dr. Lebbby referred to the first lens as being
9 the one right on -- attached to the LED, but I'm calling
10 the first lens here the lens 95.

11 The lens -- the lens 95 is shown as having what
12 looks like a semi-oval over the LED base, 98, and that you
13 have two segments to that oval, one denoted 96, and one
14 denoted 97.

15 Right to the left of it and above it is what I
16 call the second lens. That --

17 Q. Okay.

18 A. -- is -- okay.

19 Q. Go ahead.

20 A. I was going to say that's designed to redirect
21 light in connection with lens 95 to where the inventor
22 wanted it to go.

23 Q. Are the primary and secondary lenses shown in
24 Figure 28 in the Minano reference all part of the single
25 embodiment?

1 A. They are.

2 Q. As a lens designer, do you have an understanding
3 of whether they are designed to work together in a lens
4 system?

5 A. That's correct.

6 Q. Let's go to slide 43.

7 The preamble of the '570, again, recites a lens
8 for distribution of light predominantly toward a
9 preferential side from a light emitter having an emitter
10 axis and defining an emitter plane, and do you believe that
11 feature is present in the single embodiment of the
12 Minano reference?

13 A. It is.

14 Q. Where is that?

15 A. So I showed rays exiting the lens side on the
16 left, annotated 97. The whole lens includes a segment 96.

17 So 97 and 96 work together to produce
18 redistribution of the emitter light, which would be most
19 intense where that green line is, which would be off the
20 emitter axis, the LED emitter axis, and redistributes that
21 LED radiation to the preferential side, which in this case
22 is lens 97 side.

23 Q. All right. Let's go to the next slide, 44.

24 The next feature in Claim 1 of the '570 Patent
25 is an outer surface configured for refracting emitter light

1 predominantly toward the preferential side.

2 Do you believe Minano teaches this limitation?

3 A. Yes, and that's the surface of element 97.

4 Q. All right. Let's go to the next slide, which is
5 45.

6 Claim 1 of the '570 also recites a refracting
7 inner surface configured for refracting light from the
8 emitter.

9 And do you believe that Minano teaches this
10 limitation?

11 A. Yes, he does.

12 Q. Where is that?

13 A. That would be -- actually, it would be both 97
14 and 96, but 96 is a refractor that has a metallized coating
15 on it.

16 So the emitted light from the LED would radiate
17 into that side, 96, refract through the lens onto the outer
18 surface side, which would then reflect the light rays back
19 towards 97, and 97 would then refract the light out of the
20 structure to the preferential side.

21 I should also note that the lens sector, 97, is
22 described as a tailored free-form lens, clearly designed by
23 computer-aided design. So there are a lot of -- I would
24 expect that there would be a lot of lens elements and
25 complications on the inside of that surface to affect what

1 we're seeing.

2 Q. How does the -- does the light that is refracted
3 out of the inner lens, does that then get directed through
4 the larger secondary lens you were describing before?

5 A. The light from the LED is directed up and to the
6 left of the side 97, and then is incident on this other
7 lens that's above it. And the other lens further
8 manipulates, bends the light, so that the light then has a
9 further optimization to the side and space that the
10 inventor wanted.

11 Q. All right. Let's go to the next slide, 46.

12 And, again, Claim 1 of the '570 Patent recites a
13 front sector centered on the preferential side.

14 And do you believe that feature can be found in
15 the Minano reference?

16 A. Yes. Again, if I use Dr. Lebby's
17 interpretation, which could be applied here, it also, like
18 the previous cases that we spoke about, even though, as
19 I've annotated, the 97 lens is referred to as a tailored
20 free-form lens, the semi-sphere that's shown by a
21 combination of 96 and 97, splits the difference of that
22 semi-sphere, and is along -- that difference, that
23 demarcation between the two is along the LED axis.

24 Q. All right.

25 A. So we have the opportunity to denote lens

1 element 97, front sector.

2 Q. Let's go to slide 48.

3 Claim 3 of the '570 Patent recites the lens of
4 Claim 1 in which the inner refracting surface defines an
5 emitter-surrounding cavity with an emitter-receiving
6 opening in an emitter-adjacent base end of the lens.

7 Do you think that's shown in the Minano
8 reference?

9 A. Yes. That's precisely what that lens does.

10 Q. The base 98?

11 A. Yes.

12 Q. Okay. Let's move to slide 49.

13 And Claim 4 of the '570 Patent recites a
14 reflecting primary back surface positioned to receive light
15 from at least a portion of the refracting-inner-surface
16 back sector and configured for total internal reflection
17 thereof toward the lens outer surface.

18 Do you believe that Minano discloses this --

19 A. Yes, Minano --

20 Q. -- feature?

21 A. -- Minano teaches this and discloses it.

22 Q. Where is that shown in -- Figure 28?

23 A. The surfaces, 93, on those facets, are defined
24 in the patent as total internal reflection surfaces.

25 Q. Okay. Let's turn to slide 50.

1 And Claim 5 of the '570 Patent recites an
2 emitter-adjacent base end forming a back opening to a back
3 cavity substantially centered on the non-preferential side
4 and partially bounded by the primary back surface.

5 Applying Dr. Lebbby's interpretation of the '570
6 Patent, are you able to locate such a feature in the Minano
7 reference?

8 A. Well, in the secondary -- second lens that I
9 referred to as the lens 90, the back sector of that lens
10 would be shown by the arrow to have -- could be interpreted
11 as having cavities, is kind of a general term for an
12 opening.

13 Q. Okay. If we can pull up the Minano reference
14 itself, RX-733, and I direct you to column 9, lines 9 to
15 14.

16 Does this recite that the luminaire includes an
17 immersed -- chip-on-board LED source surface that utilizes
18 a folded optical path and tailored surfaces that produced
19 the desired outward pattern?

20 A. Yes.

21 Q. Let's go up to -- I apologize. I may have --
22 why don't you just read what is this -- the highlighted
23 passage that I've shown here.

24 A. "Only a few times bigger than the LED source.
25 Further, the redirecting device and/or optics can include a

1 precisely defined shape or have precise positioning
2 relative to the source. Some embodiments utilize
3 in-mold-chip-on-board features to implement the redirection
4 device and/or positioning relative to the source." [As
5 read.]

6 Q. Can I also direct your attention to -- in the
7 patent to column 22, lines 14 to 18?

8 A. So, "The present invention additionally employs
9 compact folded-optic configurations utilizing tailored
10 free-form surfaces to meet particular output prescriptions,
11 particularly low-beam and high-beam automotive forward
12 lighting."

13 Q. So does the lighting output prescription, does
14 that mean an illumination output distribution?

15 A. Yes.

16 Q. Would a person of ordinary skill in making a
17 lens with free-form surfaces be able to include features
18 like the aggressively tailored surfaces such as we saw
19 earlier in Mandaluniz or the different halves from Parkyn
20 in order to help make a particular output distribution?

21 A. They could.

22 Q. Okay. Let's go to -- if we can pull up RX-735.
23 I'll ask you if you recognize this document.

24 A. I do.

25 Q. What is it?

1 A. It's prior art.

2 Q. Well --

3 A. It's -- it's -- go ahead.

4 Q. Well, if I refer to this international
5 publication WO 2009/149558 as the Laporte reference, will
6 you understand what I mean?

7 A. Yes.

8 Q. Okay.

9 Let's go to slide 6 -- 51, rather, which
10 summarizes just the front. Let's go to slide 52.

11 The preamble of Claim 1 of the '570 Patent, as
12 we mentioned several times now, recites a lens distribution
13 of light predominantly toward a preferential side from a
14 light emitter having an emitter axis and defining an
15 emitter plane.

16 And is this feature found in Laporte?

17 A. It is.

18 Q. Where is that?

19 A. So we can see from the ray trace, it is shown
20 that this lens redistributes the light from the LED emitter
21 towards the left, clearly, and that it does it off-axis to
22 the LED axis from the emitter plane.

23 Q. All right. Let's go to slide 5.

24 And does Laporte reveal a back sector centered
25 on the non-preferential side radially opposite the

1 preferential side, and having a surface configuration
2 differing from the surface configuration of the front
3 sector?

4 A. Yes --

5 Q. Again, applying Dr. Leby's interpretation.

6 A. Yes, if I use Dr. Leby's interpretation, that
7 delineation from front sector to back sector would be the
8 emitter axis.

9 So the back sector is annotated and shown by the
10 rectangle that surrounds it. And the front sector,
11 likewise, is shown by a rectangle, and marked front sector.

12 Q. Okay. Let's go to slide 58.

13 A. And also I --

14 Q. Did you --

15 A. They're different optical configurations, the
16 front and back sectors.

17 Q. Okay. Claim 4 of the '570 Patent recites a
18 reflecting primary back surface positioned to receive light
19 from at least a portion of the refracting inner surface
20 back sector and configured for total internal reflection
21 thereof toward the lens outer surface.

22 Are you able to find this limitation in the
23 Laporte reference?

24 A. Yes, I can find it.

25 Q. Where is that?

1 A. That would be labeled 32. That segment of 32 is
2 the back sector, and it's clearly, according to these ray
3 traces, that's total internal reflection.

4 Q. Now, on the left-hand side of this page, there's
5 a figure which is taken from Dr. Lebbby's presentation the
6 other day.

7 Do you recognize that figure from Dr. Lebbby's
8 demonstration, Dr. Josefowicz?

9 A. I do.

10 Q. Responding to Dr. Lebbby, is what is being shown
11 in the image on the left a TIR surface that looks to be --

12 A. We have --

13 Q. Go ahead -- let me finish the question.

14 That looks to be on the non-preferential side,
15 is that also shown in Figure 5a of Laporte?

16 A. It is.

17 Q. Where is that?

18 A. The line he highlighted in purple, with the
19 green arrow trace reflecting from it.

20 Q. Okay. Let's pull up RX-0060, which is US Patent
21 7,674,018, and I'll ask if you can identify this.

22 A. Yes.

23 Q. What is it?

24 A. It's a patent referred to as "LED lamp heat
25 sink," filed November 26, 2003. Inventor, Martin and Wall.

- 1 Q. Let's go to slide 60.
- 2 What is shown -- what is shown here?
- 3 A. This is a US patent.
- 4 Q. This is the -- this is just a cover sheet of the
5 same patent we were looking at; correct?
- 6 A. Yep.
- 7 Q. And so let's go to slide 61.
- 8 And what is -- are you aware that there's a
9 reference in this patent to a Holder patent?
- 10 A. Yes.
- 11 Q. What does that disclose?
- 12 A. Well, Holder's patent discloses the -- amongst
13 other things, it discloses the computer-aided design and
14 ray trace methodology, working together to design free-form
15 lenses for various applications, including street lighting.
16 And he calls out specifically the mathematical ray trace
17 software, computer-aided design software such -- he calls
18 out Photopia by name as one of the various softwares that
19 does this.
- 20 He also teaches the use of total internal
21 reflection as a lens element, amongst various lens elements
22 that he teaches, including prisms and facets and curved --
23 various types of curved surfaces that are typically found
24 on free-form lenses.
- 25 Q. All right. I'm sorry. I didn't mean to cut

1 off.

2 A. Yeah, and I'm just listing what he -- the things
3 that I highlighted here.

4 And the invention also discusses in detail how
5 to maximize the efficiency by minimizing extraneous light,
6 which is referred to here as full cut-off beam.

7 Designs that would produce IES types of
8 distributions for roadway lights but minimize the amount of
9 backlight or trespass light.

10 Q. Let's pull up slide 62. Okay. Sorry. Let's
11 strike that.

12 We'll just take down the slide, and I just would
13 like you -- if there's anything else that you consider
14 relevant from the Holder disclosure, in considering your
15 opinions in this case regarding the validity of the '570
16 Patent claims?

17 A. I think, in general, Holder's patent teaches
18 most of what is in the claims in the '570 Patent. Perhaps
19 with the exception of the specific definition of front
20 sector/back sector, which as we went through my
21 presentation is different from Dr. Lebbby's definition, and
22 the way that Dr. Lebbby defines and identifies the front
23 sector/back sector.

24 If you imposed Dr. Lebbby's definition on Holder,
25 you could apply it to Holder as well, Dr. Lebbby's

1 definition, but it wouldn't apply to the '570 Patent.

2 Q. All right. Dr. Leby, you may recall, testified
3 the other day that the -- he believed that the '570 Patent
4 reflects a -- or met a long-felt need in the industry, that
5 he believes renders the claims non-obvious.

6 Do you agree?

7 A. No, I don't.

8 Q. Why is it -- well, Dr. Leby cited two press
9 releases and a product guide.

10 What is your opinion about the relevance of the
11 evidence Dr. Leby cited as reflecting or not reflecting
12 long-felt need?

13 A. My experience in the industry is that no
14 responsible person who is in a position to issue or request
15 for quotes on luminaires would look at a press release, or
16 look at sales brochures or any material like that.

17 They would depend on certified lab results, such
18 as an LM-79 test report, that would show analytically the
19 distributions, whether it's -- you know, if it as a
20 streetlight, Type 2.

21 In the reports, they would also, you know,
22 depend on the LM-79 report for the efficiency of the
23 fixture, because one of the tests that is in an LM-79
24 report, which is essentially required as part of the
25 package of specifications for a luminaire, is also the

1 efficiency of the luminaire.

2 By that I mean -- I mentioned it before -- the
3 number of lumens per plug watt that characterizes that
4 luminaire, and that is performed in an integrating sphere,
5 which is part of the test procedures.

6 So to answer your question, press releases and
7 sales brochures are not something that procurement managers
8 look at.

9 Q. Do the materials cited by labor and capital
10 show, in your opinion, any greater teaching as to the
11 improvement -- any improvements in the '570 Patent as
12 against the teachings dating all the way back to the 1983
13 IES standards?

14 A. No, because there's no data to show me
15 efficiency versus the industry, or efficiency versus other
16 competitive products, or data that I could -- objective
17 data that I could interpret to mean what the sales
18 brochures purport they do.

19 Q. All right. I have just a few more questions for
20 you, Dr. Josefowicz, and if we could pull up slide 64 from
21 your materials.

22 Have you expressed any concerns or any opinions
23 whether the claims -- any of the claims of the -- or terms
24 or limitations of the '570 Patent are unclear or
25 indefinite?

1 A. Yes, I -- I called out the term "centered on" in
2 my reports, and I call them out because whenever the front
3 sector/back sector are discussed in Patent '570, they're
4 discussed in reference to the direction.

5 What I mean by that is we're talking about going
6 from front to back, right, and the text says a front sector
7 centered on a preferential side. So I am looking for
8 something to center this front sector on, or the back
9 sector on.

10 I'm looking for a reference point. I'm looking
11 for a coordinate -- coordinates or some feature of the
12 front sector that I would identify as the centered-on
13 feature, or likewise for the back sector.

14 But in Dr. Lebbby's reports, and his testimony
15 that I heard, he keeps referring to centered on being
16 associated with biaxially symmetric as being one of the
17 characteristics of the front sector.

18 Now, that might be -- it could be that biaxially
19 symmetric is one way to describe centered on, but nowhere
20 in the Patent '570 does it link front sector centered on
21 with biaxially symmetric as opposed to what I'm looking
22 for, which is what is the front sector centered on in the
23 direction from front to back.

24 Now, there's only one place in Patent '570 that
25 biaxial symmetry is mentioned, one place, and that is, with

1 reference to the top view figure that we previously looked
2 at, and the demarcation line through the center of the top
3 view so that, you know, it suggests a mirror image between
4 the top and bottom sectors looking from the top.

5 That's the only place that it mentions biaxially
6 symmetric.

7 Now, from my perspective, if the authors of
8 Patent '570 wanted to make it clear what centered on means,
9 they would have been more explicit. It's left -- it's left
10 to the imagination, basically.

11 Q. So does the term "centered on" have any meaning
12 in the trade or in the profession, or among lens designers,
13 experts or persons of ordinary skill in lens design?

14 A. Not as it's referred to here. When we're
15 talking about, in the industry, a free-form lens, we
16 understand it to be a continuously varying surface, with
17 continuously varying surface features, and to suggest that
18 some part of the lens is centered on something also
19 suggests that you identify what that center point is, or
20 what you're talking about with regards to centered on.

21 I wouldn't normally think as biaxial symmetry,
22 unless it was called out, but it's not called out. It's
23 just refers to front sector centered on.

24 Q. Thank you.

25 A. It's open to interpretation. Let's put it this

1 way.

2 MR. MOSKIN: Thank you, Dr. Josefowicz.

3 I have no further questions.

4 JUDGE CHENEY: Is there any cross-examination
5 for Dr. Josefowicz?

6 MR. HAMSTRA: Yes, Your Honor. Nathan Hamstra
7 on behalf of Cree Lighting.

8 JUDGE CHENEY: Please proceed when you are
9 ready, Mr. Hamstra.

10 CROSS-EXAMINATION

11 BY MR. HAMSTRA:

12 Q. Dr. Josefowicz, nice to see you again.

13 A. Nice seeing you again.

14 Q. So I'm going to start with some questions about
15 your -- the claim interpretations you applied.

16 So you include on a number of slides, the name
17 of a reference, then you say teaches Claim 1 under
18 Dr. Lebbby's interpretation.

19 Do you recall that?

20 A. Yes.

21 Q. From a claim interpretation standpoint -- well,
22 let me rephrase.

23 A. Could you -- could I ask a question?

24 Q. I'm the one asking questions here,
25 Dr. Josefowicz.

1 A. Okay. But I needed you to clarify. You
2 mentioned Claim 1, so I want to know exactly what are you
3 referring to.

4 Q. I'll ask my question, and then if you can't
5 answer it, you can can't answer it. Okay, Dr. Josefowicz?

6 A. Okay.

7 Q. There is a conflict between Cree Lighting's
8 interpretation of Claim 1 and your understanding of Claim
9 1, isn't there?

10 A. A conflict of interpretation. Are you talking
11 about front sector and back sector?

12 Q. Mr. Jay, could you call up page 111, lines 19
13 through 24 -- actually, give me a moment.

14 Dr. Josefowicz, you were deposed under oath in
15 this matter?

16 A. Yes.

17 Q. You offered truthful testimony during your
18 deposition?

19 A. Yes.

20 Q. All right. Mr. Jay, could you call up page 111,
21 lines 19 through 24, of Dr. Josefowicz's deposition
22 transcript.

23 I asked you, "How am I to know whether you are
24 applying Cree Lighting's apparent theory of infringement or
25 your interpretation of Claim 1?"

1 You responded, "Because it's similar. It's
2 not -- there isn't a conflict between what Cree is
3 suggesting, and what I understand they are suggesting, and
4 what I disagree with."

5 I asked that question. You gave that answer
6 under oath, Dr. Josefowicz?

7 A. Yes.

8 Q. Setting aside Cree Lighting's and Dr. Lebbby's
9 apparent theory of infringement, your anticipation and
10 obviousness opinions would not change at all; correct?

11 A. Not true.

12 Q. Mr. Jay, could you call up page 118 of
13 Dr. Josefowicz's deposition transcript, lines 2 through 21.

14 Dr. Josefowicz, at your deposition, I asked you,
15 "Let me try to ask this question another way.

16 "Setting aside entirely Cree Lighting's apparent
17 theory of infringement, if you set that aside, would your
18 anticipation or obviousness opinions change at all?"

19 You answered, "No. If I had just the Patent
20 '570 -- when I started to work on this case, I was given
21 Patent '570 only and I read it, and based on what I read
22 and what I knew, I understood that '570 had significant
23 invalidity. If I didn't think that, I wouldn't have taken
24 this case because I have to feel honestly about what I am
25 arguing, and only that is what is significant to my

1 opinion.

2 "If I don't believe something is true, I don't
3 follow it or I don't commit to it.

4 "So to answer -- I don't know if this answers
5 your question, but if I had nothing but '570 to read, which
6 I did" --

7 (Clarification requested by the Court Reporter.)

8 Q. "And I had the knowledge that I had when it read
9 it, it was my opinion that the claims that we have been
10 discussing are invalid because they don't teach anything
11 new, and they don't provide any new invention."

12 JUDGE CHENEY: Take a big breath there,
13 Mr. Hamstra.

14 Now ask your question.

15 Q. Dr. Josefowicz, during your deposition, I asked
16 that question, and you gave that answer under oath;
17 correct?

18 A. Yes.

19 Q. You offered some indefiniteness opinions as
20 well, at the end of your testimony today, Dr. Josefowicz;
21 correct?

22 A. Yes.

23 Q. You also offered some opinions mapping the
24 claims to Minano, Parkyn, Mandaluniz, and Laporte today; is
25 that right?

1 A. Correct.

2 Q. And Claim 1, for instance, recites a refracting
3 inner surface comprising a front sector centered on the
4 preferential side and a back sector centered on the
5 non-preferential side; correct?

6 A. Yes.

7 Q. And, Dr. Josefowicz, you understood those terms
8 well enough to be able to opine that Minano discloses the
9 claimed front and back sectors; correct?

10 A. I was applying -- just now, I was applying
11 Dr. Lebbby's definition of front sector and back sector.

12 I would not -- as I explained at the end of my
13 presentation, I would not normally apply a division between
14 front sector and back sector.

15 Further, the opinions that I have were based --
16 that you discussed just now from my deposition, my opinions
17 were based on my knowledge of the state-of-the-art relative
18 to the filing date -- the principal date that we're talking
19 about, which is May 23, 2008.

20 What was known to me as an expert in the field
21 who is involved with optics design, who knows about ray
22 trace analysis, computer-aided design for LED emitter
23 applications in luminaires, I was referring to those things
24 that I knew relative to what I read in Patent '570, which
25 were all to me known.

1 Q. Mr. Jay, could you call up page 96 of
2 Dr. Josefowicz's deposition transcript, beginning at line
3 19?

4 Okay. Dr. Josefowicz, during your deposition, I
5 asked you, "But for invalidity over, for example, Minano or
6 Benitez, you have to show that Minano and Benitez disclose,
7 for instance, 'A refracting inner surface comprising a
8 front sector centered on the preferential side and a back
9 sector centered on the non-preferential side'; correct?"

10 You answered, "Yes."

11 I asked you, "So, Dr. Josefowicz, you understood
12 those terms enough to be able to opine that Minano and
13 Benitez discloses those front and back sectors; correct?"

14 Your answer, "Yes."

15 You gave that testimony under oath,
16 Dr. Josefowicz?

17 A. Yes.

18 I would add to this that I'm using those terms
19 in that reference as general terms. If you want to call a
20 part of a lens a front sector or front part of the lens,
21 it's a free-form lens, you can call it that. Just like
22 Dr. Lebbly called a free-form lens that RAB -- that RAB
23 produces in his cross-sections front sectors and back
24 sectors. You have -- you have the ability to do that. You
25 can do that if you want to do that.

1 So -- but the point about it is that it's not
2 necessary. You can call it front sector and back sector,
3 but it's not necessary, as it is in the definition that's
4 explicit in the '570 Patent, where there is a juncture, and
5 two lens elements that are clearly identified and committed
6 to being and defined to being front and back sector.

7 That typically is not the case in a free-form
8 lens, and that's why I answered the way I did. It's not a
9 necessary -- what optical benefit is there for calling a
10 free-form lens, dividing it into sectors, except that, in
11 Patent '570, there is, because those two optical elements
12 have different optical performance characteristics, and
13 they wanted to call that out for reasons that the authors
14 only know.

15 So that's different from what we're talking
16 about here.

17 Q. Dr. Josefowicz, I want you to listen very
18 carefully to my questions, and if they call for a yes or no
19 answer, can you give me a yes or no answer?

20 A. I can't commit to that. Depends what you're
21 asking me to say yes or no to.

22 Q. I qualify my question. If you can give me a yes
23 or no answer, I would like a yes or no answer.

24 Do you understand, Dr. Josefowicz?

25 A. If I can, I will.

1 Q. Thank you.

2 Mr. Jay, could you pull up RDX-6, slide 17.

3 Dr. Josefowicz, you created this demonstrative
4 for today?

5 A. Yes. Yes, I did.

6 Q. There's something called numeral 33 that you
7 left white in this annotated Figure 6; is that right?

8 A. I see -- I see number 33, yes.

9 Q. The number 33 is colored neither red nor blue;
10 correct?

11 A. Yes.

12 Q. Item 33 in the '570 Patent is also part of the
13 front sector; correct?

14 A. Item 33 is not part of the front sector.

15 Q. Mr. Jay, can you call up column 6 of the '570
16 Patent, JX-005, beginning at line 54? Good point. Could
17 you put that down, Mr. Jay?

18 Dr. Josefowicz, element 33 is part of the back
19 sector; correct?

20 A. Show that to me again. It's blank on the...

21 Q. Oh, I'm sorry.

22 Could you pull up slide 17 again, Mr. Jay?

23 Element 33 in slide 17 is also part of the back
24 sector; correct?

25 A. Yes, I think -- I think it is.

1 Q. Okay. So the back sector in this Figure 6
2 continues all the way up to the emitter axis at numeral 2
3 there; correct?

4 A. Mm-hmm. Yes.

5 Q. Mr. Jay, could you call up -- actually, just the
6 next slide, Mr. Jay, slide 18.

7 This is an annotated version of Figure 5 you
8 prepared, Dr. Josefowicz?

9 A. Yes.

10 Q. And the emitter axis in the center, the green
11 dot, that's actually a line coming out of the screen at us;
12 correct?

13 A. In this case, it's in the plane. I say plane.

14 Q. But --

15 A. It's in the plane.

16 Q. I see.

17 A. This is a top view, and that's a line that's in
18 the plane. And I clearly mark it in the plane. It's not
19 coming out at us. I had another figure that I showed, and
20 I explained that it came out of the plane because I
21 couldn't draw three dimensional. If I'm looking at a
22 plane, I explicitly said it comes out of the plane.

23 But in this case, this line is through the
24 plane.

25 Q. If I -- in this Figure 5, if I were to start at

1 the emitter axis, that green dot, and move towards
2 preferential side, 5, I would enter the front sector;
3 correct?

4 A. Yes.

5 Q. If I start at the emitter axis and go to the
6 non-preferential side, 6, I would enter the back sector;
7 correct?

8 A. Yes.

9 Q. I believe you spoke about this earlier, line 4
10 on Figure 5, that represents a plane of bilateral symmetry;
11 correct?

12 A. Right.

13 Q. So Figure 5 shows that the front sector is
14 symmetrical about that plane of symmetry numeral 4; right?

15 A. Yes, I said that before.

16 Q. Figure 5 also shows that the back sector is
17 symmetrical about that same symmetry plane, 4; right?

18 A. Yeah. Yes.

19 Q. Thank you, Dr. Josefowicz.

20 I'm going to ask you a few questions about
21 Minano.

22 First of all, in this case, Minano is the only
23 reference where you went limitation by limitation, and
24 provided an anticipation analysis; correct?

25 A. Yes.

1 Q. Now, could we turn to slide 43 of his
2 demonstratives, Mr. Jay? I'm sorry, my numbering may be
3 off. Mr. Jay, do you have the updated demonstratives that
4 were served later?

5 JUDGE CHENEY: Go off the record for a minute.

6 MR. HAMSTRA: Thank you.

7 (Off the record.)

8 JUDGE CHENEY: We are back on the public record
9 after taking a short break to deal with some technical
10 issues.

11 Please continue with your cross-examination,
12 Mr. Hamstra.

13 BY MR. HAMSTRA:

14 Q. All right. So, Dr. Josefowicz, I have presented
15 slide 49 of RDX-006. This is about Minano, and Claims 4
16 and 10.

17 Do you recall testifying about this today?

18 A. Yes.

19 Q. In this -- well, let's just talk about Claim 4.
20 Claim 4 requires, "The lens of Claim 3 further comprising a
21 reflecting primary back surface positioned to receive light
22 from at least a portion of the refracting inner surface
23 back sector and configure for total internal reflection
24 (TIR) thereof towards the lens outer surface."

25 Did I read that correctly?

1 A. Yes, you did.

2 Q. For Claim 4, the outer surface you refer to is
3 top face, 94.

4 Do you see that?

5 A. Yes.

6 Q. And top face, 94, is the upper surface of the
7 lens, 90, shown in Figure 28; is that right?

8 A. Yes.

9 Q. Dr. Josefowicz, you understand when a term in a
10 claim is phrased as -- is prefaced with the word "the,"
11 that it's referring to a prior recitation of that element
12 earlier in the claim; right?

13 A. Yes.

14 Q. So "the lens outer surface" in Claim 4 refers to
15 a lens outer surface recited earlier in this claim set; is
16 that right?

17 A. Yes, it is.

18 Q. So I'm going to call up RDX-6.044.

19 Do you see this, Dr. Josefowicz?

20 A. Yes.

21 Q. In Claim 1, as the outer surface, you identified
22 the combination of exit face, 97, and the surface of
23 reimaging reflector, 96; is that right?

24 A. Correct.

25 Q. So part of the outer surface is exit face, 97;

1 right?

2 A. That's right.

3 Q. The claims of the '570 Patent recite both an
4 outer surface and an inner surface; right?

5 A. Correct.

6 Q. And the inner surface has front and back
7 sectors; right?

8 A. Yes. As defined in '570.

9 In regards to this, I was using Dr. Lebbby's
10 interpretation.

11 Q. Sure.

12 I've called up RDX-6.046.

13 And in Claim 1, with respect to Minano, you map
14 the front sector of the inner refracting surface to exit
15 face, 97; right?

16 A. Correct.

17 Q. And exit face, 97, is also part of the outer
18 surface, according to your analysis of Minano under Claim
19 1; right?

20 A. Yes. And I explicitly defined that as the
21 principle lens or the first lens, and the other lens as the
22 secondary lens.

23 And I didn't suggest that they're performing the
24 same function, but that the second lens was augmenting the
25 redirection of light from the principle lens.

1 And the teaching that I was referring to of the
2 second lens was explicit to the total internal reflection
3 surfaces that's taught by Minano in the secondary lens.

4 So I wasn't claiming that Minano has everything
5 that we need for the limitations of Claim 1, but that it
6 taught TIR, and that all of the other claims, all of the
7 other limitations in present in Minano's principle lens.

8 That's all I was trying to claim. That's all I
9 was trying to say.

10 Q. All right. Dr. Josefowicz, let's turn to slide
11 48 of your demonstratives.

12 Oh, you have it, the right one. Okay.

13 So, Dr. Josefowicz, what you're referring to as
14 the base 98, that's the emitter-adjacent base end of
15 Minano?

16 A. Yes. That's the base for the LED.

17 Q. Okay. There's an opening in the base 98 to
18 receive the emitter; is that your testimony?

19 A. I'm not following you. I think what you're
20 asking me is inside that dome there is an LED emitter. The
21 LED emitter is in the center of that base.

22 It's radiating up with a Lorentzian illuminating
23 output distribution towards the inside surface of 97, and
24 96, where the light is redirected by refraction and
25 reflection to the -- through the lens segment 97, and that

1 light is directed at the secondary lens that we looked at
2 earlier.

3 Q. Just to be clear, the chip-on-board LED you
4 mentioned, that's going to be mounted on the base 98;
5 right?

6 A. That's right.

7 Q. Can we turn to slide 53 of Dr. Josefowicz's
8 demonstratives.

9 So, Dr. Josefowicz, you refer to an outer
10 surface -- outer surface of reflecting prism 30 of Laporte;
11 correct?

12 A. Yes.

13 Q. Is at least part of that outer surface the
14 portion of lens 34 from which the rays heading in a
15 leftward direction exit the lens 34?

16 A. Repeat that question, please.

17 Q. Yeah. Let me -- so, Dr. Josefowicz, you see
18 some rays exiting what you have annotated in yellow, and
19 heading in a left and upwards direction; right?

20 A. That's right.

21 Q. The outer surface you identify, at least
22 includes the portion of that yellow object from which those
23 rays are exiting; right?

24 A. The yellow object is the entire lens, so, yeah,
25 I -- it's both -- I mean, if you're asking me where do the

1 rays exit, they exit out of surface marked 34. At least
2 those rays, I think, the ones you're talking about.

3 Q. All right. Now, let's move forward a handful of
4 slides to slide 55. So -- actually, I don't think you
5 covered this, so I'm going to withdraw that question and
6 move on.

7 So, Dr. Josefowicz, in performing your
8 invalidity analysis, you understood that just because all
9 elements of a claim are present in the prior art does not
10 mean that the claim is necessarily obvious; correct?

11 A. I'm not sure about that. It depends on what
12 you're talking about. I mean, it's -- as a general rule, I
13 wouldn't -- I wouldn't know until I saw what you're talking
14 about.

15 Q. Mr. Jay, could you turn to page 62 of
16 Dr. Josefowicz's deposition transcript, beginning at line 5
17 through 9.

18 Dr. Josefowicz, during your deposition, I asked
19 you, "In performing your invalidity analysis, you
20 understood that just because all elements of a claim are
21 present in the prior art does not mean that the claim is
22 not necessarily obvious, correct?"

23 You answered, "No, the claim is obvious based
24 on..."

25 Dr. Josefowicz, you gave that answer during

1 deposition?

2 A. I did, but I think I misunderstood you when you
3 said that.

4 Q. Okay.

5 A. So in performing my invalidity analysis, of
6 course, I'm going to include prior art knowledge, prior art
7 that I'm -- I understood, prior art that I am aware of, my
8 own knowledge about the topic, and how it relates to the
9 claims.

10 And that's the way I treated invalidity in
11 Patent '570, as I discussed in my testimony today.

12 Q. So you agree that it's not enough to merely show
13 that all elements of a claim are in different pieces of
14 art; correct?

15 A. I think that -- I'm not following the legal
16 argument. My understanding is that if something is known,
17 has been disclosed prior to a patent being published, that
18 that is publicly known knowledge, and that -- I'm not quite
19 understanding what you're saying.

20 MR. HAMSTRA: Your Honor, I'm mindful of the
21 clock, and this is a good stopping point.

22 JUDGE CHENEY: Okay. So this cross is going to
23 continue tomorrow?

24 MR. HAMSTRA: Yes. I believe I have about 15
25 minutes or so, Your Honor.

1 JUDGE CHENEY: Okay.

2 Well, thank you, Dr. Josefowicz, for being with
3 us today. Please do return tomorrow morning, and in the
4 meantime, please don't discuss your testimony with anyone.

5 You may step down while I speak with the lawyers
6 about some housekeeping matters.

7 Thank you.

8 Okay. How are we doing with time? Let me hear
9 from someone on Cree's side. Mr. Erwine, you're muted.

10 MR. ERWINE: Can you hear me now?

11 JUDGE CHENEY: I can.

12 MR. ERWINE: Sorry about that. I had my
13 microphone turned away.

14 As I understand from Mr. Hamstra, he's got about
15 15 minutes left of cross with Dr. Josefowicz, and then I
16 believe that is RAB's last witness for their case-in-chief.

17 Then we will have our three experts coming back
18 for rebuttal testimony. I believe the time for each is in
19 the range of about 30 to 45 minutes on direct.

20 So I think it's going to take us to about the
21 middle of the afternoon, if my numbers are correct.

22 JUDGE CHENEY: Mr. Roush, your perspective?

23 MR. ROUSH: I think that's about right.

24 That's -- I think our cross-examination should be around
25 the same time, if not lower than what Mr. Erwine suggested

1 for Cree Lighting's direct.

2 So I think early afternoon is a good estimate.

3 JUDGE CHENEY: Okay. Great.

4 Are there any other housekeeping matters that we
5 should talk about before we break for the day?

6 MR. ERWINE: Not for Cree Lighting, Your Honor.

7 MR. ROUSH: Not for RAB Lighting either.

8 JUDGE CHENEY: Okay. Well, you are in -- you're
9 turning the final bend; doing a great job. Everyone's
10 holding up nicely.

11 I hope you can get some rest, and we'll see you
12 in the morning.

13 We're off the record.

14 (Whereupon, the proceedings were recessed at
15 4:35 p.m.)

16

17

18

19

20

21

22

23

24

25

| | | | | |
|---|---------------------|-----------|-------|-------------------|
| 1 | | I N D E X | | |
| 2 | Witnesses | Direct | Cross | Redirect Re-Cross |
| 3 | Jianzhong Jiao PhD | 767 | 779 | 837 |
| 4 | Michael P. Akemann | 842 | 880 | |
| 5 | PhD | | | |
| 6 | Jack Josefowicz PhD | 908 | 965 | |

7

8

9

PAGE

| | | |
|----|-------------------|-----|
| 10 | Afternoon Session | 880 |
|----|-------------------|-----|

11

12 Confidential Sessions:

13 850-878; 882-887; 892-897; 903-906

14

15 E X H I B I T S

16 EXHIBIT NO: RECEIVED

17 LISTS PROVIDED BY COUNSEL TO BE RECEIVED IN EVIDENCE

18 Shackle Examination

19 RX-0855

20 RX-0112

21 RX-0113

22 RX-0114

23 RX-0117

24 RX-0732

25 RX-0721


1 CX-0487C
2 CX-0834C
3 CX-0823
4 CX-1261
5 CX-1268
6 RDX-0004
7 Katona Examination
8 RX-0721
9 CDX-0003C
10 CX-0445
11 CPX-0002
12 CPX-0003
13 CPX-0008
14 CPX-0029
15 CPX-0137
16 CPX-0138
17 CPX-0139
18 CPX-0140
19 CPX-0141
20 CPX-0142
21 CPX-0477C
22 CPX-0478C
23 CPX-0484C
24 CPX-0487C
25 CPX-0693C

1 CPX-0834C
2 CX-0342C
3 CX-0345C
4 CX-0458
5 CX-0465C
6 CX-0473C
7 CX-0485C
8 CX-0488
9 CX-0489
10 CX-0596
11 CX-0823
12 CX-0835
13 CX-0836
14 CX-0837
15 CX-0838
16 CX-0843
17 CX-0844
18 CX-1899
19 JPX-0143C
20 JX-0003
21 JX-0004
22 JX-0122C
23 JX-0077C
24 CX-0598
25 CPX-0143

1 CERTIFICATE OF REPORTER
2 TITLE: Certain Light-Emitting Diode Products, Fixtures,
3 and Components Thereof
4 INVESTIGATION NO: 337-TA-1213
5 HEARING DATE: May 6, 2021
6 LOCATION: Washington, D.C. - Remote
7 NATURE OF HEARING: Evidentiary Hearing

8 I hereby certify that the foregoing/attached
9 transcript is a true, correct and complete record of the
10 above-referenced proceedings of the U.S. International
11 Trade Commission.
12 Date: May 6, 2021


11 SIGNED:



12 Signature of the Contractor of the
13 Authorized Contractor's Representative
14 1220 L Street, N.W., Suite 206
Washington, D.C. 20005

15 I hereby certify that I am not the Court Reporter
16 and that I have proofread the above-referenced transcript
17 of the proceedings of the U.S. International Trade
18 Commission, against the aforementioned Court Reporter's
19 notes and recordings, for accuracy in transcription in the
20 spelling, hyphenation, punctuation and speaker
21 identification and did not make any changes of a
22 substantive nature. The foregoing/attached transcript is a
23 true, correct and complete transcription of the
24 proceedings.

20 SIGNED:



Signature of Proofreader

21 I hereby certify that I reported the above-referenced
22 proceedings of the U.S. International Trade Commission and
23 caused to be prepared from my tapes and notes of the
24 proceedings a true, correct and complete verbatim recording
25 of the proceedings.

24 SIGNED:



Signature of the Court Reporter